

UNITED STATES
COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER, OR)
A SIMILAR NEURODEVELOPMENTAL)
DISORDER,)
_____)
FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING, A)
MINOR,)
 Petitioners,)
v.) Docket No.: 03-584V
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
 Respondent.)
_____)
GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)
 Petitioners,)
v.) Docket No.: 03-215V
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
 Respondent.)

REVISED AND CORRECTED COPY

Pages: 1776 through 2049/2145
Place: Washington, D.C.
Date: May 19, 2008

HERITAGE REPORTING CORPORATION

Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
contracts@hrccourtreporters.com

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER,)
OR A SIMILAR)
NEURODEVELOPMENTAL)
DISORDER)
-----)

FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)
-----)

Docket No.: 03-584V

GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)

Docket No.: 03-215V

Courtroom 402
National Courts Building
717 Madison Place NW
Washington, D.C.
Monday,
May 19, 2008

The parties met, pursuant to notice of the
Court, at 9:00 a.m.

1777

BEFORE: HONORABLE DENISE VOWELL
HONORABLE GEORGE L. HASTINGS, JR.
HONORABLE PATRICIA E. CAMPBELL-SMITH
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire
MICHAEL L. WILLIAMS, Esquire
Williams Love O'Leary & Powers, P.C.
9755 S.W. Barnes Road, Suite 450
Portland, Oregon 97222-6681
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire
LINDA RENZI, Esquire
ALEXIS BABCOCK, Esquire
U.S. Department of Justice
Civil Division
Torts Branch
Ben Franklin Station, P.O. Box 146
Washington, D.C. 20044-0146
(202) 616-4356

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Respondent:</u>					
Jeffrey Brent	1781	1859	--	--	--
	--	1934	--	--	--
	--	--	1963	--	--
	--	--	1972	--	--
Richard B. Mailman	1975	2006	--	--	--

E X H I B I T S

RESPONDENT'S

<u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
4	1780	--	J. Brent slide presentation
5	1974	--	R. Mailman slide presentation

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(9:00 a.m.)

SPECIAL MASTER VOWELL: We're on the record in the omnibus autism Theory II hearing in the Mead and King cases, and Respondent, I believe you're about to begin presenting your case?

SPECIAL MASTER HASTINGS: Let's go off the record for a minute.

(Whereupon, a short recess was taken.)

(The document referred to was marked for identification as Respondent's Trial Exhibit No. 4.)

SPECIAL MASTER VOWELL: All right. We're back, and I think we have our technical difficulties resolved. Dr. Brent is on the witness stand. If you would raise your right hand, Dr. Brent?

Whereupon,

JEFFREY BRENT, M.D.

having been duly sworn, was called as a witness and was examined and testified as follows:

SPECIAL MASTER VOWELL: Thank you. You may proceed, Respondent.

MS. RENZI: Good morning, Special Masters.

//

BRENT - DIRECT

1781

1 DIRECT EXAMINATION

2 BY MS. RENZI:

3 Q Good morning, Dr. Brent.

4 A Good morning, Ms. Renzi. Good morning,
5 Special Masters.

6 Q You've already stated your name for the
7 Court. Could you please give us your title?

8 A My title?

9 Q Your professional title.

10 A My professional title? I am --

11 SPECIAL MASTER VOWELL: I want to make sure
12 that Dr. Brent's mic is working. Can you check that?
13 That mic, Dr. Brent, is simply the one that the Court
14 reporter is using. There should be another one.
15 There it is.

16 THE WITNESS: Okay. I'm talking into the
17 wrong one. I am a Clinical Professor of Pediatrics
18 and Medicine at the University of Colorado Health
19 Sciences Center. I'm a medical toxicologist. I'm
20 also in private practice.

21 BY MS. RENZI:

22 Q And could you briefly describe your
23 educational background and training?

24 A Sure. Where do you want me to start? How
25 far back?

BRENT - DIRECT

1782

1 Q Start with your BA.

2 A My BA?

3 Q Yes.

4 A Okay. I'm originally from New York City.

5 If you've listened to me long enough, you've probably
6 realized that by now, and I got my BA degree at Hunter
7 College in chemistry. I subsequently got a masters
8 degree in molecular biology and a PhD in biochemistry
9 from Mount Sinai School of Medicine after which I went
10 to medical school at the State University of New York
11 at Buffalo. Upon graduating from medical school, I
12 went to Boston to Harvard where I served as an intern
13 and junior resident in general surgery.

14 After that I did a couple of other things
15 and ultimately completed my primary residency in
16 emergency medicine at Emory University School of
17 Medicine in Atlanta. Following completion of my
18 primary residency, I moved to Colorado to do a two-
19 year fellowship, subspecialty fellowship in medical
20 toxicology at the University of Colorado Health
21 Sciences Center and did that fellowship, completed
22 that fellowship, became subspecialty board certified
23 in medical toxicology.

24 I got invited to stay on the faculty of the
25 university and have remained on the faculty ever

BRENT - DIRECT

1783

1 since, rising from assistant to association to full
2 professor, which is the highest achievable rank in our
3 institution.

4 Q And could you just briefly describe some of
5 the honors you've recently received?

6 A Well, sure. I guess if I had to pick one
7 recent one that really stands out quite a bit it would
8 be my so-called Louis Roche Award. This is an award
9 given to one person every year by the European
10 Association of Poison Control Centers and Clinical
11 Toxicologists, and it is given to that individual,
12 most often Europeans, who has been felt to have
13 contributed greatly to the field over some period of
14 time, and I was recently given the Louis Roche Award
15 by that organization.

16 There have been others, but I think that's
17 probably the most meaningful recent one to me.

18 Q And do you consult with any federal
19 agencies?

20 A Yes, I do.

21 Q Could you describe some of your duties
22 there?

23 A Sure. I have on and off consulted with
24 various federal agencies including the Department of
25 Justice, not necessarily related to these issues, but

BRENT - DIRECT

1784

1 we had in Colorado a Mountain States Drug Task Force
2 dealing with sort of the war on drugs, and I was
3 consultant to them about drug paraphernalia and how
4 people use drugs and what various things that they
5 encounter over the course of their activities, mean
6 and how various pieces of apparatus and paraphernalia
7 are used.

8 I have been a consultant to the U.S. Centers
9 for Disease Control and Prevention. I still am
10 regarding potential terrorist agents that might be
11 used in a chemical terrorist attack in the United
12 States. I have secret security clearance to work on
13 that and then on and off various other agencies.

14 Q And do you ever have occasion to deliver
15 lectures to professional groups or toxicology
16 organizations?

17 A Yes. I end up doing that quite a bit.

18 Q And could you just describe a couple of
19 lectures and topics that you've done?

20 A Sure. I'll give you the two most
21 contemporary examples, kind of the sense of what my
22 life is like often. I came to these hearings
23 virtually directly from Seville, Spain, where I was
24 teaching part of an occupational and environmental
25 toxicology course.

BRENT - DIRECT

1785

1 Immediately after leaving here, I go to
2 Boston where I'm giving grand rounds at the University
3 of Massachusetts Medical Center and then doing some
4 teaching there, so it does not infrequently come up
5 that I have to give lectures and teach in various
6 professional settings.

7 Q And what professional organizations or
8 honorary societies are you a member of?

9 A There's a bunch of them. They're pretty
10 much the standard organizations in medicine and
11 particularly in medical toxicology. I'm a member of
12 the American Medical Association, for example, a
13 member of the American Academy of Clinical Toxicology.
14 That's the largest organization in the world devoted
15 to clinical toxicology. I am a former president of
16 that organization.

17 I'm a member of the American College of
18 Medical Toxicology, which is the physician's only
19 group for medical toxicologists and professional
20 society physicians that specialize in medical
21 toxicology. I actually serve on their board of
22 directors. I'm a member of the American College of
23 Occupational and Environmental Medicine. There's
24 probably one or two others.

25 Q And do you currently serve as a peer

Heritage Reporting Corporation
(202) 628-4888

BRENT - DIRECT

1786

1 reviewer for any medical journals?

2 A I do.

3 Q Could you name a few?

4 A Sure. I end up doing a lot of peer
5 reviewing, and I certainly reviewed quite a number of
6 medical toxicology journals like *Clinical Toxicology*.
7 I review routinely. I've got a review right now for
8 *Journal of the American Medical Association*. I'm
9 listed as a frequent reviewer for the *New England*
10 *Journal of Medicine* and just a whole host of other
11 journals, *Journal of Emergency Medicine*.

12 Q What do you do as a peer reviewer?

13 A Well, the peer review process is an
14 extremely interesting, not quite perfect process but
15 is probably the best we've come up with so far, and it
16 works something like this: If an article is submitted
17 for publication to a journal, it goes to the editor.

18 If it is a peer-reviewed publication, not
19 all publications are peer-reviewed, and certainly all
20 good publications are peer-reviewed, but if it is a
21 peer-reviewed publication, what the editor then does
22 is send the article out to experts in the field and
23 say would you please look at this article, give us
24 some feedback. Do you think it's worth publishing?
25 Does the article have any problems?

BRENT - DIRECT

1787

1 Should it be revised, or is it really not a
2 valid article, methodology, technique, the conclusion
3 is wrong. Should this paper be rejected? Then you
4 write up all that information in the form of a little
5 report and send it in to the editor. The editor
6 ultimately makes the decision about what to do with
7 the article once they get input from usually two or
8 three peer reviewers.

9 Q And you've published over 200 peer-reviewed
10 articles on toxicology, is that correct?

11 A I wouldn't say all 200 are peer reviewed.
12 If you look at my total number of publications, peer-
13 reviewed articles, abstracts, book chapters and so on,
14 yes, it's over 200.

15 Q Have you ever received money from a
16 pharmaceutical company for a speaking engagement?

17 A You know, I have very early on when I
18 graduated from my medical toxicology fellowship. My
19 fellowship was from 1987 to 1989 and probably maybe in
20 the year or two after that I did, and I don't think I
21 have in the last 15 or so years, probably more.

22 Q And have you ever received money from a
23 pharmaceutical company for research?

24 A I have received some money from
25 pharmaceutical companies to do some research, yes.

BRENT - DIRECT

1788

1 Q Could you describe that?

2 A A number of years ago we did a study on when
3 the newer class of antidepressants, the so-called
4 SSRIs, Selective Serotonin Reuptake Inhibitors, came
5 on the market, they replaced an older class of
6 antidepressants, which were called the tricyclic
7 antidepressants, and the first one on the market of
8 this new class was Prozac, fluoxetine.

9 One of the problems with antidepressants,
10 particularly from a toxicologist's point of view is
11 that depressed people take them, and depressed people
12 are prone to try to kill themselves, so one of the big
13 issues was that the tricyclics, which a lot of
14 depressed were taking are extremely, extremely lethal
15 drugs if you overdose on them.

16 One of the advantages that we saw of the new
17 selective serotonin reuptake inhibitors, Prozac,
18 fluoxetine, Zoloft and so on, was that they seem to be
19 much better tolerated in overdose. It was much harder
20 to kill yourself on them, so we did actually a
21 comparative study and demonstrated that in fact the
22 selective serotonin reuptake inhibitors are much less
23 dangerous in overdose.

24 That conclusion is now very widely accepted
25 in the general medical community, and that's why

BRENT - DIRECT

1789

1 really people have shifted over to them. They don't
2 work really I don't think any better than the old
3 tricyclics, but they are much safer drugs to take,
4 that kind of thing. I guess I should give you a more
5 complete answer.

6 More recently, we did a series of clinical
7 trials on a new antidote. The money didn't actually
8 come from the pharmaceutical company, but it was from
9 a FDA grant that we got in conjunction with a
10 pharmaceutical company, and I was the principal
11 investigator of those trials, and they were clinical
12 trials that resulted in this new antidote being
13 introduced into clinical practice, and it's widely
14 used right now. I published both of those clinical
15 trials in the *New England Journal*.

16 Q And have you ever testified before as an
17 expert witness in a legal case?

18 A I have.

19 Q How many times?

20 A I suppose the first time I did it was
21 sometime a year or two after I graduated from my
22 medical toxicology fellowship, which was I said 1989,
23 so probably over about a 17- or 18-year period several
24 dozen times.

25 Q And have you served as an expert witness in

BRENT - DIRECT

1790

1 a legal proceeding on behalf of a pharmaceutical
2 company?

3 A Yes.

4 Q And could you describe a couple of those?

5 A There have been a couple of different issues
6 that I have. In fact, I gave testimony, I gave a
7 deposition about four years ago, for example, in one
8 case that involved allegations of vaccine-induced
9 autism.

10 Q And is that the Easter case you were
11 referring to?

12 A That's correct. That's the Easter case.

13 Q And who were you an expert for in that case?

14 A I believe I was actually for the defendants
15 on the case. I believe that was GlaxoSmithKline if I
16 recall correctly.

17 Q And did you give a deposition in that case?

18 A I did give a deposition in the Easter case,
19 yes.

20 Q Did you testify at a trial in that case?

21 A Actually, there was no trial in the Easter
22 case.

23 Q Do you know the outcome of that case?

24 A Yes. What happened was after a series of
25 depositions were taken, the Judge dismissed the case

BRENT - DIRECT

1791

1 on a -- I think you call it a Daubert, if I'm using
2 the term correctly, on a Daubert ruling. I read his
3 ruling. Basically, he said he did not find that it
4 was adequate scientific basis to continue on.

5 Q And you also testified as an expert witness
6 in the Cedillo case before this Court, correct?

7 A That's correct.

8 Q Could you please describe your position as a
9 Clinical Professor at University of Colorado?

10 A Sure. I have a number of duties.
11 Clinically, they involve acting as an attending
12 physician on our clinical pharmacology and toxicology
13 consultation service at the university, which we see
14 patients where there is any concern about adverse
15 effects from any drugs or chemicals. In that context,
16 In my role as the attending physician, what I
17 primarily do is supervise the care. A lot of the
18 primary hospital work is done by the residents and
19 fellows on the service.

20 My role is to serve as the teaching
21 attending to go over their care, to review their care
22 and go over the issues with them. I also have other
23 teaching responsibilities. I give a couple of
24 lectures a month in various training programs, and
25 then of course I'm expected to maintain a degree of

BRENT - DIRECT

1792

1 academic productivity in terms of publications and
2 research and professional standing.

3 Q And you also have a private practice then?

4 A I do have a private practice.

5 Q What's the name of your practice?

6 A It's called Toxicology Associates. It's a
7 single specialty group practice that is devoted purely
8 to medical toxicology. We have three major aims at
9 Toxicology Associates. The first and most important
10 one is patient care. The second is research, and the
11 third is teaching.

12 Q So you examine and treat patients with heavy
13 metal toxicity?

14 A We do.

15 Q And have you ever treated a patient with
16 mercury toxicity?

17 A I have.

18 Q Could you describe that?

19 A Well, I've actually treated quite a number
20 of patients with mercury toxicity. I'll give you an
21 example of some of the extremes, from one end to the
22 other. One of the things that is sort of common up in
23 the hills of Colorado, which still has some hints of
24 being the wild west, is there are gold prospectors up
25 in the hills, and there's some gold that you can pan

BRENT - DIRECT

1793

1 for. The problem is it's not pure gold.

2 So what you do if you have an ore and you
3 want to get the gold out of it, you have to extract
4 the gold from it, and you can take advantage of the
5 fact that if you mix it with liquid mercury,
6 quicksilver, that will extract the gold. The problem
7 when you do that is then you have this liquid mercury
8 and gold, and you need to get rid of the liquid
9 mercury, and the way many people do it is they heat
10 it. That will certainly volatilize the liquid mercury
11 into the air.

12 The problem with doing that is that you
13 generate extremely high mercury levels in the air and
14 people routinely make themselves mercury toxic in
15 doing so. They can be very, very, very sick. They
16 can die from that degree of mercury exposure. I've
17 had an opportunity to take care of numerous
18 individuals, including families who I had to take care
19 of an intensive care unit for a period of time because
20 they were so sick from their mercury toxicity.

21 Another extreme is that sometimes you see
22 people with fairly low-level exposures relative to
23 these people that end up in the intensive care unit.
24 For example, I recall one patient who was a dentist
25 who bought a dental practice, and apparently the

BRENT - DIRECT

1794

1 person she bought the dental practice from was rather
2 sloppy from his use of mercury amalgam and actually
3 there was contamination of some of the rugs at the
4 practice with mercury.

5 The dentist who bought the practice who was
6 my patient used to vacuum the rugs in her practice.
7 When you vacuum rugs that have mercury in them, you
8 volatilize the mercury, and she actually developed a
9 neurological syndrome and had fairly high mercury
10 levels, but she wasn't nearly as sick of course. It's
11 the people that we had treated in the ICUs. We
12 treated her as an outpatient.

13 Ultimately, it turned out that her primary
14 neurological syndrome wasn't really very much related
15 to the mercury. I think she had MS. But at the time,
16 we wanted to take the mercury component out of the
17 picture so we had a more specific workup of whatever
18 else was going on with her neurologically. So we've
19 seen that.

20 I'd say these days for reasons that we'll
21 talk about in a little while, I don't want to spend a
22 lot of time on it now because it's a little bit off
23 the point, but these days because of issues related to
24 the internet and some of the labs that are out there I
25 probably get a referral for a patient with concerns

BRENT - DIRECT

1795

1 for mercury toxicity once a week in my practice.

2 Q And have you ever examined or treated a
3 child with autism?

4 A Yes.

5 Q Under what circumstances?

6 A Well, under a number of circumstances. I
7 have treated them unrelated to their autism because
8 they have a tendency to have pica, and I remember one
9 case who was a significantly older autistic who
10 overdosed in a suicide attempt. I treated several for
11 lead toxicity related to the pica, and one thing that
12 seems to be happening now in my practice related to a
13 lot of the information out on the internet is that a
14 lot of parents are very concerned about their children
15 with autism and are concerned about the mercury issue.

16 I tend to see them on a one out of two
17 circumstances. Often they'll go to their primary care
18 pediatrician and ask them a bunch of questions. Is
19 mercury an issue? Should my kid get chelated and so
20 on, and the pediatrician will often say I don't know
21 too much about this stuff. Let me send you to a
22 toxicologist who might be better to answer your
23 question.

24 I get a fair number of patients these days
25 coming in that way, and the other side of that is we

BRENT - DIRECT

1796

1 also see patients who have gone to these sort of
2 alternative medicine practitioners and are having
3 their children chelated, and they're having all these
4 different treatments, and at some point they may
5 question or want to get a second opinion about whether
6 this is actually the right thing to do.

7 And so, they'll go back often to their
8 primary care pediatrician, and then their primary care
9 pediatrician once again frequently says well, let me
10 send you to a toxicologist who may be better informed
11 with regard to this issue. I have a family in my
12 practice right now that I'll be seeing as soon as I
13 get back. I saw them just before I left, and I'll be
14 seeing them in followup as soon as I get back related
15 to this very issue.

16 Q And, Doctor, we'll move on. What is medical
17 toxicology? I know you explained your education and
18 background, but could you describe medical toxicology
19 and we're going start the presentation with Slide 2.

20 A Sure.

21 SPECIAL MASTER VOWELL: Slide 2?

22 THE WITNESS: Yes. As you can see on this
23 slide, toxicology in general is just simply the
24 science and the adverse affects of chemical substances
25 on living systems, so really anybody who studies any

BRENT - DIRECT

1797

1 effects of chemicals on living systems is basically
2 doing toxicological studies. If they want, they can
3 call themselves a toxicologist.

4 In contrast, when you see the term medical
5 toxicology, that has a very distinct connotation
6 because medical toxicology is a subspecialty
7 recognized in medicine by the American Board of
8 Medical Specialties like gastroenterology, cardiology
9 and so on. It is a specific designation for a
10 specific subspecialty in medicine. To be a medical
11 toxicologist, you have to be a physician. You have to
12 be licensed.

13 You have to have completed a primary
14 residency and gotten board certified, and you have to
15 have completed a two-year post-residency fellowship in
16 an accredited fellowship program, after which you have
17 to pass the certifying examination and then
18 periodically recertify.

19 BY MS. RENZI:

20 Q And you're one of 350 physicians in the U.S.
21 who are medical toxicologists, is that correct?

22 A Yes. We're an amazingly small group, a
23 growing group, which is good because we are all way,
24 way, way too busy.

25 Q Now, Doctor, how do you know if a chemical

BRENT - DIRECT

1798

1 is capable of causing a certain effect?

2 A It's important to realize that this is a
3 fundamental question that comes up in medical
4 toxicology all the time. You have a chemical
5 exposure. Can this cause this effect, and in order to
6 do that --

7 SPECIAL MASTER VOWELL: We've shifted to
8 Slide 3 now.

9 THE WITNESS: Yes. In order to do that, it
10 is important to not lose sight of the fact that there
11 is a very fundamental methodology, scientific
12 methodology, which has to be applied, and what I have
13 done here on Slide 3 is to distill this scientific
14 methodology down into its three major components. The
15 first thing you want to know is what chemical was the
16 person exposed to and at what dose.

17 Once you know that, then you can ask the
18 question now that I know the chemical I'm dealing
19 with, can that chemical cause the particular condition
20 that the person has? I believe the legal concept here
21 is called general causation, and if that chemical is
22 not known to be capable of causing that person's
23 condition, then we say it probably didn't cause his
24 condition.

25 On the other hand, if the chemical is known

BRENT - DIRECT

1799

1 to be capable of causing that condition, then we go to
2 the next question did in this particular individual
3 that chemical exposure actually cause that condition.
4 In other words, was it a dose, was the circumstances
5 of exposure similar to those which are known from
6 scientific studies to cause that condition, and if I'm
7 correct the legal concept here is specific causation.
8 If I can just add, it's a three-step process, and in
9 my teaching I often use this kind of slide.

10 It's very easy to remember. We call it the
11 what, can, did process.

12 Q Now, if a chemical is known to cause a
13 certain effect, is everyone going to respond exactly
14 the same way to the same dose?

15 A No. if we know a chemical exposure has
16 occurred, then we want to know these two big
17 questions: 1) is the chemical capable of causing the
18 particular effect we're looking at, and if we know
19 that, then we also want to know was the dose
20 sufficient to cause that to occur, and the reason we
21 look at dose is because almost all processes in
22 medicine or in toxicology are dose related, that at
23 very small doses almost nothing can be harmful.

24 At very large doses almost everything can be
25 harmful, so you just have to look at each individual

BRENT - DIRECT

1800

1 substance, and where they fit on that so-called dosed-
2 response curve. Here I've given --

3 SPECIAL MASTER VOWELL: We're on Slide 4
4 now. What we're going to be doing, Dr. Brent, is
5 going back and listening to your testimony and
6 reviewing the slides, and we want to marry that up
7 with our notes.

8 THE WITNESS: I appreciate that, and I had a
9 made a mental note to myself to do that, and I
10 appreciate that reminder, and I will do my best to do
11 so. As you can see here on the bottom of Slide 4, I
12 give three examples of dose response curves. These
13 are all of dose response curves, the so-called simple
14 non-threshold curves. In other words, as soon as go
15 up from zero, you get a little bit of a response, but
16 different substances can have different shapes of the
17 dose response curve.

18 Many substances, in fact most substances
19 have what we call threshold dose response curves. In
20 other words, it stays flat until you reach a certain
21 dose, and then it begins to go up. On Slide 5, what I
22 have done is I have generated what is supposed to
23 approximate, which will give me some artistic license,
24 a bell-shaped curve, and what that curve would
25 represent, for example, the number of people in the

BRENT - DIRECT

1801

1 population that will respond to in some way to a
2 chemical at a particular dose.

3 As you can see, it's a range of values that
4 the most typical person will be near the middle of the
5 curve, but as the curve drops off on either side, you
6 will find some people on both sides. Some people
7 respond at lower doses. Some people respond at higher
8 doses, but generally speaking what we do see is this
9 sort of bell-shaped curve.

10 Almost everybody fits into a statistical
11 concept called two standard deviations around the
12 average, around the highest values, and that's quite
13 characteristic in the general population.

14 BY MS. RENZI:

15 Q And are there individuals that would be at
16 the lower end of that bell-shaped curve?

17 A There are.

18 Q Are they a hypersusceptible population?

19 A No, no, not at all. This curve represents
20 simply a range of values, some somewhat lower, some
21 somewhat greater, but as I said tending to be
22 clustered within about two standard deviations of the
23 mean, and it just indicates some degree of individual
24 variability. Now, a susceptible population is
25 something very different. If you look on Slide 6, you

BRENT - DIRECT

1802

1 can see what the toxicologic definition of a
2 susceptible population is.

3 A susceptible population is a population
4 where that bell-shaped curve for that group of people
5 is shifted. It has shifted way down to lower doses.
6 Now, there are a number of specific instances in
7 medicine where we know there are susceptible
8 populations, and we see this phenomenon. When those
9 susceptible populations exist, in general medical
10 science is pretty good at identifying them, finding
11 them and characterizing them.

12 Q And is there a known susceptible population
13 for mercury?

14 A If you're talking about neurotoxic effects
15 of mercury, I think it's fair to say that there has
16 never been an identified susceptible subpopulation to
17 neurotoxic effects of mercury in any form. There is
18 no well accepted or generally accepted
19 hypersusceptible population to mercury neuro toxicity.

20 SPECIAL MASTER VOWELL: And that was Slide
21 7.

22 THE WITNESS: That was Slide 7. Thank you.

23 BY MS. RENZI:

24 Q And aren't we all routinely exposed to
25 mercury in different forms?

BRENT - DIRECT

1803

1 A Every single one of us every single day of
2 our lives is exposed to mercury in various forms.

3 Q And do these various forms of mercury
4 exposure result in mercury being deposited into our
5 brains?

6 A Absolutely. If you look at every animal on
7 the Earth, they have mercury deposit in the brain. If
8 you look at every human being with our normal daily
9 exposures that we all go through, we all certainly
10 have some small burden of mercury in our brain,
11 absolutely.

12 Q And this is Slide 8. Could you just talk
13 about the different forms of mercury that we're
14 exposed to on a daily basis?

15 A Sure. Sure, I'd be glad to. As you can see
16 here on Slide 8, we get exposed to mercury from
17 different sources. Our largest exposures are to
18 organic mercuries, mercurials such as methyl mercury
19 or ethyl mercury is from methyl mercury, and it is
20 from dietary sources, so for all of us, if we look,
21 for example, at some of our brain stores of mercury,
22 the largest amount there comes from the diet.

23 We also get exposure as you know from
24 vaccines, from thimerosal, which becomes ethyl mercury
25 and causes some mercury deposition, and then there are

BRENT - DIRECT

1804

1 other various sources, mercury vapor, which can
2 emanate from a dental amalgams, in airborne sources of
3 mercury. What's important to remember however is if
4 we look at all the mercury in our brains from all of
5 these sources, which ends up ultimately getting
6 deposited as this mercuric or mercury plus two ion
7 that there's been testimony about.

8 You'll find that there is some deposited in
9 the brain. It tends to be extremely small amounts.
10 It's in what we call part per billion range, so it's a
11 very small amount, and when a mercury ion is deposited
12 in the brain from any of these sources, the brain has
13 no way of distinguishing the source that it came from.
14 It could be from methyl mercury. It could be from a
15 vaccine. It could be from some other source. It is
16 simply a mercury ion, and all mercury ions are exactly
17 identical.

18 Q And how much mercury do we typically get
19 from these exposures? We'll move Slide 8.

20 A Yes. If you look here on Slide 9, what I
21 have done is I have listed our various sources of
22 mercury exposure, and just to provide a perspective to
23 get a sense of where the mercury that we are exposed
24 to comes from, the average American diet is about 22
25 kilograms of fish a year, and some fish contain very

BRENT - DIRECT

1805

1 high levels of mercury, but it a conservative estimate
2 for most fish is about half a microgram of mercury per
3 gram of fish.

4 If you do a little bit of mathematics, you
5 find out you can take 22 kilograms of fish a year, and
6 in that fish there is 0.5 micrograms for every gram,
7 0.5 micrograms of methyl mercury for every gram of
8 mercury, you come up with the fact that the average
9 American consumer ingests about 11,000 micrograms of
10 mercury from fish annually.

11 Now, if we look at infants, infants get most
12 of their methyl mercury exposure through
13 breastfeeding, and the average exposure of an infant
14 to mercury from breastfeeding in the first six months
15 of life is about 280 micrograms. Now, it's also
16 important to note that there are other populations on
17 the Earth, and this is for the American population,
18 there are other populations on the Earth, which is a
19 very well study where they eat much, much more
20 seafood.

21 For example, in the Seychelles Islands where
22 there has been a very long and ongoing study of the
23 effects of mercury exposure due to diet, the average
24 person eats about 62 kilograms of fish per year, maybe
25 3 times the amount of the United States, and

BRENT - DIRECT

1806

1 correspondingly their blood levels of mercury are
2 about five to 10 times higher than what we see here in
3 the United States.

4 Q Now I'd like to show you a slide from Dr.
5 Aposhian's testimony, and it was Dr. Aposhian's Slide
6 54 from Petitioners' Trial Exhibit 2. I believe this
7 Slide was taken from the Harry study, and I'd like to
8 discuss that with you. The Harry study was done with
9 mice, is that correct?

10 A Yes, it was done with mice.

11 Q And what does this study tell us about
12 mercury deposition in the brain?

13 A This study injected mercury into mice and
14 looked 24 hours later at the deposition of mercury in
15 the brain, and as you can see it looked at it in terms
16 of the percent of the dose that was administered to
17 the mouse that remains as mercury in the brain, and
18 they looked at it from methyl mercury. They looked at
19 it following thimerosal administration, and they
20 looked at it following ethyl mercury, and as you can
21 see for each one of those there was some small
22 percentage of mercury deposited in the brain.

23 In fact, if you look at the percentages, you
24 get the greatest percentage retention in the brain
25 from methyl mercury than from either thimerosal or

BRENT - DIRECT

1807

1 ethyl mercury, but you will get some deposition from
2 all three of these sources.

3 Q And has there been a study with monkeys that
4 looked at the same question?

5 A Absolutely. Probably a more relevant study
6 would be done on primates. It's the study done by Dr.
7 Burbacher, which was published in 2005.

8 Q And the Burbacher paper is Petitioners'
9 Master List 26, and I'd like to turn now and have you
10 look at page 1016, Table 1 of the Burbacher paper.

11 A Great. This table, Table 1 from the paper,
12 as you can see is a description of what they actually
13 did in the study, and the purpose of this study was to
14 do a pharmacokinetic analysis of what happens to
15 mercury when it's administered either as methyl
16 mercury or as thimerosal to infant monkeys. They
17 immunized infant monkeys with vaccines to which they
18 added thimerosal, and they tried to sort of replicate
19 what happens in a human.

20 Now, the immunization schedule there-you
21 see, was on birth and then on day seven, 14 and 21, so
22 at one week increments in a total of four doses. Now,
23 obviously that's a much more compressed schedule than
24 you would get in a human. The reason they did that is
25 because the monkey's brain develops a little faster,

BRENT - DIRECT

1808

1 and they wanted to get various points of
2 neurodevelopment during the time of the immunization.

3 They gave a dose of 20 micrograms of mercury
4 per kilogram either as methyl mercury orally or as
5 thimerosal in an intramuscular vaccine, and they gave
6 that dose four times at birth, seven days, 14 days and
7 21 days.

8 Q And does the dose of 20 micrograms per
9 kilogram for each vaccination, does that mimic
10 childhood vaccination schedules?

11 A No, no. It's a substantially higher dose
12 than you would get in a childhood vaccination. It's
13 about three or three and a half times higher than a
14 child gets in their first four vaccinations, zero,
15 two-month, four-month, six-month vaccinations.

16 Q And why did they choose that particular
17 dose, then?

18 A The reason they used the higher dose was
19 because of concern for the fact that if they had used
20 actual amount that was in the vaccine, there would be
21 so little mercury that it would be below the limits of
22 detection. They wouldn't be able to do their study.

23 Q And what is the basis for your conclusion
24 about --

25 A Well, the Burbacher data was presented to

BRENT - DIRECT

1809

1 the institute of Medicine in 2004. Which was
2 published before in 2004. The published version of
3 the paper didn't come out until 2005, so Polly Sager
4 from the NIH actually presented the Burbacher data to
5 the IOM, and here you see the explanation. If I could
6 just read this short little excerpt?

7 It says, "The dose that was chosen was not
8 chosen because of any particular level. It was simply
9 that they wanted to ensure that there was enough
10 mercury that they would be able to measure it. You
11 don't do a study like this and find out that the
12 levels are below the levels of detection, so the
13 animals were given 20 micrograms of mercury per
14 kilogram either in the form of thimerosal or in the
15 form of methyl mercury."

16 MS. RENZI: Special Masters, we filed
17 actually the audio from the IOM. It was RML436, and
18 this is just a text from that audio that's in front of
19 you.

20 SPECIAL MASTER HASTINGS: All right.

21 BY MS. RENZI:

22 Q Did the Burbacher paper look at ethyl
23 mercury deposition in the brain?

24 A It did.

25 Q And what did they find?

BRENT - DIRECT

1810

1 A Well, if we turn to Figure 7 of the
2 Burbacher paper, you can see their measurement of
3 mercury levels in the brain after these four
4 injections of 20 micrograms per kilogram, and what
5 they did is they gave all four injections, and then
6 after the fourth injection they started to sacrifice
7 the monkeys over a period of about 30 days and look at
8 what happened to brain mercury.

9 They speciated the mercury such that they
10 looked at both the inorganic mercury, in other words,
11 the mercuric ions, and the organic mercury, the pure
12 ethyl mercury, and you can see there are two major I
13 think take home messages from this data. This shows
14 that if you immunize monkeys at 20 micrograms per
15 kilogram four times that the deposition of mercury in
16 the brain gets to the point of about, as you see in
17 the dashed line on Figure 7 of about just a smidgen
18 over 10 nanograms per gram or parts per billion.

19 That is a sense of about the level of brain
20 mercury that they got as a result of this immunization
21 schedule. Now, since the immunization schedule used
22 over three times as much mercury as a child would
23 actually get in a vaccine, you would expect therefore
24 that the amount of mercury in the brain that would be
25 deposited from a vaccine wouldn't be upwards about 11

BRENT - DIRECT

1811

1 or 12 or 13 here, but would be about a third of this.
2 It would be down around maybe two to three parts per
3 billion in the brain.

4 If you look at the solid line, that shows
5 the organic mercury, the ethyl mercury. As you can
6 see, that level drops very quickly out of the brain.
7 Some of it simply leaves the brain. Some proportion
8 of it obviously become inorganic mercury, but the end
9 result is as you can see that the inorganic mercury
10 levels in the brain following vaccination using their
11 relatively higher dose protocol is a little over 10
12 parts per billion, which would translate for vaccine
13 to maybe two to three parts per billion in the brain.

14 That is the expected brain burden that based
15 on the Burbacher study from a vaccine.

16 SPECIAL MASTER VOWELL: Doctor, can I
17 interrupt because I'm not sure I caught everything you
18 were saying there?

19 THE WITNESS: Please.

20 SPECIAL MASTER VOWELL: What does the dashed
21 line represent versus the solid line?

22 THE WITNESS: Right.

23 SPECIAL MASTER VOWELL: I want to make sure
24 I understand this while you're here.

25 THE WITNESS: I appreciate your asking

BRENT - DIRECT

1812

1 because it's an extremely important question. The
2 dashed line is an inorganic mercury, the Mercury 2 ion
3 that is in the brain.

4 SPECIAL MASTER VOWELL: Okay.

5 THE WITNESS: And that's the part that does
6 not come out and that stays there.

7 SPECIAL MASTER VOWELL: The mercuric
8 mercury?

9 THE WITNESS: Yes, the mercuric mercury, and
10 that in their experiments is a little over 10.
11 Probably it would be closer to two or three parts per
12 billion following the vaccine. Then the solid line is
13 the ethyl mercury.

14 SPECIAL MASTER VOWELL: The ethyl mercury
15 that gets into the brain and does not convert to
16 mercuric mercury?

17 THE WITNESS: Well, what the solid line
18 shows is that the ethyl mercury itself drops down over
19 time and one out of two things could be happening to
20 cause it to drop down. Some of it obviously leaves
21 the brain. Some of it may become mercuric mercury.
22 what's interesting to note however is as that line
23 drops, we don't see the mercuric mercury line going up
24 quite a bit, so that's suggests that a good deal of it
25 is leaving the brain and not being converted to

BRENT - DIRECT

1813

1 mercuric mercury. Did that answer your question?

2 SPECIAL MASTER VOWELL: It did. Thank you.

3 BY MS. RENZI:

4 Q The Burbacher study also looked at methyl
5 mercury deposition in equal doses, is that correct?

6 A It did.

7 Q And what did they find of that?

8 A Well, if you look at the next figure, which
9 will be Figure 4 of the Burbacher study, this shows
10 what they found when they looked at mercury
11 concentrations in the brain on the exact same protocol
12 20 micrograms per kilogram, but this time methyl
13 mercury orally, and what you see there is once again
14 the dashed lines is the mercuric ion, the inorganic
15 mercury, and the solid lines is the organic, the
16 methyl mercury.

17 The amount of inorganic mercury in the brain
18 is the same general ballpark, actually a drop lower,
19 than what they found for when they gave thimerosal,
20 which may be eight or seven parts per billion, and the
21 amount however of the organic mercury, the methyl
22 mercury in the brain is about 10 times higher, and so
23 the total mercury burden there is about 100 parts per
24 billion.

25 If you look at what happens over time, if

BRENT - DIRECT

1814

1 you look at the inorganic mercury, it stays pretty
2 much the same just like after thimerosal. The dashed
3 line there they drew, that looks like it has a little
4 bit of a downslope, but there's no statistically
5 significant drop over time. If you look at the methyl
6 mercury itself, that level does not change either over
7 time unlike the ethyl mercury, the level quickly
8 dropped.

9 The methyl mercury stays quite constant at
10 about 100 parts per billion over time, and in fact
11 in his statistical analysis, if you compare the points
12 on the methyl mercury or the solid line, the left
13 point when they first started looking and the points
14 all the way over on the right 30 days later or 28 days
15 later when they terminated their experiment, these two
16 points are not statistically significantly different
17 from each other.

18 In other words, they were not able to
19 demonstrate that there was any reduction in the methyl
20 mercury level over time following methyl mercury
21 injection, so this shows that methyl mercury and ethyl
22 mercury have rather different pharmacokinetics in the
23 brain such that with equivalent doses you get about
24 the same amount of inorganic mercury, but you get
25 probably 10 times as much organic mercury with methyl

BRENT - DIRECT

1815

1 mercury.

2 Now, we don't know the ultimate fate of that
3 methyl mercury. Some of it will probably be
4 demethylated to inorganic mercury. Some of it may
5 flux out of the brain. Some of it may just stay there
6 as methyl mercury.

7 Q Let's take a look at the methyl mercury and
8 ethyl mercury graphs side by side.

9 A Right. So this shows the actual comparison
10 side by side with the thimerosal, Figure 7 on the
11 right, and the methyl mercury on the left. Notice
12 that the Y axis because there's so much more methyl
13 mercury than there was from the ethyl mercury. The Y
14 axis are a different. For methyl mercury it goes up
15 to 1,000. For ethyl mercury, it goes up to 100, and
16 as you can see the amount of inorganic mercury is
17 roughly comparable. The ethyl mercury, however, it
18 drops quite rapidly. The methyl mercury in contrast
19 stays quite constant.

20 SPECIAL MASTER VOWELL: Dr. Brent, a
21 question for you.

22 THE WITNESS: Please.

23 SPECIAL MASTER VOWELL: Does the mode of
24 administration have any impact? I notice from these
25 slides that it was oral methyl mercury and

BRENT - DIRECT

1816

1 intramuscular injection of thimerosal. Are we
2 comparing apples and oranges, or are they both going
3 to be apples?

4 THE WITNESS: No. I think again that is a
5 very good question, and I think the answer to that in
6 science, you only know what you study, so this tells
7 us that for equivalent doses, oral methyl mercury, as
8 you would take in in food, gives much higher brain
9 levels than intramuscular thimerosal.

10 SPECIAL MASTER VOWELL: So we're mimicking
11 the way people get it rather than trying to compare --

12 THE WITNESS: That's exactly right. That's
13 exactly right. We don't know if the result would be
14 the same if the thimerosal was given orally or if the
15 methyl mercury is given intramuscular.

16 SPECIAL MASTER VOWELL: I'm sorry to
17 interrupt, but I have to ask the questions before I
18 lose my train of thought.

19 THE WITNESS: Please do.

20 BY MS. RENZI:

21 Q And didn't they also look at blood levels
22 for ethyl mercury in the Burbacher study?

23 A Yes, they did.

24 Q And I'll refer to Figure 5 on that paper.

25 A Yes. There's a point I'd like to make about

BRENT - DIRECT

1817

1 this. As we saw in the prior slides, for equivalent
2 doses, you get significantly higher mercury in the
3 brain from methyl mercury, but there is another factor
4 as well, which is that because they gave their
5 injections a week apart, there was not an opportunity
6 for the thimerosal from the prior injection to clear
7 from the blood before they gave the next injection
8 unlike what happens in humans.

9 If you inject two months later, there's no
10 more ethyl mercury in the blood. It's gone, but here
11 since they gave them at weekly intervals, there was a
12 progressive accumulation effect, so you see with each
13 progressive injection here in Figure 5 of the
14 Burbacher paper that the peak mercury goes up and up
15 and up, so there was accumulation kinetics, which is
16 not what you would see with a human, so that actually
17 further inflated the brain mercury that they saw in
18 the thimerosal group than you would see in a human.

19 So I think putting all that together it's
20 quite safe to say that probably based on the Burbacher
21 data that you would predict that brain mercury level
22 related to the immunization schedule, two, four and
23 six months for a slowly immunized child would probably
24 give in the range of maybe two parts per billion in
25 the brain of mercuric mercury.

BRENT - DIRECT

1818

1 Q And we see the mercury deposition in the
2 brains of animals. How much do we typically find in
3 human brains?

4 A Well, it's been studied, and I think I have
5 that on the next slide.

6 Q And this is Respondent's Master List 294,
7 Figure 9.

8 A Here you see a study of brain mercury
9 levels, and on the bottom three entries are simply
10 autopsy data from various populations, the general
11 population of Germany, the general population of
12 Sweden, and in human neonates who died in Rochester,
13 New York, and if you look at these three numbers, that
14 gives you a sense of what normal brain mercury levels
15 are.

16 As you can see, normal brain mercury levels
17 are probably something in the range depending upon
18 what population you look at, maybe 15, just sort of in
19 the middle of that, or maybe anyplace from two to
20 maybe 30 parts per billion. That is what you would
21 typically expect in the human brain as a background
22 level of mercury.

23 Q And how would a vaccine affect, a thimerosal
24 vaccine affect, these numbers?

25 A Well, we talked about the fact that if you

BRENT - DIRECT

1819

1 look and say the first six months of thimerosal
2 related vaccine from the Burbacher data, you would
3 expect that there would be an additional increment of
4 maybe two parts per billion of mercury to that, maybe
5 three.

6 Q And where would brain levels from let's say
7 the Seychelles Islands be on this graph?

8 A Right. If you remember when we were talking
9 about how much mercury people are exposed to, that one
10 of the populations that has been studied quite a bit
11 because of their very large amounts of mercury
12 ingested in the Seychelles Islands where their fish
13 consumption is about three times the United States.
14 Their blood mercury levels run five to 10 times what
15 we see here in the United States, and so their brain
16 mercury concentrations have been studied as well.

17 As you would expect, it's significantly
18 higher than we get here in the United States, and as
19 you see in this figure, their mercury levels look like
20 -- it's a little hard to tell the exact number. It
21 may be 200. Yes, maybe 150, 200 parts per billion in
22 the Seychelles Islands as their normal levels, and
23 this value represents what is referred to in
24 toxicology as you can see there on the charts the no
25 observed effect level.

BRENT - DIRECT

1820

1 We have a concept in toxicology, and that
2 is, what dose of a substance can you give, what's the
3 highest dose of a substance you can give without
4 observing any adverse effect, and we call that the no
5 observed effect level. As you can see there from this
6 analysis, that the highest level of mercury in the
7 brain that has been studied in which there is no
8 observable effect is in the Seychelles, and it's
9 probably in the range of 150 and 200 parts per billion
10 mercury in the brain.

11 We don't know how high you have to get above
12 that before you start getting effects. As you can see
13 on this diagram it shows that there is some subtle
14 effects that are found at maybe 1,100 parts per
15 billion in the brain in animal models.

16 Q And do you know if there's a greater rate of
17 autism in the Seychelles given the relatively high
18 amount of mercury in the brain?

19 A Yes. I do know the answer to that. To my
20 knowledge, there's no publication on that, but I read
21 Dr. Clarkson's report that was filed with this Court
22 where he addressed that very issue. Dr. Clarkson has
23 been the principal investigator in this study of the
24 Seychelles, and here as you can see from his report he
25 states, and I don't want to read the whole thing.

BRENT - DIRECT

1821

1 "In some 30 years of detailed pediatric and
2 neuropsychological tests on large cohorts of infants
3 with continuously elevated mercury blood levels,"
4 meaning in the Seychelles, "I have found no evidence
5 of an increased prevalence of autism. Admittedly, we
6 did not specifically look for autistic children, but
7 the many neurocognitive tests we carried out, none of
8 which uncovered neurological deficits would surely
9 have detected such cases."

10 Q And that's Respondent's Exhibit K at pages 5
11 and 6. Doctor, what about the Faroe Islands? How did
12 the mercury intake compare with the Faroe Islands to
13 the Seychelles?

14 A Well, the population on the Faroe Islands is
15 similar to the Seychelles Islands. It's another
16 heavily fish-eating population there, they're out in
17 the north Atlantic, and although their pattern of fish
18 eating is slightly different from the Seychelles, they
19 too are very heavy fish eaters just like the
20 Seychelles' population. Their blood levels run
21 considerably in excess to what we see in the United
22 States or close to what we see in the Seychelles, so
23 they get fairly similar mercury exposure in the Faroe
24 Islands as well.

25 Q And do you know whether there's an increased

BRENT - DIRECT

1822

1 rate of autism in the Faroe Islands?

2 A Yes. That actually has been formally
3 studied in the Faroe Islands, and here you see the
4 paper that studied it. It's the publication by
5 Ellifsen, which is, looks like RML 138, and they
6 showed as you can see there that of children aged --

7 SPECIAL MASTER VOWELL: I think that may be
8 RML 130.

9 MS. RENZI: 130.

10 THE WITNESS: I'm sorry. I'm sorry. Of the
11 children aged eight through 17 years, which is the
12 population they looked at, 0.56 percent had childhood
13 autism, Asperger syndrome or atypical autism. The
14 male/female ratio is just under six to one. The
15 prevalence of autism in the Faroe Islands was very
16 similar to that reported in western countries.

17 Therefore, if we look at these populations
18 that have much, much, much more inorganic mercury
19 deposited in their brain, there were hundred well over
20 parts per billion deposited in the brain compared to
21 what we see here in the United States. There is no
22 increase in autism. There's 0.56 percent. It's about
23 1 in 200 cases. Actually, slightly less than our
24 current rate here.

25 BY MS. RENZI:

Heritage Reporting Corporation
(202) 628-4888

BRENT - DIRECT

1823

1 Q Is there any evidence that autistics have
2 more mercury in their brains than nonautistics?

3 A No. There has never been a study that
4 suggested that autistics had more mercury in their
5 brain than nonautistics. Therefore, I think it's fair
6 to say that any reasonable conclusion based on the
7 existing scientific data tells us that a couple of
8 parts per billion of mercury in the brain that we
9 receive through thimerosal-containing vaccines cannot
10 possibly be a significant contributor to brain mercury
11 concentration.

12 Overwhelmingly much more comes from other
13 sources such as methyl mercury, and it's all --

14 SPECIAL MASTER VOWELL: That was Slide 10
15 and Slide 11 we've moved to now.

16 THE WITNESS: Yes, and on Slide 11 I have
17 articulated that. There's no possible way that a very
18 small amount a mercuric ion or inorganic mercury from
19 thimerosal containing vaccine can exacerbate cause or
20 contribute to the effect of the much greater amount of
21 the mercuric ion in the brain from nonvaccine-related
22 sources.

23 BY MS. RENZI:

24 Q Doctor, I'd like to move on now. During
25 this trail, we've heard a lot about various studies

BRENT - DIRECT

1824

1 that were in vitro studies. Could you please explain
2 what an in vitro study is?

3 A Yes. On Slide 12, I have put down some
4 information about what an in vitro study is. Now, we
5 generally talk about two different types of studies in
6 the biological sciences. We talk about in vivo
7 studies and in vitro studies. An in vivo study is a
8 study done in an intact organism. It could be an
9 animal. It could be a human.

10 The in vitro studies on the other hand are
11 studies that tend to be done outside of the actual
12 organisms, done in the laboratory maybe with cells and
13 culture, for example, in a petri dish. They're
14 studies that are done in the laboratory environment,
15 and it's important to remember that the laboratory
16 environment is a highly artificial environment, and
17 the circumstances in the laboratory environment are
18 dramatically different than the circumstances in the
19 body, in vivo.

20 If we're looking at a neuron or any other
21 particular type of cell in the laboratory, that cell
22 is existing in an environment, which is radically
23 different from the environment that they are in the
24 body, and that has dramatic ramifications for the way
25 you interpret these studies and the vulnerability of

BRENT - DIRECT

1825

1 cells in vitro.

2 Q So, Doctor, then can you use in vitro
3 studies to extrapolate how a chemical will react in a
4 human?

5 A No. You can use in vitro studies to
6 generate hypothesis about effects a chemical might
7 cause in humans, but because the environments are so
8 radically different, you cannot reach conclusions.
9 For example, if I take some cells and just simply
10 incubate them with water in the laboratory, I'll kill
11 those cells. Now, one just certainly cannot conclude
12 that water is lethal to neurons in humans, and this is
13 shown on Slide 13.

14 SPECIAL MASTER VOWELL: Thank you.

15 THE WITNESS: This difference between the
16 laboratory and the whole body environment is such that
17 the cells in the laboratory become much, much much
18 more vulnerable. I'll give you an example. Here
19 we're talking about mercury and mercurial compounds
20 like thimerosal or ethyl mercury.

21 In the body, we have a cell in the brain
22 whether it's a neuron or an astrocyte or any cell in
23 the brain is in an environment where there are a large
24 number of protective molecules, glutathione, thiols of
25 all different kinds, metallothionein, which I know

BRENT - DIRECT

1826

1 there's been testimony about, a number of other
2 proteins that bind and therefore inactivate the
3 mercury molecules, those are not present in in vitro
4 studies.

5 In vitro studies is just a cell and the free
6 mercury, so the cells are exposed to a much higher
7 concentration of free mercury than they would ever be
8 exposed to in the brain because in the brain it is all
9 tied up. The molecules in the brain bind these
10 exogenous substances, and by doing so prevent them
11 from interacting with cells, and so there's very
12 little free mercury or free whatever compounds they're
13 studying that can actually interact with cells, and
14 that's the problem with in vitro experiments.

15 If we go to the next slide, we see therefore
16 that as a result of that while in the brain almost all
17 the mercury is bound and inactivated, and there's very
18 little free mercury, it's only the very small fraction
19 that's free that can interact with cells. In in vitro
20 systems, all the mercury that was there is free and
21 can interact with cells.

22 That's why as Dr. Deth pointed out in his
23 study that there was this sort of artificial in his
24 presentation to this Court that there was this sort of
25 artificial environment and in reality you would

BRENT - DIRECT

1827

1 typically expect the concentration of mercury in free
2 form that is able to interact with cells in his words
3 to be vanishingly small. Therefore, you can never
4 assume that effects you see in vitro occur in vivo.

5 BY MS. RENZI:

6 Q Now, you mentioned Dr. Deth's study, and he
7 did an in vitro study with Dr. Waly in 2004, and
8 that's Petitioners' Master List 257. Could you please
9 tell us about that study?

10 A Sure. This study is a classic example of
11 the problem with in vitro studies. What the Waly and
12 Deth study was was a study where they took some cells
13 in culture, in a petri dish, in the lab, and it was a
14 neuroblastoma which is from a tumor line of cells, and
15 they put thimerosal in with these cells, and they
16 found that it inhibited this enzyme, which we heard
17 quite a bit about last week, called methionine
18 synthase.

19 But that's just thimerosal interacting
20 directly with the cells. Dr. Deth himself pointed out
21 that's not what would happen in vivo. In vivo what
22 thimerosal was there or ethyl mercury was there, the
23 level would be vanishingly small that would be free to
24 interact with the cells. The other thing, which is
25 another example of the kind of artifact you can get in

BRENT - DIRECT

1828

1 the in vitro environment, the test tube environment,
2 is that his data only occurred when there was no
3 copper present in the medium, in a copper-free medium.

4 If he added a small amount of copper to his
5 medium, that activity all came back, so it's a
6 function of insufficient copper in the medium. Now,
7 the reason that's a limitation is because in the body
8 we have very significant amounts of copper. We would
9 never be in a copper-free environment.

10 Q And this is Slide 16 that we're on now.

11 A So he created a system that could never
12 occur in the body, and in fact we made it a little bit
13 more like what we see in the body by adding back some
14 copper. Then it essentially vanished. Those are some
15 examples of how the artificiality of an in vitro
16 experiment, in this case Dr. Waly and Dr. Deth's
17 experiments, impact the results. The other thing I
18 should point out is that remember he was studying the
19 enzyme methionine synthase.

20 Methionine synthase in that particular cell
21 line that they used is a defective methionine
22 synthase, so it's not typical of the methionine
23 synthase you would expect to see in neurons, so even
24 if it applies, we wouldn't know if it had anything at
25 all to do with neuron data. As of now, there is no

BRENT - DIRECT

1829

1 peer-reviewed published evidence that autistics in any
2 way have a defective methionine synthase.

3 Q And didn't the 2004 IOM specifically look at
4 the Waly/Deth study?

5 A They did. That study was presented, and
6 they specifically looked at it.

7 Q And what did they conclude?

8 A Well, so here they're talking about the
9 methionine synthase experiments of Waly in 2004, which
10 is the one publication they have on this, and they
11 say, "The authors hypothesize that disruption of this
12 pathway, i.e., the methionine synthase pathway, by
13 thimerosal leads to autism, ADD and other
14 neurodevelopmental disorders. However, the committee
15 is aware of no evidence that autism is caused by
16 alterations in this biochemical pathway."

17 In addition, the evidence that several
18 important toxicants disrupts this pathway and that is
19 involved in many physiological effects weakens the
20 argument that thimerosal might cause autism through
21 this mechanism.

22 Q And that's Respondent's Master List 255 at
23 page 136 and 137.

24 A And I might just point their reference here
25 to the fact that many important toxicants affect this

BRENT - DIRECT

1830

1 pathway is that the Deth experiment didn't only look
2 at thimerosal. They showed a whole bunch of things
3 inhibit that enzyme.

4 Q Now, you've also heard at this trial about
5 the 2005 in vitro study of Dr. Jill James, and that's
6 Petitioners' Master List 007. Can you please tell us
7 about that study?

8 A Sure. There's a slide on it, Slide No. 17,
9 and as you recall, there's been some testimony here
10 that this study stands to support the proposition that
11 thimerosal administration lowers glutathione levels.
12 Actually, this was an in vitro study using some tumor
13 cells, and what was important about the tumor cells
14 that were used in the James' study is that normally
15 this cell line has about one thousandth the amount of
16 glutathione than normal cells have.

17 That cell line is highly deficient in
18 glutathione to begin with. Then what was done is
19 thimerosal was added in vitro to culture these tumor
20 cells in micromolar amounts. Now, you would never get
21 a brain cell exposed to micromolar amounts of mercury
22 through ethyl mercury. The amount of mercury in the
23 brain, which we talked about it parts per billion
24 translates to nanomolars, about 1,000 times less.

25 If you look, that's what I just pointed out,

BRENT - DIRECT

1831

1 so there's very high amounts of mercury in cultured
2 cells that have very low levels of glutathione to
3 begin with, and the allegation was that amount of
4 mercury then injured the cells and reduced their
5 glutathione levels. Remember, you'd never see first
6 of all cells in the brain that would have such low
7 levels of glutathiones. Cells just don't have those
8 low levels of glutathione. Cells may have 1,000 times
9 more glutathione typically.

10 In vivo, instead of micromolar amounts of
11 mercury, which they use in the experiment, the cells
12 would actually be exposed to very vanishingly small
13 amounts, nanomolar amounts of mercury. The other
14 thing about that experiment is they actually didn't
15 even show that there was a statistical difference
16 mostly because they didn't do a statistical analysis
17 to that point.

18 Q And did the authors of the James' study
19 intend to mimic what happens in vivo following receipt
20 of a thimerosal-containing vaccine?

21 A No. They made it clear that they weren't
22 even trying to do that.

23 Q And I'll refer to page 3 of that study.

24 A Yes, and this is directly out of that study
25 where they say, "Acute high dose exposures to the

BRENT - DIRECT

1832

1 thimerosal micromoles per liter", which is micromolar,
2 far in excess of anything you'd ever see in the brain,
3 "and cultured cells were used to study mechanistic
4 aspects of thimerosal toxicity and not intended to
5 mimic exposure of developing brain cells in vivo to
6 thimerosal in vaccines," which would typically be
7 nanomoles per liter.

8 Q Dr. Brent, are your opinions about in vitro
9 studies well-accepted in the greater general medical
10 community?

11 A I think they generally are, absolutely.

12 Q Did the IOM comment on the validity of in
13 vitro studies?

14 A They did.

15 Q And I'm referring to Respondent's Master
16 List 255 at page 140. What did they say?

17 A Quoting the IOM, they said, " The hypotheses
18 reviewed by the committee were that vaccine-induced
19 autism represents the end result of a combination of
20 susceptibilities, possibly genetic, to immune
21 dysfunction or to abnormal mercury metabolism." They
22 then go on to point out, "Demonstrating an adverse
23 effect of mercury in vitro does not readily translate
24 into a physiological argument."

25 Q Thank you.

BRENT - DIRECT

1833

1 A Science would be much easier to do if we
2 could do all our experiments in test tubes, in the
3 culture.

4 Q Now, you've read Dr. Aposhian's report in
5 this case, is that correct?

6 A I have.

7 Q And on what did he base his hypothesis that
8 thimerosal-containing vaccines cause autism?

9 A Well, if you remember Dr. Aposhian's report,
10 he based his hypotheses on six pillars that he said
11 supported his position, and these are listed here on
12 Slide 19. They were the Adams tooth study, the hair
13 studies by Dr. Holmes, and they also made reference to
14 the poster by Hu, the Bradstreet/Geier chelation
15 study, the fact that as he put is to quote him, "the
16 most beneficial treatment for autism is chelation,"
17 the Hornig study and a study by Courchesne dealing
18 with post-natal loss of brain cells in autism.

19 Q And have you assessed these pillars on which
20 Dr. Aposhian's hypothesis is based?

21 A Yes. If you'll notice, the first five of
22 these pillars deal with toxicologic questions. The
23 sixth doesn't, so I looked at the first five.

24 Q And I'd like to go through then those first
25 five, and the first one was the Adams study?

BRENT - DIRECT

1834

1 A That's right. Dr. Aposhian's first pillar
2 was the study by Adams on tooth mercury.

3 Q And we're on Slide 20. Could you describe
4 that study?

5 A Well, that study actually follows on the
6 heels of other data related to tooth mercury. For
7 example, if you go to Slide 21, you can see that tooth
8 mercury has not been uniquely studied by Adams. There
9 was a big study by Tvinnereim, which is RML 488 and
10 described here on Slide 21, and this was a study that
11 looked at primary teeth. The Adams study as we'll see
12 in a moment looked at primary teeth, and they looked
13 at over 1,200, almost 1,300 primary teeth, and they
14 studied the mercury concentration in those teeth.

15 The mean concentration of mercury in primary
16 teeth they demonstrated is about 0.27 micrograms of
17 mercury per gram of tooth, and they found that there
18 were various factors that affected the amount of
19 mercury in a tooth: 1) whether there were carries
20 present in the tooth or not, not talking about
21 obviously mercury-containing amalgam, but just carries
22 in general; 2) the type of tooth.

23 For example, there was a higher
24 concentration of mercury in molars than there were in
25 other teeth, and also that there was a coassociation

BRENT - DIRECT

1835

1 between the lead in a tooth and the mercury in a
2 tooth. This study was done, and then came the Adams
3 study, which you see I believe in Slide 22, and the
4 Adams study looked at primary teeth from 16 children
5 with various autism spectrum disorders, not
6 necessarily regressive disease and 11 controls.

7 As you can see, 81 percent of the cases of
8 autism was male. Only 45 percent in the controls
9 were. We'll come back to that point in a second. If
10 you look at the tooth mercury concentration, Adams
11 points out here that they found higher tooth mercury
12 concentrations in the autistic group than in the
13 control group.

14 Now, I'll call your attention to the fact
15 that if you remember the Tvinnereim study that the
16 primary teeth, which was a much larger study and a
17 much better sample, the amount of mercury you
18 typically expect in primary teeth is about 0.27
19 micrograms per gram, so even the autistics were lower
20 here than the baseline in the bigger study.

21 The other thing is if you look at the tooth
22 mercury levels, excuse me, the tooth lead levels, you
23 can see that there is an increased amount of lead in
24 the autistic teeth compared to the controls, although
25 it's not a statistically significant result. I think

BRENT - DIRECT

1836

1 the group was much too small to be able to demonstrate
2 statistically significant results related to that
3 point.

4 Q And what other problems did you find in your
5 review of the Adams study?

6 A The Adams study has a number of problems,
7 which I have listed here on Slide 23. First of all,
8 it's a small and nonreplicated study. It's not really
9 a criticism so much as to say you can't take too much
10 away from it, but there are some bigger issues with
11 it. One thing is as you saw the ratio of males to
12 females is very different in the autistic group, in
13 the control group, and they did not control for that.
14 As I recall, even Dr. Aposhian gave testimony here
15 that that might influence tooth mercury concentration.

16 The other thing is what do you make of this?
17 Tooth mercury has never been shown by anybody to
18 reflect body burden of mercury, so nobody really knows
19 what to make of this. Also, if you'll recall from the
20 Tvinnereim study, it's very important to control for
21 the type of tooth because different teeth have
22 different concentrations of mercury. They did not do
23 that in the Adams study. There are statistical tests
24 by the way. It was an invalid statistical test, so
25 I'm not sure you can draw any statistical results from

BRENT - DIRECT

1837

1 it.

2 The other thing to remember is that pica is
3 common in autistic children and that they did not
4 really control for that. As we saw, there was
5 numerically a higher level of lead in the autistic
6 children's teeth. If you remember from the Tvinnereim
7 study, there's more lead, there's more mercury in the
8 teeth, so they needed to control for that.

9 More concerning was that we know from the
10 big study that tooth mercury levels are supposed to be
11 approximately 0.27 micrograms per gram typically in
12 the general population of primary teeth, and all the
13 numbers here were much lower. The autistics were
14 lower, and the controls were lower than the numbers we
15 would expect.

16 Q I'd like to turn now to Dr. Aposhian's
17 second pillar, and what was that pillar?

18 A Dr. Aposhian's second pillar dealt with the
19 hair study.

20 Q And that's Slide 24, and what studies did he
21 rely upon?

22 A Well, he relied primarily on the study of
23 Holmes. Although, he did make reference to a poster
24 by Hu. Slide 25 describes the Holmes study. What the
25 Holmes study did is it measured first baby hair

BRENT - DIRECT

1838

1 mercury in autistics, and what it showed or what it
2 reported was that there was a statistically
3 significant difference, that asterisk that I have on
4 the slide next to autistics means statistically
5 significantly different, amount of mercury in the
6 autistic hair than in the control hair.

7 Now, once again the Holmes study was not
8 just regressive autism. It was the whole spectrum,
9 and if you look, the autistics had about 0.47 parts
10 per million of mercury in the hair. The controls were
11 about 3.6, much, much higher. The Holmes study
12 therefore concluded that hair excretion patterns among
13 autistic infants were significantly reduced relative
14 to control, and this was cited as support by Dr.
15 Aposhian for his so-called efflux theory or poor
16 excreter theory.

17 Now, there's a couple of things to note
18 about the Holmes study. If you look at what would be
19 expected hair levels of U.S. children in the United
20 States. It's typically based on the large NHANES
21 study, which was a very large study that represented
22 samples of populations. You expect it to be about
23 0.22 parts per million. Now, if you look at the
24 Holmes study, the controls, the normals was 15 times
25 greater. It was 3.6.

BRENT - DIRECT

1839

1 There is no reasonable explanation for how
2 that can possibly be, and if that's the autistic,
3 we're much, much closer to the normal than the normals
4 were, so that raises a bit of concern about the Holmes
5 study.

6 Q Now, Dr. Aposhian testified that the Holmes
7 study was confirmed by the Hu study, which he referred
8 to as the MIT study, and that's Petitioners' Master
9 List 16. Could you please briefly describe the Hu
10 study?

11 A Yes, sure. On the next slide, which is
12 Slide No. 26, it talks about this abstract that was
13 published by Hu, et.al., that was cited as the study
14 supporting the Holmes study, and what they did is they
15 looked at hair mercury concentrations in three
16 individuals that had autism. If you look actually at
17 the study, here is what they say.

18 "The ASD hair samples were taken from three
19 individuals affected by ASD, two of whom are under
20 treatment for heavy metal detoxification. The
21 treatment protocol requires complete exclusion of
22 seafood from these individual's diets. The third ASD
23 individual consumed seafood at least once per week and
24 a regular diet," so they looked at three individuals,
25 two of whom were on a seafood-free diet, and lo and

BRENT - DIRECT

1840

1 behold what did they find?

2 If we go to the next slide, which is No. 27,
3 we see that two of the three individuals who were on
4 the low seafood diet, they had low hair mercury
5 levels. No big surprise. The one single AUTISTIC
6 individual who was on a normal diet had a hair mercury
7 concentration of .4 parts per million. It's almost
8 exactly what you'd expect from the general population.

9 Q Have there been other hair studies that have
10 attempted to replicate the Holmes study?

11 A Yes, there have been five. These are five
12 subsequent studies that have been published that have
13 attempted to replicate the Holmes data, and not a
14 single one of them could replicate that data.

15 Q And Dr. Aposhian's third pillar was a
16 chelation study?

17 A Yes.

18 Q That was a Bradstreet/Geier study. Could
19 you please describe that study?

20 A Right. I would be glad to. On Slide 30,
21 you see a description of that study. This was a study
22 published in the *Journal of American Physicians and*
23 *Surgeons*. The *Journal of American Physicians and*
24 *Surgeons* I should point out is almost the only journal
25 I've ever encountered in my scientific career that is

BRENT - DIRECT

1841

1 not listed in the National Library of Medicine. In
2 that study, they gave a mercury chelator succimer,
3 which is DMSA for three days, and they measured urine
4 mercury level, and they looked at two populations.

5 As you can see in this little table on Slide
6 30, they looked at a population that had diagnosis of
7 autism or PDD on one hand, 55 individuals, and then
8 they looked at eight so-called control individuals.
9 However, the control individuals were individuals who
10 were brought to his practice because the family had
11 concerns about mercury toxicity in those individuals.

12 As you can see, the urine mercury was about
13 6.4 in the autism population and was about one
14 microgram per gram of creatinine in the control
15 population from which they concluded that the
16 autistics have a body burden of mercury which can be
17 mobilized by giving a chelator to cause enhanced
18 excretion.

19 Q And what were the problems you found in your
20 review of the Bradstreet/Geier study?

21 A There are a lot of problems with the
22 Bradstreet/Geier study, and here you see some of them
23 on Slide 31. Remember that the controls who excreted
24 less mercury were individuals who were brought to the
25 practice because of concern about mercury toxicity.

BRENT - DIRECT

1842

1 It's likely they were probably on a low seafood diet,
2 and there was no control for diet in that study.

3 Also, by the way because I looked at the
4 statistics, and I saw as we'll see in a minute the
5 huge ranges of values, and I found it hard to believe
6 it was truly a statistically significant result, and I
7 tried to reproduce it every way I could, and I could
8 not following their methodology show that their
9 results were statistically significant. The other
10 thing is there was no assessment of compliance.

11 These people were thought to be taking the
12 chelator over a short period of time. There was no
13 assessment of whether they really did or not. If you
14 look at the paper, and you look at the range of
15 values, they're huge, and they're overlapping.

16 Here you see Table 1 of the Bradstreet/Geier
17 study, and if you look at mean mercury concentrations
18 in the cases and the controls, they vary from zero to
19 almost 59 micrograms per gram, and in the cases in the
20 controls from zero to six, so how there can be such a
21 difference I have no idea. That's such a wide range,
22 but you certainly can't reach any conclusions from
23 that kind of study. Now, if we go to the next
24 slide --

25 SPECIAL MASTER VOWELL: Which is 32.

BRENT - DIRECT

1843

1 THE WITNESS: Which is No. 32, and you look
2 at the urine excretion in the cases, those patients
3 who had autism or ASD had urine levels that are fairly
4 typical of what you might expect for anybody given a
5 chelator whether they were autistic or not. The
6 problem is you really can't interpret it because this
7 was an experimental study on chelation, and
8 experimental chelation studies always require that you
9 do a nonchelated urine to see what effect the chelator
10 has.

11 You do a nonchelated urine, then you give
12 the chelator, and then you do a chelator urine to see
13 what the difference is. They did not do that.
14 Further, on Slide 33, in the Bradstreet study, they
15 didn't exclude patients who had prior chelation, so
16 that may have influenced the result, and it's also
17 important to know that DMSA mobilizes mercury that is
18 stored in the kidney.

19 Almost all the mercury that is excreted
20 found in the urine following a DMSA challenge comes
21 from mercury that's stored in the kidneys, so all this
22 tells us is about mercury in the kidneys. It doesn't
23 really say anything about body burden, so you really
24 can reach too many conclusions based on this kind of
25 study. As I mentioned, it's published in a non-

BRENT - DIRECT

1844

1 National Library of Medicine recognized journal.

2 Actually, the editor of the journal at the
3 time was from SafeMinds, and most importantly that
4 there was a better study published in a more
5 legitimate scientific journal that attempted to
6 replicate these results, and that study showed no
7 significant difference in mercury excretion between
8 autistics and controls.

9 BY MS. RENZI:

10 Q And what was that study?

11 A That was a study by Dr. Soden.

12 Q And that's Slide 34?

13 A That study is described on Slide 34 where
14 they administered DMSA to children with autism and to
15 normally developing children and in fact were not able
16 to verify the Bradstreet/Geier results. If you look
17 at that study, you see their conclusion, which is, "In
18 the absence of a proven novel load of heavy metal
19 toxicity, the proportion of autistic participants in
20 this study whose DMSA-provoked excretion result
21 demonstrate an excess chelatable body burden of
22 arsenic, cadmium, lead or mercury is zero."

23 Q And we'll move on then to Dr. Aposhian's
24 next pillar, his fourth pillar that he relied on for
25 his hypothesis.

BRENT - DIRECT

1845

1 A Yes. Slide 36 shows Dr. Aposhian's fourth
2 pillar where he says that the most beneficial
3 treatment for autism is chelation therapy, and I did
4 assess that.

5 Q And what did you determine?

6 A Well, you can sum it up very quickly on
7 Slide 36. I couldn't find a single study in the peer-
8 reviewed medical literature or scientific literature
9 that demonstrates that chelation therapy is beneficial
10 in autism. No such peer-reviewed published study
11 exists.

12 Q And Dr. Aposhian's fifth pillar of his
13 hypothesis, what was that?

14 A Dr. Aposhian's fifth pillar was the Hornig
15 mouse study, which I believe there's been some
16 testimony about already.

17 Q And what is your assessment of the status of
18 the Hornig study?

19 A I have summed that up very succinctly on
20 Slide 38. The Hornig study could not be replicated.
21 Berman tried to replicate that study and could not
22 replicate it. Dr. Aposhian agrees the study could not
23 be replicated. Dr. Mumper agreed the study could not
24 be replicated. I certainly believe the study could
25 not be replicated, so I don't think there's anything

BRENT - DIRECT

1846

1 more we really need to say about the Hornig study.

2 Q And based on what we've just talked about,
3 in summary, what conclusions have you reached about
4 Dr. Aposhian's six pillars that he says taken together
5 support his hypothesis?

6 A Well, here we have the six pillars. As I
7 said, I'm only going to discuss the first five of
8 them, so as you can see, the Adams tooth study is not
9 really supportable. The hair studies do not support
10 any difference in hair levels between autistics and
11 controls. The Bradstreet/Geier chelation study was a
12 highly defective study, which a better study could not
13 replicate.

14 There is no support for the statement that
15 in terms of a published study in the scientific
16 literature shows that the most beneficial treatment
17 for autism is chelation, and the Hornig study could
18 not be replicated, so at least out of the five pillars
19 that I've looked at that constitute the basis for Dr.
20 Aposhian's theory or his hypothesis, those five
21 pillars cannot be supported.

22 Q I'd like to just change gears for a minute
23 and turn your attention to a different topic. You've
24 heard testimony throughout this trial about
25 thimerosal-containing vaccines causing oxidated

BRENT - DIRECT

1847

1 stress, which leads to autism, is that correct?

2 A I have. I have.

3 Q And we'll look at Slide 40.

4 A Yes. The mercury from thimerosal-containing
5 vaccines induce the oxidative stress hypothesis.

6 Q Is there any support for this hypothesis?

7 A Absolutely not. I can sum it up on Slide
8 41, and I'll tell you there has never been a study
9 showing that the amount of mercury in a thimerosal
10 containing vaccine whether individually or
11 collectively can cause, can exacerbate or can
12 contribute to oxidative stress or oxidative damage.
13 In fact, such an assertion is impossible because if
14 you'll remember we get much more inorganic mercury
15 load from diet, from methyl mercury.

16 There's only a very small amount that comes
17 from the vaccine, so were this assertion true, then
18 breastfeeding, eating some chicken, eating some fish
19 would cause much more oxidative damage than you would
20 get from a thimerosal-containing vaccine, and clearly
21 the simple act of breastfeeding or eating chicken or
22 fish does not induce significant oxidative damage.

23 Q Doctor, you've also hear allegations about
24 ethyl mercury from thimerosal-containing vaccines
25 inducing neuroinflammation. In your expert opinion,

BRENT - DIRECT

1848

1 is there any support for that hypothesis?

2 A Well, I have never seen a study showing that
3 the amount of mercury in a thimerosal-containing
4 vaccine whether we're talking about individually or
5 collectively can modulate the immune system in any
6 way, and it's the same thing. Were this true, then we
7 would have the same situation. We'd get
8 neuroinflammation or a modulated immune system from
9 breastfeeding, from eating chicken, from eating fish
10 whether you get much more of a load of the mercury and
11 the inorganic mercury.

12 This is an extremely confusing question for
13 me because if you'll recall, Ms. Renzi, I was
14 questioned quite a bit on cross-examination in Cedillo
15 about the allegation that the mercury from thimerosal-
16 containing vaccines act as an immunosuppressant, and
17 now I'm hearing testimony that the allegation is that
18 mercury from thimerosal-containing vaccines is an
19 immune stimulator, is proinflammatory. And it's
20 neither.

21 Q And there's also been some testimony about
22 mercury porphyrins. Can you tell us a little bit
23 about mercury and its relationship to porphyrins?

24 A Yes. If you go to Slide 43, this sums up
25 the porphyrin data. There have been two studies,

BRENT - DIRECT

1849

1 which I know have been referred to in these
2 proceedings, and that is the studies of Woods and the
3 studies of Nataf, and these studies assess porphyrin
4 profiles. Now, porphyrins are part of a biochemical
5 pathway that all of our cells do. Many of the
6 important molecules that are used in our cells are
7 derived from porphyrins, and so our cells make
8 porphyrins.

9 The studies of Woods and Nataf look at the
10 porphyrin profiles in terms of what porphyrins are
11 excreted in the urine. That reflects renal porphyrin
12 synthesis, so what they're studying is actually
13 porphyrin synthesis in the kidney. There is no
14 evidence whatsoever that renal porphyrin profiles
15 reflect mercury neurotoxicity, and in fact renal
16 porphyrin profiles are not an accepted or validated
17 test for mercury toxicity.

18 The only people I know that do them are
19 alternative medicine practitioners, DAN doctors,
20 people of that ilk.

21 Q Petitioners in this case have had urine
22 mercury determinations done, and there's been
23 testimony from Dr. Mumper about what they show. Can
24 you tell us as a medical toxicologist how to properly
25 interpret mercury urine concentrations?

BRENT - DIRECT

1850

1 A Absolutely. On Slide 44, I have a little
2 primer here on how to appropriately assess urine
3 mercury concentration. The only accepted, the only
4 validated test for assessing mercury exposure except
5 for the immediate short period of time after the
6 exposure when you might look at blood levels is a
7 urine mercury, and the only accepted type of urine
8 mercury type, the only type that's been validated, the
9 only type that's interpretable is a non-chelated
10 specimen.

11 There are plenty of reference ranges for
12 what is normal in the population for a nonchelated
13 urinary mercury excretion level. There are on the
14 other hand no validated reference ranges for chelated
15 mercury levels, so they are essentially
16 uninterpretable. We know that since we all have
17 mercury burdens in our body if any of us would have
18 taken mercury chelator our mercury urinary excretion
19 would go up.

20 That's an absolutely expected result, a
21 well-documented result, but it's very variable from
22 person to person, so there are no accepted reference
23 ranges. It's an uninterpretable result.

24 Q And what are some of the studies that
25 demonstrate the chelators will increase urinary

BRENT - DIRECT

1851

1 mercury excretion in normal people?

2 A Yes. This has been demonstrated over and
3 over again. I must admit that I didn't even think
4 that this would even be an issue in these proceedings,
5 so I had to hastily sort of put together this slide,
6 Slide No. 45, but this is an example of studies that I
7 happened to think of that show that if you take
8 nonmercury poison, nonautistic individuals, you will
9 enhance mercury excretion.

10 I think there might be one typo on this
11 slide, and I'm sorry. I just realized it this
12 morning. It's the Grandjean study. I think it might
13 be 1997. I apologize for that. That's the fifth
14 bullet on Slide 45.

15 Q And I'd like to put up some of the lab
16 results from William Mead in this case. Specifically,
17 it's Petitioners' Exhibit 15 at page 118, and without
18 going into the specifics of this lab result, could you
19 please just read what the bottom of this lab report
20 says and tell us the significance of that?

21 A Right. This is a lab result from Doctor's
22 Data, Incorporated where they reported urine metal
23 levels, and as you can see in bold on the bottom it
24 makes this very point. It says, "Reference ranges are
25 representative of a healthy population under

BRENT - DIRECT

1852

1 nonchallenge or nonprovoked conditions, so that's the
2 reference range, and so when you are assessing a urine
3 mercury level, as they point out here, you look at it
4 under nonprovoked conditions.

5 Q And what pattern of urinary mercury
6 excretion do you typically expect to find in normal
7 individuals?

8 A If you go to the next slide, Ms. Renzi, and
9 this is Slide No. 46 I believe and just look at the
10 top, you can see that what would normally be expected
11 is that if you're an older person, and you don't have
12 an excess mercury burden, and we assess your urine
13 mercury excretion, it's going to be in the normal
14 range.

15 However, if we have given you a chelating
16 agent, obviously it's going to increase, and so
17 normally you would expect that if you provoke urine
18 excretion with a chelator, you will find excretion
19 above the reference range, which is for nonprovoked
20 urine.

21 Q And you've also looked at the urine mercury
22 test for Petitioners Jordan Kind and William Mead, and
23 what did they show?

24 A Well, they showed pretty much exactly what
25 you'd expect for the normal population, that their

BRENT - DIRECT

1853

1 unprovoked specimens are normal. Yet, when they give
2 chelators, most of them are increased. Now, this is
3 of great concern to me because as I look through the
4 medical records, and I hear Dr. Mumper's testimony
5 it's data like this that has been used as an excuse to
6 subject these children to chelation therapy where in
7 fact the data supports that their urine mercury status
8 is totally normal.

9 Q We also heard Dr. Mumper refer to red blood
10 cell element testing in support of her causal
11 hypothesis, and I want to show you William Mead's
12 test, and that's Mead Exhibit 5 at page 5, which is a
13 red blood cell element lab report from Doctor's Data.
14 Is this report an accepted and appropriate test in
15 determining toxicity?

16 A No. Red cell metal levels are kind of lab
17 results you can get from Doctor's Data. It is not a
18 type of lab that we would routinely use in medicine to
19 make these determinations. There's no validation of
20 how to interpret the results.

21 Q And then I'd like to turn your attention to
22 King Exhibit 1 at page 36, and this is another
23 Doctor's Data lab test specifically of fecal metals
24 test, and I'll ask you the same question. Is this an
25 appropriate measure for determining toxicity?

BRENT - DIRECT

1854

1 A No. It's the same thing. This is not a
2 test you would do in routine medical practice. It is
3 once again the type of test you can go to Doctor's
4 Data on the internet and get, but it is not one that
5 has any validated results that one can really use in a
6 meaningful fashion.

7 Q And also Dr. Mumper testified that hair
8 tests in older children were not indicative of mercury
9 toxicity, I believe that you did state that babies'
10 first haircut tests were useful measurements in
11 determining mercury excretion. I'd like to now take a
12 look at some of the hair tests performed on William
13 Mead and Jordan King, and the first one we'll look at
14 is William Mead, Exhibit 5, page 44. What does that
15 lab test show you?

16 A Well, this is a hair level test from
17 Doctor's Data. I will tell you that I probably get
18 two patients a month referred to me by their primary
19 care physicians because the person went and got a hair
20 test to Doctor's Data. They almost always come back
21 with very high levels of all kinds of things on it,
22 and nobody ever knows how to interpret it, and I
23 interpret it.

24 I end up having to see these patients and am
25 ultimately able to demonstrate that none of this has

BRENT - DIRECT

1855

1 any validity when we do the appropriate testing, but
2 this is an example. Here you see this test of William
3 Mead. If you take this test at face value, what does
4 it tell us? It tells us that William Mead has
5 elevated levels of aluminum, antimony, arsenic,
6 bismuth, titanium and molybdenum in his hair.

7 There is no reasonable reason why anybody
8 would have these kinds of hair levels, these kinds of
9 elevated hair levels. If you look at the next lab
10 result --

11 Q And that's from King Exhibit 1, page 46.
12 Can you describe this test, please?

13 A Yes. This is Jordan King's hair testing
14 result from Doctor's Data when he was two years old
15 showing elevated levels of antimony, arsenic, bismuth,
16 cadmium, lead, mercury, silver, tin, titanium,
17 chromium. molybdenum, boron, lithium and rubidium.

18 Q And finally, I'd like to take a look at the
19 hair test from Jordan King Exhibit 7, page 36. Could
20 you explain those lab results?

21 A Same profile. Here you see his hair test
22 shows he's above the reference range for aluminum,
23 antimony, arsenic, bismuth, cadmium, mercury, silver,
24 tin, uranium, molybdenum and boron.

25 Q Dr. Mumper also testified that the low

BRENT - DIRECT

1856

1 bicarbonate levels in Jordan King's test results
2 showed metabolic acidosis and oxidative stress, and
3 one example she referred to is the King Exhibit 1 at
4 page 58, and we'll pull that up.

5 A Yes. She testified about several of these
6 lab results of the Petitioners.

7 Q Could you just comment on the appropriate
8 interpretation of this test?

9 A Yes. I would have to say that as I listened
10 to that testimony I was very concerned when I heard
11 that testimony. This test that you see here
12 highlighted is a test for serum bicarbonate, also
13 sometimes referred to as serum CO₂, carbon dioxide,
14 and as you can see, when this blood was drawn the
15 carbon dioxide or the bicarbonate level was slightly
16 low, which Dr. Mumper did testify was indicative of
17 acidosis and metabolic stress.

18 Now, one way that you can drop the carbon
19 dioxide levels in your blood very, very quickly is you
20 just breath a little faster. The more you breath the
21 faster you breath you breath off carbon dioxide. One
22 hundred percent of the time, if not 100 percent, 99
23 percent of the time when you draw blood on a child,
24 they start breathing fast as any parent knows, and
25 sometimes much faster if they're crying, and so CO₂

BRENT - DIRECT

1857

1 levels always drop.

2 It is an anomaly to do a blood test on a
3 child who is awake when you draw the blood and
4 actually find a normal CO₂ or bicarbonate.
5 Interpreting this 100 percent normal and expected
6 results as a metabolic acidosis indicative of
7 oxidative stress is something that is a
8 misinterpretation that no reasonable pediatrician or
9 physician would ever make.

10 Q Now, Dr. Brent, the opinions that you've
11 expressed in your testimony today are they widely
12 accepted in the medical community and by well-
13 respected agencies?

14 A I believe they are, yes. Here you have on
15 Slide 47 a list that I've put together of governmental
16 and well-regarded nongovernmental agencies that have
17 looked at this issue and have concluded that there is
18 no demonstrable relationship between ASD and
19 thimerosal administration.

20 It includes the National Academy of
21 Sciences, Institute of Medicine, American College of
22 Medical Toxicology, American Academy of Pediatrics,
23 World Health Organizations, U.S. Centers for Disease
24 Control and Prevention, European Medicines Agency,
25 which essentially functions as the FDA for the EU and

BRENT - CROSS

1858

1 the American Academy of Family Practice.

2 Q Doctor, has any governmental agency or well-
3 regarded nongovernmental agency ever taken a position
4 to the contrary?

5 A None.

6 MS. RENZI: Thank you. I have no further
7 questions.

8 SPECIAL MASTER VOWELL: We've been at it for
9 about two hours now. Would you like to take our mid-
10 morning break before you begin your cross-examination?

11 MR. WILLIAMS: Yes, please.

12 SPECIAL MASTER VOWELL: All right, Mr.
13 Williams. We'll reconvene then at 11:35. I'm sorry.
14 You're right. Thank you. I'm not adding well today.
15 11:20. Would that work for you? I just started to
16 ask if the 35 was going to confuse you, and it
17 obviously will. 11:20?

18 MR. WILLIAMS: Sure.

19 SPECIAL MASTER VOWELL: Thank you.

20 (Whereupon, a short recess was taken.)

21 SPECIAL MASTER VOWELL: All right. We're
22 back on the record. Dr. Brent is back on the witness
23 chair, and I remind you that you're still under oath,
24 Dr. Brent. Mr. Williams?

25 THE WITNESS: Thank you, Special Master

BRENT - CROSS

1859

1 Vowell.

2 MR. WILLIAMS: Thank you, Special Master.

3 CROSS-EXAMINATION

4 BY MR. WILLIAMS:

5 Q Good day, Dr. Brent.

6 A Good day, Mr. Williams.

7 Q I would like to start by just going straight
8 into that infant monkey study by Dr. Burbacher and Dr.
9 Clarkson that you talked about on direct in which you
10 talked about in your report. Let me just put it up on
11 the screen and go through it here. First, you do
12 agree that Dr. Clarkson was one of the investigators
13 and co-authors of this paper, right?

14 A Yes.

15 Q And this was a study that was funded by NIH,
16 right?

17 A Correct.

18 Q Are you aware of any other primate
19 experiment that has tried to look at the effect of
20 thimerosal-containing vaccines on the brain other than
21 this one?

22 A There might have been. This actually didn't
23 look at effects on the brain. It looked at kinetics
24 and the deposition of mercury in the brain.

25 Q Well, the study had looked at effects on the

BRENT - CROSS

1860

1 brain, they just haven't published those results yet,
2 right?

3 A I don't know what they haven't published.

4 Q You haven't talked to Dr. Clarkson about it?

5 A No.

6 Q Okay. You are aware that this study only
7 looked at half of each infant monkey's brain and that
8 the other half was reserved for pathological analysis
9 to see whether there was immune activation?

10 A I have no idea what further analysis they
11 have done. I've not seen anything on it. There is
12 nothing published. I only know what they published.

13 Q So are you aware of any better study, any
14 other study we have of an experimental nature that
15 looked at the pharmacokinetics of thimerosal-
16 containing vaccines in the brain?

17 A A better study? No.

18 Q Doesn't the vaccine manufacturers around the
19 world, they use the same special of monkey for
20 preclinical trials of vaccines, don't they?

21 A They may.

22 Q You don't know?

23 A I haven't compared the species.

24 Q Well, they use the same genus, is that
25 right?

BRENT - CROSS

1861

1 A Well, they use primates. They use monkeys.

2 Q Okay. They use the Macaca genus of monkeys,
3 don't they? There are several species of those
4 monkeys.

5 A That's correct.

6 Q Okay. So it's widely accepted that this
7 species of monkey is a good model for humans given
8 that we can't do experiments on children themselves?

9 A I don't know if I would say that. I would
10 say that it is the best model we have as far as we
11 know.

12 Q Okay. Now I want to talk to you a little
13 bit about the relevance of the FDA reference standard
14 for methyl mercury as it applies to the thimerosal
15 situation. This study discusses that. This paper
16 this discusses it. If we could go to the lower left-
17 hand column where it starts, "Recent reports have
18 indicated...?"

19 SPECIAL MASTER VOWELL: The lower left-hand
20 column of what page.

21 MR. WILLIAMS: Of the first page.

22 SPECIAL MASTER VOWELL: Okay.

23 BY MR. WILLIAMS:

24 Q Again, this is Petitioners' Master Reference
25 Exhibit No. 26, and I'm on page 1, and you see where

BRENT - CROSS

1862

1 it says, "Recent reports have indicated that some
2 infants can receive ethyl mercury in the form of
3 thimerosal at or above the US EPA guidelines for
4 methyl mercury" depending on the size and so forth,
5 and then the paper in the next column goes on to talk
6 about the quantity of ethyl mercury that infants
7 receive, if you could highlight to the top of the
8 second column Scott.

9 Do you see where it says, "Other estimates
10 have indicated that the schedule could provide
11 repeated doses of ethyl mercury from approximately
12 five to 20 micrograms per kilogram for the first six
13 months of life?"

14 A Yes.

15 Q Now, you testified on direct that you
16 thought 20 micrograms per kilogram was far above the
17 possible human infant exposure. Are you disagreeing
18 with Dr. Clarkson here?

19 A No. I think you're misinterpreting the
20 statement, and I think it's fairly well accepted that
21 a fully immunized infant is going to get 187.5
22 micrograms of mercury over about the first six months.
23 You can divide that by say the typical body weight of
24 a six-month old, which is about eight kilograms.

25 You will probably get about 24 micrograms

BRENT - CROSS

1863

1 per kilogram of mercury, which is about a third of
2 what was given in the Burbacher experiment as per the
3 testimony, which you can see very clearly if you
4 simply go back to Table 1 and add up the numbers.
5 It's very clear that that's the case.

6 That was the testimony that was given as a
7 matter of fact before the IOM that that indeed was the
8 case because if they gave the amount of mercury that
9 was actually in the vaccines, they ran the risk of
10 having undetectable amounts.

11 Q You're talking about Polly Sager's
12 statements at the IOM? I don't think she was
13 testifying.

14 A Well, she presented the Burbacher data to
15 the IOM.

16 Q Right. I'll get into that in a minute
17 because I've got a copy of your slides, but for
18 purposes of the general causation question here that
19 the Special Masters have to consider putting aside the
20 Mead and King cases, don't you agree that on the
21 Grande bell-shaped curve of human infants you're going
22 to have a lot of them that are small, some are pre-
23 term, and they're going to get this much equivalent of
24 ethyl mercury as the top level here in the monkeys?

25 A Eighty micrograms per kilogram over six

BRENT - CROSS

1864

1 months?

2 Q Twenty micrograms per kilogram of each dose?

3 A Yes. Eighty micrograms per kilogram over
4 six months. Eighty micrograms per kilogram over six
5 months, no. I have not seen any data. It would have
6 to be an extremely, extremely small infant, even at
7 six months.

8 Q If you go to the very bottom of that column
9 where it says Magos (2003), you'll see that the
10 authors are reviewing what the sort of consensus was
11 before this study was conducted where it said that
12 Magos concluded that because ethyl mercury clears from
13 the body faster than methyl mercury and that the brain
14 to blood mercury concentration ratio established for
15 methyl mercury will overestimate ethyl mercury in the
16 brain after exposure to ethyl mercury, and also
17 because ethyl mercury decomposes faster than methyl
18 mercury, for all those reasons Magos had concluded
19 that the FDA reference dose probably overestimated the
20 risk of ethyl mercury, is that right?

21 A Correct.

22 Q And that's always been your position, right?

23 A Correct.

24 Q It was in your report?

25 A Correct.

BRENT - CROSS

1865

1 Q I think it's very into your testimony the
2 Cedillo when Special Master Vowell tried to pin you
3 down on that point that whether you were saying that
4 the reference dose for methyl mercury was an
5 overestimate or an underestimate of the risk of ethyl,
6 and you said it's definitely an overestimate, right?

7 A I don't recall that exchange, but I'll
8 accept that it occurred.

9 Q Okay. So the initiated this study to assess
10 whether an experiment which showed those things to be
11 true in these primate infants?

12 A Well, I mean, I can't speak to what was in
13 their mind when they decided to do this study. I can
14 tell you what the study is, and the study is a
15 pharmacokinetic analysis after administration of
16 methyl mercury versus ethyl mercury.

17 Q And then in the right-hand column on page 1,
18 where it says the dosages and schedule of
19 administration of mercury, and we'll highlight that
20 for a minute, the study says that the doses in the
21 schedule of administration of mercury were chosen to
22 be comparable with the current immunization schedule
23 for human newborns. Are you saying that you disagree
24 with the authors of this study that that's what they
25 were trying to model?

BRENT - CROSS

1866

1 A As I mentioned before, as you see there, if
2 you continue that highlighting taking into
3 consideration the faster growth of the Macaque infant,
4 that's why they gave the immunizations at birth,
5 seven, 14 and 21 days, at weekly intervals, as opposed
6 to two-monthly intervals. That's what they took into
7 consideration. Look, the immunization doses schedule
8 for humans is well known. It's noncontrovertible, and
9 it is not the same doses schedule that was used in
10 this paper. That's the only point I was making.

11 Q Well, let's see if the authors agree with
12 you about that point. Let's turn to page 2 in the
13 first-hand column under the Materials and Methods
14 section, and if you go down to the end of the second
15 paragraph where it says the dose, this is Dr. Clarkson
16 talking. He says, "The dose of 20 milligrams per
17 kilogram was chosen based on the range of estimated
18 doses received by human infants receiving vaccines
19 during the first six months of life."

20 You just disagreed with the authors of this
21 paper on that point I take it?

22 A All I can do is reiterate the points I've
23 already made. The doses in infants in the first six
24 months of life are well known, and they're well
25 accepted. I don't think there's been any testimony

BRENT - CROSS

1867

1 given that an infant in the first six months of life
2 gets 80 micrograms per kilogram in a vaccine. This
3 was chosen to mimic the immunization schedule of an
4 infant in the sense that the doses were given at time
5 intervals corresponding to a relatively quicker
6 evolution of brain developmental stages.

7 It was given in vaccines to which they added
8 thimerosal, but it was given with enhanced amounts of
9 thimerosal so that they would actually have a
10 detectable level of mercury in the brain.

11 Q You've been consulting with the
12 manufacturers on this issue for how many years now?

13 A I have consulted with them in the past. I'm
14 not really doing much active consulting with them now.
15 I gave a deposition as I mentioned in 2004.

16 Q Have the manufacturers ever tried to mimic
17 the vaccination schedule for thimerosal containing
18 vaccines in a primate model to try to do a better job
19 than these NIH-funded investigators did?

20 A Well, I don't think doing that experiment
21 would be a better job. I think the premise of your
22 question is actually incorrect. I mean, the numbers
23 speak for themselves. The numbers speak for
24 themselves, and we can bring them up and look at them
25 again. Were they to give the amount that is in a

BRENT - CROSS

1868

1 vaccine, they would run the risk...

2 A monkey experiment is a very expensive
3 experiment to do, and you have to sacrifice monkeys,
4 and they would run the risk of doing this whole
5 experiment and then finding they couldn't detect the
6 mercury in the brain from the amounts present in the
7 vaccine. I'm not sure that would be a better
8 experiment.

9 Q When Polly Sager made this statement, that
10 was February of 2004, right?

11 A I don't remember the date.

12 Q Well, let's look quickly at her slide, which
13 is Defense Reference Master List No. 436. You see the
14 date down there in the bottom right-hand corner?

15 A Yes, I do. It looks like February 9, 2004.

16 Q Okay. And that's the same meeting that you
17 were quoting from her testimony as you said, right?

18 A I believe so.

19 Q Okay. Now, Polly Sager, she's not an author
20 on this paper, right?

21 A No.

22 Q And she's a strong advocate of the
23 vaccination program around the world, isn't she?

24 A I have no idea.

25 Q Do you know whether she opposed the removal

BRENT - CROSS

1869

1 of thimerosal from vaccines?

2 A I have no idea.

3 Q Do you know why she was presenting some of
4 this data to the IOM committee instead of any of the
5 authors of the study?

6 A She said the authors weren't there, and she
7 was presenting the results on their behalf.

8 Q Now, when she presented her data in February
9 of '04, the authors had not yet done the speciation
10 studies, right?

11 A I don't know if they did or not.

12 Q Well, have you reviewed her slides to see if
13 she presented the speciation data?

14 A I don't recall that she presented the
15 speciation data. Whether they had done it by then and
16 not passed it on to her or not, I have no idea.

17 Q And if the reason for choosing the 20
18 microgram per kilogram dose was as you say when the
19 authors published this paper almost a year later,
20 don't you think that they would have put that in the
21 paper?

22 A They put the doses that they gave in the
23 paper.

24 Q No the reason for the doses being a
25 detection problem as opposed to an attempt to mimic

BRENT - CROSS

1870

1 the program?

2 A Well, you can only put so much in a paper.
3 You're limited in test size that a journal will let
4 you publish. You can't put every single detail of
5 your experiment. Whether that's an essential detail
6 is something that needed to be put in is debatable. I
7 don't think they ever were able to foresee somebody
8 coming along and trying to make the allegation that
9 somebody gets 80 micrograms per kilogram in the first
10 six months of life from a vaccine.

11 Q So you don't believe that these authors,
12 even though you think it's the most important point
13 about the paper that they chose this dose for
14 technical reasons, not to mimic the program, you don't
15 think they would have put that in the paper?

16 A It's an important point in the paper in
17 terms of this discourse that we are having regarding
18 the testimony that came up here. Whether that is the
19 most important point of the paper in the real world,
20 in terms of the global issue of what the paper showed
21 I think is debatable.

22 Q Now, let's go look at some of the
23 measurement results. If we turn now to page 4 of the
24 paper, in the middle column of that at the very bottom
25 of the this part here that I'm showing. We'll

BRENT - CROSS

1871

1 highlight that for a minute. Doesn't this show that
2 the washout rate for methyl mercury in the infant
3 monkeys was longer than the washout rate for the adult
4 monkey study that this group had done previously?

5 A Is that the sentence that begins with
6 "T_{1/2}?"

7 Q Yes.

8 A "As long as in the previously reported T_{1/2} of
9 the brain..." That's what it says, yes.

10 Q And it's referring to the Vahter papers
11 here. Those are the adult monkey studies that this
12 group had done before, correct?

13 A That's correct.

14 Q So that the infant monkeys' brains took a
15 longer time to get rid of the mercury than the adult
16 monkey brains had done?

17 A Well, there's two potential interpretations
18 of that. That is one. The other interpretation is
19 that in the Vahter study the dosing schedule and the
20 administration of methyl mercury was radically
21 different than in this study, and that could have
22 affected its kinetics as well because if you remember
23 in the Vahter study, these animals were being dosed
24 with very, very high doses of methyl mercury on a
25 daily basis, so that is a very different scenario and

BRENT - CROSS

1872

1 could create a very different kinetics in the brain.

2 Q Bolus doses are different than continuous
3 doses?

4 A No, but the Burbacher study was a low-dose
5 study given intermittently, and the Vahter study was a
6 high-dose study given continuously.

7 Q Well, we'll get into the Vahter study in
8 just a few minutes.

9 A Sure.

10 Q Let's look at the measurements of the
11 inorganic mercury in the infant monkeys exposed to
12 methyl mercury. This is in the third column of the
13 same page at the end of that column. Blow that up
14 Scott. We can blow that up. It says that the
15 concentration of inorganic mercury, and we're talking
16 here about Hg++, right?

17 A That's correct.

18 Q The concentration of the inorganic mercury
19 in the brain samples was below the quantifiable limit
20 of the assay, which was seven nanograms per
21 milliliter. That's seven parts per billion, right?

22 A Yes.

23 Q In eight of the 17 methyl mercury-exposed
24 monkeys, and the average concentration of inorganic
25 mercury for those monkeys with values above the

BRENT - CROSS

1873

1 detection limit, which was only 10 of the monkeys, did
2 not change significantly over 28 days of washout. It
3 was approximately seven to eight nanograms per
4 milliliter, right?

5 A Correct.

6 Q But if you were trying to estimate what the
7 average concentration was, wouldn't it be appropriate
8 to consider those monkeys where there was a below the
9 detection limit and assume that this average of seven
10 to eight is actually probably lower than that?

11 A Well, yes. I mean, you could reach that
12 conclusion. This is a perfect illustration of the
13 fact that had they actually gone to lower
14 concentrations of mercury in their administration and
15 used, for example, what is used in the vaccine
16 schedule, how you can so easily fall under the limit
17 of detection, but yes, you can conclude that maybe it
18 was five. Maybe it was four of inorganic mercury.

19 Q Right. Five or four. Then let's look at eh
20 measurements of inorganic mercury in the brains of the
21 infant monkeys who were exposed to thimerosal. That's
22 on the next page, which is page 5 of this paper in the
23 right-hand column. Now, it says that the inorganic
24 form of mercury in these monkeys was readily
25 measurable as opposed to the problem they had with

BRENT - CROSS

1874

1 detecting it in some of the brains of the methyl
2 mercury monkeys, correct?

3 A The inorganic mercury.

4 Q Right.

5 A You have to remember that the reason for
6 that is that methyl much more slowly becomes
7 demethylated to inorganic mercury, and so as we saw at
8 the end of the experiment when there was this very
9 high concentration of methyl mercury still left in the
10 brain that indicated that there had not yet been
11 complete transformation of methyl mercury to inorganic
12 mercury unlike the situation with ethyl mercury where
13 you get a relatively fast transformation, and that's
14 what their figure shows.

15 Q Well, if we jump down to the figure that you
16 showed, Figure 7 just below this, which is the graph
17 of the rate of drop in the organic ethyl mercury, even
18 if the last test day, which was I think 28 days after
19 the last vaccination, so 49 days into the experiment
20 there was still measurable amounts of ethyl mercury in
21 the brains of these infant monkeys, too.

22 A Yes. Remember, as we look at Figure 7 of
23 the Burbacher paper, they got their last immunization
24 immediately before the first point on the right, and
25 as you can see, those levels are dropping quite

BRENT - CROSS

1875

1 radically or quite steadily I should say. There is
2 still some left, but nothing at all comparable to what
3 is left following methyl mercury that can still get
4 deethylated or demethylated, which was the point I was
5 trying to make.

6 Q Now, if we go to the next page, page 6 of
7 this study, in the first full paragraph that starts
8 "Although the initial distribution volume..." what
9 they found was that the model for methyl mercury
10 elimination didn't really fit the ethyl mercury
11 elimination data, correct?

12 A Well, there you're referring to elimination
13 from the blood, and yes, the model for methyl mercury,
14 elimination from the blood, is different. It's a so-
15 called one-compartment model where the elimination of
16 ethyl mercury from the blood is a so-called two-
17 compartment model.

18 Q If we highlight where it says the second
19 slower phase of washout and highlight that down to the
20 bottom of that paragraph, you see it says the second
21 slower phase of washout could also represent the
22 gradual biotransformation of ethyl mercury.

23 The presumed principal organic form of
24 mercury after a thimerosal administration to mercury-
25 containing metabolites that have a different tissue

BRENT - CROSS

1876

1 distribution or are more slowly eliminated, so they
2 were suggesting that one explanation of this bi-phasic
3 result in ethyl mercury was because it was distributed
4 into the tissues differently than methyl mercury and
5 was being more slowly eliminated from those tissues.

6 A Remember, the point that I think we both
7 just agreed on was that the elimination kinetics of
8 methyl mercury are different from ethyl mercury, and
9 ethyl mercury follows a two-compartment model, which
10 means that essentially it's eliminated in two phases,
11 and so you get two separate half lives, shorter half
12 lives and a longer half life in the blood, a natural
13 membrane, and so always when you do these kinds of
14 pharmacokinetic analyses, you always try to assess the
15 implications of your data, and so yes.

16 It shows a two-compartment model, and so it
17 is reasonable to speculate that the ethyl mercury as
18 it leaves the blood may be going to other tissues.
19 Remember now we're talking about the blood and not the
20 brain, and in fact we know from a lot of other data
21 that ethyl mercury preferentially will accumulate in
22 the kidneys compared to methyl mercury, so it's a
23 perfectly reasonable statement.

24 Q And then the last statement here says,
25 "Further investigations of the disposition fate of

BRENT - CROSS

1877

1 thimerosal derived mercury should address these
2 issues." Do you know whether the manufacturers have
3 done any studies to further address these issues?

4 A I have no idea what the manufacturers have
5 or have not done. I'm not sure what question they
6 would be answering.

7 Q Do you know whether the government has
8 funded any studies to do further investigations on the
9 fate of ethyl mercury in infants?

10 A In the peripheral tissues? No. I know
11 there's a great deal of data out there once again that
12 ethyl mercury tends to concentrate in the kidneys, but
13 beyond that, I'm not sure that is a question that is
14 very high in anybody's mind.

15 Q Well, Dr. Clarkson and Dr. Burbacher thought
16 it should be investigated when they published this
17 paper, correct?

18 A They put that statement in there? I don't
19 know.

20 Q You think they put it in there, and they
21 didn't mean it?

22 A Maybe Dr. Burbacher was applying for a grant
23 to do that? I have no idea why they wrote that.

24 Q Let's go to the second column and the last
25 couple sentences of the first paragraph where it's

BRENT - CROSS

1878

1 talking about the brain-to-blood partitioning. It
2 explains first that mercury exposure between
3 thimerosal and methyl mercury is largely driven by
4 their differences in systemic disposition kinetics,
5 the blood level. That was the point you were just
6 making, right?

7 A The mercury exposure?

8 Q The tissue distribution depends on blood
9 level, that's the peripheral distribution?

10 A Yes.

11 Q Yes, and then they go on to talk about the
12 brain-to-blood partitioning, and they say that the
13 average brain-to-blood partitioning ratio of total
14 mercury in the thimerosal group was slightly higher
15 than that in the methyl mercury group, 3.5 versus 2.5,
16 right?

17 A Right.

18 Q And thus the brain-to-blood mercury
19 concentration ratio established for methyl mercury
20 will underestimate the amount of mercury in the brain
21 after exposure to thimerosal, correct?

22 A That is correct. They're not
23 interchangeable figures.

24 Q And therefore the FDA reference standards
25 would lead to an underestimation of the risk of

BRENT - CROSS

1879

1 neurotoxicity from ethyl mercury because of this
2 difference of brain-to-blood ratio, wouldn't it?

3 A No, because, they were talking about...
4 when they used this 3.5 figure, they were talking
5 about one particular point in time. If we go back to
6 the amount of mercury that remains in the brain
7 following the administration of an equivalent dose of
8 methyl mercury or thimerosal, and that's in those two
9 figures you've showed, and I don't know if you want to
10 bring them back up. We can look at them again.

11 Q I'll get to that in a minute.

12 A It clearly shows that there is much more
13 after an equivalent dose methyl mercury in the brain
14 than ethyl mercury.

15 Q The very next paragraph in this same column
16 if we pull it up, it says, "The large differences in
17 the blood mercury half life compared with the brain
18 half life for the thimerosal-exposed monkeys indicates
19 that blood mercury may not be a good indicator of the
20 risk of adverse affects on the brain, particularly
21 under conditions of rapidly changing blood levels such
22 as those observed after vaccinations. That's the
23 bolus dose effect, right? You're not getting a steady
24 dose of thimerosal. You get a large bolus dose, and
25 then you have these rapidly changing values.

BRENT - CROSS

1880

1 A No, you don't get a large bolus dose. There
2 is no way that you get a large bolus dose. You get an
3 intermittent dose. You get an intermittent low dose.
4 As I mentioned earlier, over the course of six months,
5 just through breastfeeding a child gets about 250
6 micrograms of mercury through methyl mercury. They do
7 periodically get some small increment of mercury from
8 a vaccine, but it's certainly not a large bolus dose
9 compared to the total amount that they're getting a
10 baseline basis from just the breastfeeding alone.

11 Q The breastfeeding source of mercury, that's
12 all methyl mercury, right?

13 A Absolutely.

14 Q Okay.

15 A Most of it.

16 Q Let's go on to the very next sentence here
17 where it says, "The blood concentrations of the
18 thimerosal exposed monkeys in the present study are
19 within the range of those reported for human infants
20 after vaccination," and they cite the Stajich study,
21 which I think was the only one that was available at
22 the time, so if this 20 microgram per kilogram dose
23 was not mimicking as the author says it was the study,
24 wouldn't you have expected to see the blood range out
25 of the range of human infants?

BRENT - CROSS

1881

1 Why would it be comparable if this is not a
2 good model?

3 A You miss the point. They were looking at
4 brain levels. If you remember the Harry slide, brain
5 levels are way less than one percent of the total
6 administered mercury, so yes, you might find it in the
7 blood, but they were looking for, and an essential
8 part of the Burbacher experiment was to assess brain
9 kinetics, and no, they probably would not have seen it
10 in the brain based on those blood levels.

11 Q No. I don't think you answered my
12 questions. It says, "The blood concentrations of the
13 thimerosal-exposed monkeys and the present study are
14 within the range of those reported for human
15 infants..." Isn't that evidence that this is a good
16 model of what's happening in the human infants when
17 they get the same blood kinetics as in the human
18 infant study?

19 A Yes, they might have achieved with doses
20 similar blood levels, but you wouldn't have detected
21 them in the brain.

22 Q And then it goes right on to say, and we'll
23 come on down so we can see the rest of the next couple
24 of sentences here, "The data from the present study
25 support the prediction that although accumulation of

BRENT - CROSS

1882

1 mercury in the blood occurs over time with repeated
2 vaccinations, accumulation of mercury in the brain of
3 infants will occur.

4 "Thus, the conclusions regarding the safety
5 of thimerosal drawn from blood mercury clearance data
6 in human infants receiving vaccines may not be valid
7 given the significantly slower half life of mercury in
8 the brain as observed in these infant Macaques." Now
9 isn't that evidence that the FDA reference standard
10 was an underestimate of the neurotoxic risk of
11 thimerosal compared to methyl mercury?

12 A No. There's no way that that could be the
13 case because once again remember that for any
14 equivalent dose, you get far greater deposition of
15 mercury in the brain from methyl mercury than from
16 ethyl mercury. You can't look at blood levels. You
17 look at what's in the brain.

18 Q Sorry. I didn't mean to interrupt you.

19 A That's okay. You look at what's in the
20 brain, and the brain data clearly shows that you get
21 much more mercury in the brain from methyl mercury
22 than from ethyl mercury, so you can't use the methyl
23 mercury standard for ethyl mercury or thimerosal.

24 Q Let's be clear when we say mercury in the
25 brain what we're talking about. You're talking now

BRENT - CROSS

1883

1 total mercury in the brain.

2 A Absolutely.

3 Q And the concern here was inorganic mercury
4 in the brain.

5 A Well, what happens to total mercury in the
6 brain it becomes inorganic mercury.

7 Q And once it's inorganic mercury in the
8 brain, it doesn't matter to the brain anymore whether
9 it came from the methyl mercury or the ethyl mercury
10 or you happen to eat some mercury chloride, you're
11 still going to get some in your brain, right?

12 A Well, I'll agree with you it doesn't matter
13 whether methyl mercury or ethyl mercury. Mercuric
14 chloride doesn't get into the brain very well, so
15 that's kind of a bad example, but I agree. The brain
16 has no way of knowing if any given inorganic mercury
17 atom comes from methyl mercury or ethyl mercury.

18 Q Okay. And then the very next paragraph
19 starts, and we can highlight it, "There was a much
20 higher proportion..." Yes, that's it. "There was a
21 much higher proportion of inorganic mercury in than in
22 the brains of methyl mercury monkeys. Seventy-one
23 percent of the thimerosal mercury was inorganic,
24 whereas only 10 percent of the methyl mercury was
25 inorganic, correct?

BRENT - CROSS

1884

1 A Right, and that's because although the
2 inorganic levels are about the same, there was much,
3 much less ethyl mercury and much more methyl mercury
4 left behind, so yes, there was a smaller percentage of
5 the methyl mercury because there was so much more in
6 the brain as methyl mercury that was inorganic.

7 Q In fact, the conclusion of this paragraph
8 says, "This suggests that the dealkylation of ethyl
9 mercury is much more extensive than that of methyl
10 mercury." You do agree with that though?

11 A It's faster.

12 Q Right. And then it says that previous
13 reports have indicated that the dealkylation of
14 mercury is a detoxification process that helps to
15 protect the central nervous system, and they cite Dr.
16 Magos' 2003 and '85 papers. Now, do you still believe
17 that to be true, that is a detoxification?

18 A I believe there's data that the organic form
19 of mercury can cause toxicity, and in fact in the
20 organic form, methyl mercury caused more neurotoxicity
21 than ethyl. That's in the Magos paper. On the other
22 hand, there is also data, and you can see that in
23 Vahter that inorganic mercury caused a similar
24 toxicity, so I think both of these cause toxicity.

25 Q So you agree that Hg++ is neurotoxic in some

BRENT - CROSS

1885

1 doses?

2 A Yes.

3 Q And it's neurotoxic in those adult monkey
4 studies because it provokes neuroinflammation,
5 correct?

6 A Well, we'll get into that in a minute. It's
7 important to remember, and if you want to discuss the
8 Magos study, we can go to that now. It's up to you.
9 You're asking the questions, but again I should point
10 out however that when we talk about neurotoxicity,
11 we're talking about toxic manifestations, so inorganic
12 mercury does certainly have the capability of being
13 neurotoxic, but it requires a sufficient dose to be
14 neurotoxic.

15 That was my point about saying you can have
16 well over 100, 150, 200 parts per billion of mercury
17 in the brain without having neurotoxicity. Now, if
18 you would see some toxicity threshold, then yes, you
19 will develop neurotoxicity, but you have to exceed the
20 toxicity threshold.

21 Q So just to be clear, you argued about the
22 doses necessary to provoke it. You do agree that
23 inorganic mercury in the brain can provoke
24 neuroinflammation, which can be toxic if it's bad
25 enough?

BRENT - CROSS

1886

1 A You know, in terms of neuroinflammation, I
2 guess I would put it like this. If you look at the
3 Vahter study, for example, and I think that's --

4 Q And we will.

5 A I think that's what you were referring to
6 when you were talking about neuroinflammation.

7 Q We're going to go there in just a moment.

8 A Okay. If you look at the Vahter study, they
9 detected some cellular effects. We can argue about
10 whether they saw neuroinflammation or not. The other
11 issue is the significance of those effects. You have
12 to remember that the Vahter monkeys were behaviorally
13 normal, and so the chemical effects that were observed
14 at the Vahter study, the high doses, represented some
15 process. Whether that was a harmful neuroinflammation
16 or not I think is questionable, but I think the
17 fundamental message there is that even at these very
18 high doses in the Vahter study, the animals were
19 completely normal as far as anybody can tell.

20 Q They were all mature adults?

21 A Yes.

22 Q They were not developing brains in infants?

23 A They didn't study developing brains in
24 infants.

25 Q That's right. Don't you think that a

BRENT - CROSS

1887

1 developing infant brain is likely to be more
2 susceptible to neuroinflammation induced by inorganic
3 mercury than the adult brain?

4 A I'm not exactly sure why would you say that.

5 Q I'm asking you. I'm not saying anything --

6 A I don't know any data that allows me to
7 reach that conclusion.

8 Q So you think that based on what you know
9 that the amount of inorganic mercury in a developing
10 brain is validly assessed by what happens in an adult
11 brain?

12 A No.

13 Q No?

14 A What I'm saying is that I don't know of any
15 data that anybody could reasonably rely on to say that
16 an infant brain is going to be more vulnerable to
17 neuroinflammation than an adult brain.

18 Q Okay. Fair enough.

19 A It might be. I just don't know of any data
20 that supports that. Although I will point out I'm not
21 a neuroinflammation specialist. I can only talk about
22 mercury, and certainly I know no data in the mercury
23 literature that supports that.

24 Q But on your what, can, did analysis that you
25 had on your slide, if the what is inorganic mercury in

BRENT - CROSS

1888

1 the brain, we got that here, right?

2 A That's correct.

3 Q That's here. And if the disease at stake or
4 the adverse effect at stake is microglial activation
5 and astrocyte death, we have evidence from the adult
6 monkey studies that inorganic mercury causes that too,
7 right?

8 A Well, the adult studies show microglial
9 activation and astrocyte death, yes. To what degree
10 that represents neuroinflammation, you might want to
11 ask a neuroinflammation specialist.

12 Q Okay. Well, at least you are conceding here
13 I believe that inorganic mercury can cause microglial
14 activation and astrocyte death at some dose, right?

15 A Yes. In the Vahter study, they gave very
16 high doses of mercury. Animals once again were fine,
17 but when they looked at the brains, there was astrocyte
18 death, so it might have been a threshold level to
19 cause death of some of the astrocytes. Now, whenever
20 you have cell death, whenever you have cell death,
21 then the natural response in the brain is that the
22 phagocytes, the cells that are sort of the cleanup
23 crew cells, come along and clean up the cells debris.

24 That's what the glial cells do. That's
25 glial cell activation. It's the microglia. They come

BRENT - CROSS

1889

1 along near the phagocytes, and they come along, and
2 they clean up the debris from the necrotic astrocytes.
3 I don't know if that's what you're calling
4 neuroinflammation.

5 Q Well, we'll look at those adult monkey
6 studies in a minute. Let's see if we can finish going
7 through this study while we have it in front of us.
8 If we go to the third column of the same page, the
9 first full paragraph, you discuss these five adult
10 monkey studies there. I want to just go through this
11 point briefly.

12 A Yes.

13 Q In contrast, previous studies of adult
14 *Macaca fascicularis* monkeys exposed chronically to
15 methyl mercury have indicated that demethylation of
16 mercury occurs in the brain over a long period of time
17 after methyl mercury exposure and that this is not a
18 detoxification process and cites all five of those
19 adult monkey studies, right?

20 A That's correct.

21 Q Now, in your report, although you've
22 discussed Burbacher's infant monkey study here for
23 several pages, you don't discuss or even reference
24 these adult monkey studies.

25 A There's a very good reason for it.

BRENT - CROSS

1890

1 Q Why is that?

2 A These monkey studies did not study
3 thimerosal. They did not study ethyl mercury. If I
4 were to embark on a total discussion of the toxicology
5 of methyl mercury and all the papers dealing with
6 methyl mercury, which had as we saw very different
7 kinetics in the brain and tried to morph that into
8 something relevant to what you get from vaccine from
9 thimerosal, it's an undoable argument. I was talking
10 about thimerosal.

11 This refers to a totally different molecule
12 that is not in vaccines and is ill-described. It's
13 not in vaccines, and that is given in a way that has
14 nothing to do with the way we give it in vaccines, so
15 of course I'm not going to start talking about that in
16 my report. I don't think anybody wanted to hear about
17 that in my report.

18 Q The adult monkey studies were focused on the
19 adverse effects of the remaining inorganic mercury in
20 the brains of those monkeys, and this infant monkey
21 study's entire point I think we're going to get to is
22 that thimerosal dumps four to five times as much
23 inorganic mercury in the brain of infant monkeys as
24 the equivalent dose of methyl mercury, and yet you
25 thought that the inorganic mercury adult monkey

BRENT - CROSS

1891

1 studies were irrelevant?

2 A This study under these circumstances to me
3 provides no useful information about what happened
4 when you administer thimerosal at the doses it's
5 administered in vaccines. If you can show me the
6 relevance of the study, I'd be glad to listen to it,
7 but at this point frankly if I were rewriting my
8 report today, I still don't think I would include this
9 study.

10 SPECIAL MASTER HASTINGS: When you say "this
11 study" --

12 THE WITNESS: I'm sorry. We're still
13 talking about the Charleston and Vahter studies.

14 SPECIAL MASTER VOWELL: So you're not
15 referring to the Burbacher study?

16 THE WITNESS: No, no, no. Of course not.

17 SPECIAL MASTER VOWELL: Okay.

18 BY MR. WILLIAMS:

19 Q I understand. You're saying that those
20 adult monkey studies in your opinion are still
21 irrelevant to the question that these Special Masters
22 have to decide?

23 A I'm saying they're uninformative with regard
24 to whether thimerosal in doses related to vaccines
25 create any brain damage.

BRENT - CROSS

1892

1 Q Well, are they relevant to the question of
2 whether inorganic mercury in the brain can provoke
3 neuroinflammation?

4 A I'm not even sure they're relevant to that.
5 They're relevant to whether high doses of methyl
6 mercury exposed on a continuous basis, very, very high
7 doses, to monkeys that end up being behaviorally
8 totally normal have astrocyte death and microglial
9 activation to clean up the astrocyte death.

10 Q I think we understand your position. Let's
11 see whether the authors of this paper agree with you.
12 If we could just go down a couple more sentences? In
13 fact, just highlight the whole middle of that third
14 column if you would, right under where you've
15 highlighted now. I don't want to skip anything. If
16 you could highlight it? I think it's easier to read
17 when it's highlighted. It says, "Results from these
18 studies," and it's referring to the five adult monkey
19 studies just for clarification purposes.

20 "Results from these studies indicated higher
21 inorganic mercury concentrations in the brain six
22 months after methyl mercury exposure had ended whereas
23 organic mercury had cleared from the brain. The
24 estimated half life of organic mercury in the brain of
25 these adult monkeys was consistent across various

BRENT - CROSS

1893

1 brain regions at approximately 37 days, similar to the
2 brain half life in the present infant monkeys.

3 "The estimated half life of inorganic
4 mercury in the brain in the same adult cohort varied
5 greatly across some regions of the brain from 227 days
6 to 540 days. In other regions, the concentration of
7 inorganic mercury remained the same six months after
8 exposure."

9 Are you suggesting that their entire
10 discussion of this when you talked about how precious
11 the words are in these studies, they didn't have time
12 to put in your point that you think they had that they
13 only did this for technical reasons the dose. They
14 didn't choose it to mimic the program. Why would they
15 put all this language in there if they thought this
16 was irrelevant?

17 A Well, once again I don't see any of that
18 language relevant to what happens in the brain when
19 you give small doses of thimerosal as you would in a
20 vaccine. This is not data you can translate from one
21 to another. The dosing scenarios are different. The
22 doses are huge in this study. The animals are fine
23 anyway, so I don't understand how one in good
24 conscience as a scientist could actually apply this
25 data to what happens from thimerosal, from vaccines.

BRENT - CROSS

1894

1 Q Okay. Let's continue going here because
2 they continue discussing these adult monkey studies
3 for quite some time. The next sentence says,
4 "Stereologic and autometallographic studies on the
5 brains of these adult monkeys indicated that the
6 persistence of inorganic mercury in the brain was
7 associated with a significant increase in the number
8 of microglia in the brain, whereas the number of
9 astrocytes declined."

10 We've already talked about that, "and that
11 notably these effects were observed after exposure to
12 the methyl mercury had ended when the inorganic
13 mercury concentrations were at their highest levels,
14 or they were also there in the animals solely exposed
15 to inorganic mercury. In that study, they actually
16 fed some of the adult monkeys mercury chloride just to
17 see what would happen with that, right?

18 A Yes, and I think that relates to my point
19 that you can get the damage from either.

20 Q Yes, and then they say, "The effects in the
21 adult Macaques were associated with brain inorganic
22 mercury levels approximately five times higher than
23 those observed in the present group of infant
24 Macaques." They obviously thought that the inorganic
25 mercury in the brain of those adult monkeys and the

BRENT - CROSS

1895

1 fact that it was only five times higher than what they
2 detected in the brain of these infant monkeys was a
3 relevant fact to put in their paper, and you don't
4 think that's relevant?

5 A No. I'm saying that remember this paper is
6 a pharmacokinetic paper, and they're talking about
7 brain levels in this paper, and they're comparing it
8 to brain levels in other papers. What I am talking
9 about, and what I put in my report, and what I think
10 is relevant is to what degree, if any, this informs
11 you about what happens in the brain following doses
12 associated with thimerosal-containing vaccine, and
13 this point is not informative about that.

14 That's not why they wrote this paper. They
15 didn't write the paper to answer that question, but
16 this is what I covered in my report and in my
17 presentation today.

18 Q Let's see what the next two sentences of
19 this same paragraph are. We need to blow it up.

20 SPECIAL MASTER VOWELL: Also the column
21 you're on.

22 MR. WILLIAMS: Just right under where we've
23 been. I'm sorry. Page 6, right-hand column. It's
24 the last two sentences in the last full paragraph on
25 the page.

BRENT - CROSS

1896

1 (Discussion held off the record.)

2 BY MR. WILLIAMS:

3 Q Okay. The last two sentences. Let me read
4 them. It says, "In addition, whether similar effects
5 are observed at lower levels in the developing brain
6 is not known." They obviously thought that the
7 developing brain could be different than the adult
8 monkey brain.

9 A They said they didn't know.

10 Q And then they say, "It is important to note
11 than an active neuroinflammatory process has been
12 demonstrated in brains of autistic patients, including
13 a marked activation of microglia," and they cite the
14 Vargas paper from 2005. Now, do you believe that
15 these points they're making are just irrelevant?

16 A Irrelevant to what question?

17 Q Whether thimerosal can cause autism.

18 A They're totally irrelevant to whether
19 thimerosal can cause autism, and I'll tell you why.
20 Number one, the Vahter paper animals despite the high
21 doses they got, despite the continuous dosing, the
22 higher levels of methyl mercury in their brain, did
23 not have any behavioral abnormalities, did not show
24 any abnormalities. They showed that there was so much
25 mercury in the brain that it was toxic to astrocytes,

BRENT - CROSS

1897

1 and so that the microglia were activated to clean up
2 the astrocytes. How that relates to doses in vaccines
3 causing autism completely escapes me.

4 Q Well, what if as these authors suggest the
5 developing brain could be more sensitive than the
6 adult brain to the neuroinflammatory process and we
7 only have a difference of five times. We're not even
8 in order of magnitude different here, right?

9 A Yes, but that can't be playing a role. It's
10 impossible for it to be playing a role because as we
11 saw if you look at the population in the Seychelles,
12 and you look at the population in the Faroe Islands,
13 they have far more mercury in their brain, and they
14 have it from birth. They have it from birth. They're
15 cord blood levels are five to 10 times what it is in
16 the United States. Yet, they don't have autism. They
17 don't have an increased rate of autism.

18 Q I'm going to interrupt this discussion of
19 infant monkey brains and adult monkey brains for a
20 minute and go to this Faroe Island study that you
21 flashed on the screen.

22 A Okay.

23 Q If we could first show the front page.

24 SPECIAL MASTER VOWELL: Why don't you give
25 us the reference number, Mr. Williams?

BRENT - CROSS

1898

1 MR. WILLIAMS: This is Reference Master List
2 130. It's a DOJ exhibit.

3 MR. WILLIAMS:

4 Q This is the study you showed, right?

5 A That's correct.

6 Q Now, what you didn't show, what you didn't
7 bring out was in the discussion section of this paper
8 on page 6 of this exhibit, the authors say, "There are
9 at least two partly conflicting reasons why such a
10 conclusion might be regarded as skepticism," and the
11 conclusion they're talking about is the fact that the
12 rate of autism in the Faroe Island population seems to
13 be the same as the rest of the world, okay?

14 One of the possibilities they discuss is
15 that the number of possible susceptibility genes would
16 probably be very much lower in a genetic isolate such
17 as the Faroe Islands where the population pretty much
18 in breeds with each other, right? The Faroe Islands
19 is not a good genetic model of the rest of the world,
20 correct?

21 A Well, it is what it is. It's a population
22 with dramatically higher amounts of mercury in the
23 brain, and they studied that population. Is that
24 population necessarily applicable to every other
25 country in the world? Possibly yes, possibly no. I

BRENT - CROSS

1899

1 mean, they haven't shown it's not. They're raising
2 the possibility.

3 It also should be noted however that the
4 same patter was observed in the Seychelles, and there
5 does not appear to be any increased rate of autism
6 despite the very high levels of brain mercury in the
7 individuals in the Seychelles. Now you can say well,
8 maybe they also don't have the genetic susceptibility,
9 but nevertheless I think there's a pattern here.

10 It doesn't actually prove it, but I think
11 there's a patter here that is very highly suggesting
12 that the amount of brain mercury does not determine
13 autism.

14 Q Then let's go to the top of the next column
15 where I've highlighted that. They say this genetic
16 isolation would then lead to a much lower rate of
17 autism in the Faroe Island and in other regions where
18 the autism gene pool would be larger.

19 A They're leaving that possibility open, yes.

20 Q Right. Now, why didn't you bring that out
21 on direct?

22 A Because for every paper I talked about and
23 in fact anybody participating in these hearings, if
24 they went and talked about every bit of author
25 speculation in every one of the papers, these would be

BRENT - CROSS

1900

1 very, very, very long hearings. This is not a major
2 conclusion of the paper. This is author speculation.

3 I simply pointed out the major conclusion of
4 the paper is that in the Faroe Island despite the very
5 high levels of brain mercury, there is no increased
6 rate in autism, similar to the fact that in the
7 Seychelles despite the very high levels of mercury in
8 the brain, there is no increased rate of autism.

9 Now, in any studies that I've talked about,
10 we could go back, and we could pick up all kinds of
11 speculation of the authors as authors are supposed to
12 do in studies in terms of indicating the limitations
13 of the interpretation of the data, but that's the
14 speculative part in the discussion of the paper.

15 Q Let's see if they list another reason why
16 this study probably underestimates the true autism
17 rate in the Faroe Islands. Let's go to the next
18 highlight. They're saying here the fact that the rate
19 is different could actually mask underlying major
20 differences across populations rather than itself
21 being supportive of any unifying theory for autism
22 etiology, correct?

23 A Theoretical possibility.

24 Q In both the Seychelles and in the Faroe
25 Islands, the mothers and eventually the children eat

BRENT - CROSS

1901

1 an enormous amount of fish compared to the rest of us,
2 right?

3 A Absolutely they do.

4 Q And isn't fish very good for brains, so that
5 even if the mercury was having an adverse effect on
6 their brains, the fish could counteract them?

7 A The same thing in the United States. In the
8 United States we eat a fair amount of fish compared to
9 some other populations, and yes, there is data that
10 it's good or neurodevelopmental function. There is no
11 data, not one bit of data that I'm aware of, that fish
12 eating protects against autism.

13 Q Let's go to the next highlight please in the
14 same study. It says, "The high male to female
15 ratio..." They had what? Six males to one female,
16 right? "The high male to female ratio suggests that
17 some girls with autism spectrum disorders may have
18 been missed." Let's go to the next highlight. "It is
19 likely that some girls with autism in this population
20 may have remained undetected in spite of the rather
21 meticulous screening of the Faroe Islands schools
22 performed," correct?

23 A It's always possible, and that may actually
24 explain why their rate of autism was less than, for
25 example, what we see in the United States.

BRENT - CROSS

1902

1 Q Nevertheless, the authors of this paper have
2 pointed out two significant reasons why this could
3 well be an underestimate of the true rate of autism
4 there.

5 A Sure. It's within the range of
6 possibilities.

7 Q All right. Let's go back to the monkey
8 study. Just a few more points from the Burbacher
9 study now going to the last page. We could blow that
10 up. They discuss the IOM report They say that a
11 recently published second review of the IOM in 2004
12 appears to have abandoned the earlier recommendation
13 to do more studies on thimerosal as well as backed
14 away from the American Academy of Pediatrics' goal to
15 remove thimerosal from vaccines, right?

16 A Correct.

17 Q That is what the IOM committee in 2004 said,
18 right?

19 A What is what the IOM in 2004 said?

20 Q It said shouldn't do any more studies on
21 thimerosal and autism.

22 A Right. Correct.

23 Q And you shouldn't worry about the fact that
24 thimerosal is still in some infant vaccines in this
25 country and other places around the world.

BRENT - CROSS

1903

1 A Right. That was the IOM's conclusion.

2 Q And Dr. Goodman was on that committee, and I
3 think we're going to see him Friday afternoon, so I
4 don't want to debate that with you other than to say,
5 and I want to read this next sentence and then ask you
6 about it, the authors of this paper, Dr. Clarkson
7 included, say, "This approach is difficult to
8 understand given our current limited knowledge of the
9 toxicokinetics and developmental neurotoxicity of
10 thimerosal, a compound that has been and will continue
11 to be injected in millions of newborn infants."

12 Now, do you still think the IOM's 2004
13 recommendation is a good one?

14 A Well, look. I mean, you have to take
15 cognisance of the fact that since 2004, continuing
16 bodies of epidemiologic studies has come out to
17 reaffirm the IOM's conclusion. The data has come out
18 that has shown that we take thimerosal away from
19 vaccine, the rate of autism continues to rise
20 unabated.

21 Your own epidemiologist testified that the
22 epidemiologic data effectively rules out an
23 association between thimerosal-containing vaccines and
24 autism in general, so yes, based on all that I do
25 strongly support the 2004 IOM recommendation. IOM can

BRENT - CROSS

1904

1 always go back and revisit its recommendations. It
2 hasn't done that. It hasn't even talked about doing
3 that to my knowledge.

4 Q Most of those other agencies, if not all of
5 them you've listed in one of your concluding slides.
6 They basically all just cite the IOM report for their
7 own conclusions, don't they?

8 A No. I don't think you can say that. I
9 don't think the World Health Organization and the
10 Centers for Disease Control, the American Academy of
11 Pediatrics, American College of Medical Toxicologists,
12 the European Medicines Agency all simply defer to the
13 IOM. I don't think you can do that. Yes, they may
14 cite the IOM, but I think it is an incorrect
15 conclusion to say they're simply repeating what the
16 IOM said and adopting without due intellectual
17 consideration the IOM conclusion.

18 Q Do you know whether any of those
19 organizations have discussed in their analysis this
20 infant monkey study or the implications of the Vargas
21 paper for neuroinflammation as the cause of autism?

22 A The Vargas paper deals with methyl mercury.
23 I mentioned a whole multitude of reasons, and if you
24 want, I'll go over them again, although we've talked
25 about them a number of times of why the Vargas paper

BRENT - CROSS

1905

1 probably is not informative about thimerosal-
2 containing vaccines and autism.

3 Q Sorry. The question is do you know whether
4 any of those organizations' analyses have considered
5 the Burbacher infant/monkey study data and the
6 implications for the Vargas neuroinflammatory process
7 that was discovered in 2005?

8 A And my answer to that is I would have to go
9 back and look to see whether they did. Frankly, I
10 doubt that they did because the Burbacher study
11 supports the conclusion and the other data I think is
12 not informative about the conclusion.

13 Q The last highlight on this paper is the very
14 next paragraph, the final paragraph. "The key
15 findings of the present study are the differences in
16 the disposition kinetics and demethylation rates of
17 thimerosal and methyl mercury. Consequently methyl
18 mercury is not a suitable reference for risk
19 assessment from exposure to thimerosal-derived
20 mercury."

21 Then they say, "Knowledge of the
22 biotransformation of thimerosal, the chemical identity
23 of the mercury-containing species in the blood and
24 brain and the neurotoxic potential of intact
25 thimerosal and its various biotransformation products,

BRENT - CROSS

1906

1 including ethyl mercury, is urgently needed." Now, do
2 you agree that studies on these questions are urgently
3 needed, or do you still agree with the IOM 2004 that
4 we don't need to do any study?

5 A Well, I can only reiterate what I said
6 before, that since the IOM 2004, the epidemiological
7 data has become so much stronger suggesting that
8 there's no link that there would be no reason to go
9 back and requestion the 2004 IOM conclusion.

10 Q I'm sorry. Are you finished?

11 A Yes.

12 Q Okay. Are you aware of any epidemiological
13 studies on regressive autism and thimerosal?

14 A No.

15 Q Even one?

16 A No.

17 Q And yet you think the door is closed, and
18 there's no reason to look at that?

19 A No. I was talking about autism in general.
20 I was talking about autism in general. Now, to what
21 extent the information can be related to
22 epidemiological studies regarding regression, I would
23 leave that to the epidemiologist who is going to be
24 testifying here.

25 Q By the way, I think you said on direct that

BRENT - CROSS

1907

1 you acknowledge that there's a difference in gender
2 responses to mercury?

3 A No. I was talking about the Adams tooth
4 study, and I said that they used many more males in
5 the ASD group than in the control group and that may
6 have potentially influenced the results. We don't
7 know if it would have or not. At least it's something
8 that probably should have been controlled for.

9 Q Well, let me just ask you now, and it may
10 save me a little cross later, do you agree that male
11 human boys will excrete mercury more slowly than
12 girls?

13 A There is some limited data mostly related to
14 inorganic that that might be the case.

15 Q What was the sex breakdown in this infant
16 monkey study? Where these all male infant monkeys, or
17 were there female infant monkeys mixed in here?

18 A I don't recall. I'd be glad to look if you
19 like.

20 Q Well, I can tell you it was roughly half and
21 half.

22 A Okay.

23 Q Wouldn't that also have the same problem as
24 the tooth study? If males tend to retain more mercury
25 than girls, wouldn't you expect that to be true in

BRENT - CROSS

1908

1 primates as well as humans?

2 A Well, you said there was half and half.

3 That was not the case in the tooth study.

4 Q They don't report any gender differences in
5 this study, but if males retain mercury more than
6 girls, wouldn't a fair inference of this study be that
7 the male monkeys could well be the ones that had
8 higher levels than the female infant monkeys?

9 A Well, I think that's quite speculative. You
10 can speculate maybe that was the case. Maybe it
11 wasn't, but even so, if you look at the levels of
12 mercury in the brain, they're quite low, so yes,
13 they're a little bit higher in the males. Even if
14 your speculation were true, it would not materially
15 affect the results.

16 Q Okay. Now I'm going to go through the adult
17 monkey study. We're going to do it more briskly than
18 we've gone through this one, but there are a few
19 points I want to discuss with you about this study.

20 A Sure.

21 Q Did you read those studies before you wrote
22 your report, or did you read them after you found out
23 that we thought they were relevant?

24 A I read them when they came out. I read them
25 when they came out.

BRENT - CROSS

1909

1 Q Okay. If we could start with our Exhibit
2 64 --

3 A Would it be too much trouble to ask if I
4 could have a copy of that in front of me?

5 Q You bet.

6 (Discussion held off the record.)

7 MR. WILLIAMS: This will save time later
8 even though it's taking a little time now.

9 SPECIAL MASTER VOWELL: Not a problem.

10 THE WITNESS: Thank you very much.

11 BY MR. WILLIAMS:

12 Q I do want to go back. I forgot one point
13 about that infant monkey study that I needed to make
14 just to finish up with it. If you go to page 5 of
15 Burbacher infant monkey study in the right-hand
16 column, I forgot to just nail down the amount of
17 inorganic mercury in the brain of the thimerosal-
18 exposed monkeys. It's about three sentences up from
19 the word "discussion." Just blow the highlight up to
20 show it.

21 They said, "The average concentration of
22 inorganic mercury did not change across the 28 days
23 and was approximately 16 nanograms per milliliter, and
24 you said it was about 10.

25 A No. I said it was a little over 10. If you

BRENT - CROSS

1910

1 look at the figure, you see you have to sort of
2 estimate it. I said it was a bit over 10. They
3 obviously knew the number. If you look at the figure,
4 you can see it's pretty hard to tell whether it's 12
5 or 14.

6 Q Right. But they're reporting their data
7 here, not just guessing, right?

8 A They actually had the actual number. I was
9 talking from the graph.

10 Q Right. Now, this is just the average level,
11 right? There's some of these monkey had higher levels
12 than that just as your bell curve would predict?

13 A Probably, yes.

14 Q And if they had a lot more monkeys, they
15 would have some that would be even higher on the
16 spectrum, right?

17 A Probably most would fall at about two
18 standard deviations from the mean.

19 Q And you had conceded just a few moments ago
20 that because they were unable to detect the inorganic
21 mercury and the methyl mercury in a lot of methyl
22 mercury monkeys, a fair estimate of the average level
23 of the inorganic mercury in the methyl treated monkeys
24 was four to five?

25 A I don't know what the number was. I think I

BRENT - CROSS

1911

1 said it might be closer to seven, but if you want to
2 use four to five, I'll take four to five.

3 Q You might have even said three. My point
4 is --

5 A No. I said down the region of three is what
6 you would expect if they were actually given the doses
7 that you would give in a thimerosal-containing
8 vaccine.

9 Q But isn't the take away message from this
10 study that 20 micrograms of ethyl mercury when
11 injected into the infant monkeys resulted in an
12 average of 16 nanograms per milliliter whereas 20
13 micrograms of methyl mercury ingested by those infant
14 monkeys only resulted in four or five nanograms per
15 milliliter of inorganic mercury in the brain?

16 A No, no. That was inorganic mercury at the
17 28-day time point, but there was still 10 times as
18 much methyl mercury that remained in the brain at the
19 end of that point. You showed me before this language
20 about the demethylation of methyl mercury over time to
21 inorganic mercury, so there was this very large store
22 of methyl mercury that was still there that would
23 undergo demethylation to inorganic mercury.

24 Q Wouldn't 90 percent of it at least be
25 eliminated? They said only 10 percent was converted

BRENT - CROSS

1912

1 to inorganic mercury?

2 A No. No, because as I mentioned, there was
3 no statistical difference between the methyl mercury
4 level at the first day they started looking at brains
5 and at the find day they started looking at brains, so
6 clearly if that methyl mercury was going any place, it
7 was leaving the brain. It was leaving the brain so
8 slowly it could not be detected, so most of it was
9 going to be demethylated to inorganic mercury.

10 You'd end up with a much bigger inorganic
11 mercury load, which is exactly why when you look at
12 the Seychelles' very high level of mercury in the
13 brain, it's from methyl mercury, and they are a fish-
14 eating population, and that's their source of mercury.

15 Q The Seychelles studies didn't speciate the
16 mercury. They just measured total mercury.

17 A Total mercury.

18 Q Right.

19 A But I think it's fair to say it's all from
20 methyl mercury in the Seychelles.

21 Q Are you talking about the dead infant study?

22 A The brain mercury, yes.

23 Q There wouldn't be time for the methyl
24 mercury to have demethylated in the infants that were
25 one or two days old.

BRENT - CROSS

1913

1 A Well, no, but they are getting exposed even
2 from the pre-natal time period.

3 Q Well, no. Let's go now to the adult monkey
4 studies. The first is Exhibit 64. I want to first
5 just do an overview of the study design so we have
6 that in our minds.

7 A Please.

8 Q Table 1. First of all, I'm sorry. Let's
9 show the title and the authors here. Dr. Burbacher
10 was the senior investigator on this study, correct?

11 A Probably. His name was last, yes.

12 Q And the people he's working with there, some
13 of them are from the Karolinska Institute in Sweden?

14 A Yes.

15 Q And the others are at the University of
16 Washington, right?

17 A Correct.

18 Q If we go now to Table 1, I think it will
19 show us the design of this adult monkey study. I
20 don't know what page. I'll look.

21 SPECIAL MASTER VOWELL: It's going to be
22 page 2.

23 MR. WILLIAMS: I'm sorry. That's not the
24 design of the study I believe. Well, it will give us
25 some hint at the design if we go to Table 1. Let's do

BRENT - CROSS

1914

1 that.

2 (Discussion held off the record.)

3 MR. WILLIAMS: What paper are you in? That
4 is the design I wanted to show. I just want to make
5 sure we've got the right study here.

6 BY MR. WILLIAMS:

7 Q Have you found that table in your papers,
8 the one we just had on the screen?

9 A Table 1?

10 Q Yes.

11 A Yes, I have Table 1.

12 Q And which exhibit number is that in?

13 A PMR 64.

14 Q Okay. I've got it now. This is Exhibit 64,
15 page 2, Table 1, and what they had was they had five
16 adult monkeys who got methyl mercury for six months,
17 correct?

18 A Right.

19 Q You see those are the monkey numbers down
20 the left-hand column.

21 A Right. Yes, that's correct.

22 Q And then five adult monkeys who got methyl
23 mercury for 12 months?

24 A Correct.

25 Q And then five adult monkeys who got methyl

BRENT - CROSS

1915

1 mercury for 18 months?

2 A Correct.

3 Q And then they have five to whom they gave
4 methyl mercury for 12 months and then went six months
5 with no exposure.

6 A Correct.

7 Q And they had four controls with no exposure.

8 A Correct.

9 Q And then they had three monkeys that they
10 fed mercury chloride to for three months.

11 A Correct.

12 Q Okay. So that was the general design of the
13 study. By the way, all five of these papers report
14 results of the same study. I mean, it's just
15 different things they looked at, but it was the same
16 study on these same monkeys.

17 A I believe so.

18 Q Okay. So now if we go to Exhibit 32, and
19 this is another one of these five studies, and we'll
20 show the title quickly so we have it for the record,
21 the Autometallographic Determination of Inorganic
22 Mercury Distribution in the Cortex.

23 A That's the cortex of the calcarine silvers.

24 Q Right. If we go now to the second page of
25 this study, at the top right-hand column. Just blow

BRENT - CROSS

1916

1 that up if you would. It's referring to a figure, but
2 the copies we have don't allow us to look at those,
3 and you're probably not any more qualified than I am
4 to say what they mean pathologically, are you?

5 A Maybe a little.

6 Q Okay. The way they were detecting the
7 inorganic mercury was using the silver technique so
8 that it would show up in the microscope, right?

9 A Correct.

10 Q Okay. It says the control section is
11 virtually free of silver grains.

12 A Can you show me where you're reading,
13 please?

14 Q Yes. It's the --

15 A I got it. Okay.

16 Q It's like the second sentence of that
17 paragraph. Yes, it's highlighted now. The control
18 section is virtually free of silver grains. The few
19 bright spots in the control section actually represent
20 capillaries and blood vessels cut in or near cross-
21 sections, so in the controls, they really didn't
22 detect any inorganic mercury, correct?

23 A Based on their limited detection.
24 Certainly, there was some inorganic mercury there, but
25 it was relatively low compared to their limited

BRENT - CROSS

1917

1 detection.

2 Q That's right. And then they go on to say
3 the six-month methyl mercury exposed animal has
4 significant silver grains distributed across all
5 layers of the cortex.

6 A Right.

7 Q Do you see that?

8 A Referring to the calcarine in the cortex.

9 Q Yes. And then it says a similar
10 distribution across all cortical layers is present in
11 the 12- and 18-month exposure groups and in the
12 clearance group. That's the 12 on, six off group, so
13 that the inorganic mercury they were detecting in the
14 brains of these monkeys at least in this section of
15 the cortex they looked at was spread across all layers
16 in all groups, correct?

17 A Correct.

18 Q The next paragraph, if we highlight that,
19 the astrocytes and microglia appear to accumulate high
20 concentrations of mercury relative to all other cell
21 types, and then they go on to say that moderate
22 mercury deposits are detected within these cell types
23 in the six-month group, and then these cells
24 sequentially become more heavily labeled with longer
25 exposure duration, so what they found was that the

BRENT - CROSS

1918

1 inorganic mercury did build up in all these layers of
2 the cortex over time in these monkeys.

3 A Yes. They continued to feed them, and it
4 continued to build up, yes.

5 Q And then at the bottom of that paragraph, it
6 says, and this is the last sentence, "Some of these
7 individual cells in the 12- and 18-month methyl
8 mercury exposed group were so heavily labeled as to
9 completely obscure the nucleus associated with that
10 cell," do you see that?

11 A Right. Right.

12 Q Then the next to last sentence on that page,
13 in the same column, they talk about the labeled
14 neuron, and although they found that most of the
15 inorganic mercury was in the microglia and the
16 astrocytes, it says here that labeled neurons in the
17 18-month group were calm, so they found the inorganic
18 mercury even in the neurons of these adult monkey
19 brains, correct?

20 A Sure.

21 Q And it says, "These grains, although
22 relatively small compared to those found in the
23 astrocytes and microglia were readily visible with
24 brightfield optics." That's talking in the neurons.

25 A Correct.

BRENT - CROSS

1919

1 Q Now, is inorganic mercury inside a neuron,
2 is that a good thing or a bad thing?

3 A Most of the time you won't see very much in
4 the neurons. They're more in the astrocytes and glia,
5 but you have to understand that the design of this
6 study was such that you might expect to see them in
7 the neurons because they gave 50 micrograms per kilo
8 per day, which is equivalent in a 70 kilogram person.
9 That's 3,500 micrograms a day of methyl mercury. Now,
10 if you remember, the average diet of methyl mercury in
11 the United States is about 11,000 micrograms a year.

12 Here, they were given 3,500 micrograms a
13 day, so when you give that astronomical amount of
14 mercury, you're certainly going to expect to see some
15 mercury in the neurons of the brain.

16 Q If we look at the figure on page 4 and the
17 caption to that figure, and this is still in Exhibit
18 32, and this is page 4 of Exhibit 32. I just want to
19 reiterate the last sentence of that figure. It says,
20 "All neurons contain several silver grains within
21 their cell bodies, correct?

22 A Are you looking at Figure 4?

23 Q Figure 2.

24 A Figure 2. I'm sorry.

25 Q Figure 2. I'm sorry. On page 4.

BRENT - CROSS

1920

1 A All right.

2 Q The last sentence.

3 A Right. Right.

4 Q Right. Okay. Now, if we go to the very
5 next page, the top of the first column right there it
6 says, "The level of the silver staying within the
7 inorganic mercury exposed animals was much lower than
8 that observed in the methyl mercury-exposed," and by
9 inorganic mercury exposed, they're talking about the
10 mercury chloride animals, right?

11 A That's correct.

12 Q And it was much lower, which is what you
13 would expect, right? Because the gut is not going to
14 absorb inorganic mercury as efficiently as it does
15 methyl mercury, right?

16 A Right. It won't cross the blood-brain
17 barrier as well.

18 Q And then inorganic mercury is not nearly as
19 readily able to cross the blood-brain barrier as
20 methyl mercury, right?

21 A That's correct, and I just want to take a
22 look at the doses also that they use of the mercuric
23 chloride, if I could do that?

24 Q Sure. I was trying to establish the
25 background here that as expected, the animals fed the

BRENT - CROSS

1921

1 inorganic mercury had a lot lower levels in their
2 brain than the animals fed methyl mercury.

3 A Right.

4 Q And then nevertheless if we go to the next
5 column, the last sentence before the word
6 "discussion," just above "discussion," the last
7 sentence says, "It is also important to note that the
8 inorganic mercury exposed group had virtually no
9 methyl mercury present, yet this group still
10 experienced a significant increase in cell number as
11 well as detectable staining of mercury deposits within
12 the astrocytes and microglia," right?

13 A Right.

14 Q So even this very low level of inorganic
15 mercury from the inorganic mercury-exposed monkeys was
16 enough to cause these astrocytes and microglia to
17 react to it.

18 A If you look, it really wasn't a very low
19 level of inorganic mercury. If you go to their
20 infusion protocol for the inorganic mercury, and I
21 just had it here. Let me see if I can bring it up
22 again. If you take a look, and this is on Exhibit 60.
23 It doesn't say whose Exhibit 60. It says 60 on it.
24 The speciation of mercury in the primates blood paper
25 with Vahter as the first author, on page 222, it gives

BRENT - CROSS

1922

1 the infusion protocol and explains that and explains
2 why.

3 In talking about mercury chloride, the
4 infusion rate was 200 micrograms per kilogram for body
5 weight per day, which was expected based on the
6 results of the pilot studies. You get blood mercury
7 concentrations similar to the methyl mercury in
8 monkeys.

9 Q Okay. Let's turn the page and look at Table
10 1 here to see what amounts ended up in their brains.

11 SPECIAL MASTER VOWELL: We're on which
12 exhibit?

13 MR. WILLIAMS: This is Exhibit 32, page 6
14 now, Table 1. Pull up Table 1.

15 BY MR. WILLIAMS:

16 Q The inorganic exposed-monkey is the last
17 line in this table. Do you see that?

18 A Right.

19 Q And the amount of inorganic mercury detected
20 in this part of the brain that they looked at in this
21 paper was .106 micrograms per gram, right?

22 A Right, which I pointed out parts per million
23 as opposed to the parts per billion that you would
24 typically expect to see.

25 Q Exactly, so that if we were to convert this

BRENT - CROSS

1923

1 to nanograms, we would have to multiply that by a
2 1,000, right?

3 A Correct.

4 Q And we would have 106 nanograms. To convert
5 this to the type of measure we were looking at in the
6 infant monkey study, we would make that 106, right?

7 A Right.

8 Q Now, what's the standard deviation here for
9 this particular number?

10 A 0.042.

11 Q And again you'd have to multiply that by a
12 1,000 if you're going to do an equivalent calculation,
13 so the standard error would be 42 nanograms per
14 milliliter.

15 A Okay.

16 Q And the standard error as you said I think
17 on direct represents in your bell curve the middle 95
18 percent of the population?

19 A I was talking about standard deviation.

20 Q What?

21 A I was talking about standard deviation, not
22 standard error. They're different parameters.

23 Q Even if you treat this as a single-standard
24 error, if you subtract 42 from 106, what do you get
25 down to? 64?

BRENT - CROSS

1924

1 A Okay.

2 Q And in the infant monkeys we had an average
3 of 16, so now we're only seeing what? A three- to
4 four-fold difference in level?

5 A Of inorganic mercury?

6 Q Yes.

7 A That doesn't consider the methyl mercury
8 that was also present, which was potentially higher.

9 Q The inorganic --

10 A That's correct.

11 Q Right. And yet it was still enough to set
12 off activation of astroglia and microcytes, wasn't it
13 in the adults?

14 A Well, in the adults. Remember, this is
15 inorganic. There is also a significant load of
16 organic, and in the infants, there was a much greater
17 load of organic mercury as well that also had to be
18 taken into consideration.

19 Q Right. Now, you were saying that this .042
20 was a standard error, but just below that in the
21 caption of this figure, this table, it says, "The
22 values in parentheses represent the standard
23 deviation."

24 A No, no. You said standard error. You said
25 standard error.

BRENT - CROSS

1925

1 Q Okay. Well, let's make sure we're straight
2 here.

3 A Okay.

4 Q It says, "The values in parentheses
5 represent the standard deviation."

6 A That's correct.

7 Q Isn't that right?

8 A That's correct.

9 Q So back to your bell curve, using standard
10 deviations, you go two standard deviations from the
11 middle in each direction, right?

12 A Correct.

13 Q And the idea is that statistically that
14 captures 95 percent of the population?

15 A That's correct.

16 Q And you have the two and a half percent at
17 one end and two and a half percent at the other end?

18 A That's right.

19 Q Okay. So if we go now to the bottom of the
20 bell curve of this inorganic measure here, and we
21 subtract 84 from 106, we get down to 22 nanograms per
22 milliliter, correct?

23 A Okay.

24 Q Almost the same as the inorganic mercury
25 levels in the infant monkeys.

BRENT - CROSS

1926

1 A Okay.

2 Q So statistically isn't it fair to say that
3 the results of the infant monkey studies, they're not
4 really statistically different from the results in
5 these inorganic mercury-exposed monkeys in terms of
6 the level of inorganic mercury detectible in the
7 brain?

8 A No. They're totally different. I don't see
9 how you can say that. You are talking about virtually
10 the lowest possible level in this study, far below the
11 average level, far below the higher level.

12 You are just taking the lowest possible
13 level in this study, and you are saying that is
14 somehow equivalent to the average level that you may
15 see in the infant monkeys only looking at the
16 inorganic mercury forgetting once again that even
17 doing that, you are ignoring the fact that there's
18 still 10-fold higher methyl mercury in the infant
19 monkey. No, there's a vast difference in the mercury
20 concentration.

21 Q I'm only going to be talking about inorganic
22 mercury here, so the fact that you keep wanting to
23 talk about methyl mercury levels, which we are know
24 are changing in these adult monkeys, and in fact at 18
25 months the methyl mercury was almost all gone from the

BRENT - CROSS

1927

1 brains of these adults right?

2 A Right.

3 Q So let's concentrate on inorganic mercury
4 here for a moment.

5 A Sure.

6 Q The bell curve for these inorganic mercury-
7 exposed adults with this standard deviation would go
8 down to 82 below 106, which is 24, right? Do you know
9 what the standard deviation was for the measurements
10 in the infant monkeys?

11 A We can look it up if you have the Burbacher
12 paper handy.

13 Q I don't think it's in there.

14 A Well, then I can't tell you.

15 Q I looked for it, and I couldn't find it.

16 A I'll take your word for it.

17 Q But you agree there would be some, right?

18 A Sure.

19 Q So those confidence intervals between this
20 inorganic mercury level in the adults and the
21 inorganic mercury in the infants, they would overlap
22 significantly, wouldn't they?

23 A Well, they didn't report confidence
24 intervals. They reported standard deviations, and
25 there's a difference, but if you're saying that in the

BRENT - CROSS

1928

1 adult monkeys, just looking at the inorganic mercury,
2 if you take the very, very lowest level of inorganic
3 mercury in the brain, this particular part of the
4 brain, and you compare them with what is perhaps the
5 higher levels in the Burbacher study, sure, there's a
6 possibility of some overlap.

7 Q Okay. And so statistically, they're not
8 really different.

9 A No. You can't say that. You can certainly
10 have overlapping values that are statistically
11 significantly different. If you look at the means,
12 they're vastly different. If you look at the standard
13 deviations, they're not that wide. You will
14 definitely be able to anticipate that they would be
15 statistically significantly different. I don't know.

16 I didn't do the calculation, but just
17 because the very, very bottom level of one number may
18 overlap with the upper level of another value does not
19 mean that they're not statistically significantly
20 different.

21 Q In the adult monkeys, isn't it true that
22 they found microglial activation and astrocyte
23 activation in every monkey?

24 A They found astrocyte death and microglial
25 activation, and the microglial activation is a normal

BRENT - CROSS

1929

1 response to astrocyte death.

2 Q They found it though my point is in every
3 monkey, even the ones that had the lowest levels. In
4 other words, there was no threshold level of inorganic
5 mercury that would have not provoked microglial
6 activation.

7 A Can you show me where it says that?

8 Q In this study.

9 A Can you show me where it says that the ones
10 that even with the lowest level had astrocyte death
11 and microglial activation?

12 Q Well, they report microglial activation in
13 all the monkeys.

14 A They say every single one of them had it?

15 Q Well, I don't know if they actually say it
16 somewhere. I mean, you might have to literally look
17 at every word of every paper.

18 A Are you assuming that?

19 Q They don't report any threshold value here
20 below which there was no activation, do you agree with
21 that?

22 A I don't remember indicating that one way or
23 another. I mean, I don't think you can assume that
24 the monkeys that had the lowest level of inorganic
25 mercury had this effect. It seems to be --

BRENT - CROSS

1930

1 Q Well, they do report that the monkeys that
2 had the lowest level of inorganic mercury were the
3 ones to whom they had said inorganic mercury, and yet
4 in those monkeys, they still detected microglial
5 activation.

6 A Yes, with an average level up in the almost
7 parts per billion range, not parts per million range.
8 By the way, I think your question said astrocyte
9 activation. It's astrocyte death.

10 Q Okay. Well, let's keep going through this,
11 and maybe that will get clarified a little bit. If we
12 stay with Exhibit 32 and go to the next page, which is
13 page 7 of the exhibit. It's page 331 of the study.
14 It's the first full paragraph where it says, "We have
15 concluded..." The right-hand column, the first full
16 paragraph it says, "We have concluded that the
17 microglia in our study represent a form of activated
18 microglial cells," correct?

19 A Correct. As I said before, the microglia
20 become activated as phagocytes because of the
21 astrocyte death.

22 Q Well, but they say it also may be as a
23 result of the mercury right below that. If you look,
24 it says, "These activated microglia may be a transient
25 microglia form in our case relating to the presence of

BRENT - CROSS

1931

1 mercury or damaged astrocytes," right?

2 A Right. However, you will always see
3 microglia activation if you have astrocyte toxicity.

4 Q Now let's go to the very last two sentences
5 of this paper on page 8 of Exhibit 32. It says, "The
6 lack of methyl mercury exposure in the inorganic-
7 exposed tissue and low levels of methyl mercury in the
8 clearance group indicates that the inorganic mercury
9 is associated with the observed increase in microglia
10 in all mercury exposure groups." The microglia
11 increased 165 percent in the inorganic exposed monkey
12 group, correct?

13 A Correct, to the response of astrocyte death.

14 Q Now let's turn to one of the Charleston
15 papers, Exhibit 116. You should have the exhibit
16 numbers at the bottom of your studies, Doctor.

17 A I do.

18 Q That's the title of the paper, Changes in
19 the Number of Astrocytes and Microglia in the
20 Thalamus, and then I just want to go to the bottom of
21 this abstract and show you what the conclusion of this
22 was in the abstract of the paper. "The data suggests
23 that inorganic mercury present in the brains,
24 accumulating after long-term subclinical methyl
25 mercury exposure may be approximate toxic form of

BRENT - CROSS

1932

1 mercury responsible for the changes within the
2 astrocyte and microglial populations."

3 Now, do you agree with them that this is a
4 neurotoxic result?

5 A Well, there's the astrocyte toxicity, so by
6 definition it's a neurotoxic result. Remember however
7 that we don't know if it has any clinical significant
8 because the monkeys were all clinically normal and
9 that the doses that were used was far, far in excess
10 of anything that any reasonable human would ever be
11 exposed to.

12 Q They discussed the microglia, the effect of
13 the activated microglia on page -- my page numbers are
14 blanked out. It's page 134 of the study. I can't
15 read the exhibit page numbers on this. At the very
16 bottom on the section on microglia, and I think we've
17 got it prehighlighted there, the bottom of the section
18 on microglia, the left-hand column, "An increase in
19 microglia may have detrimental consequences to the
20 central nervous system during recovery from a toxic
21 episode because it has been suggested that activated
22 microglia may interfere with neuronal recovery after
23 injuries. Is that true?

24 A If they say it, I'll accept it.

25 Q Okay.

BRENT - CROSS

1933

1 A But you want to ask a neurologist about that
2 or a neuroscientist.

3 MR. WILLIAMS: I know that it's getting
4 late, and I definitely have probably an hour to go at
5 least.

6 SPECIAL MASTER VOWELL: Then I think it
7 would be appropriate to take our lunch recess now and
8 we'll return in an hour, and by my watch, that would
9 have us coming back at 2:15.

10 MR. WILLIAMS: That would be fine.

11 SPECIAL MASTER VOWELL: Okay. We're in
12 recess.

13 (Whereupon, at 1:15 p.m., the hearing in the
14 above-entitled matter was recessed, to reconvene at
15 2:15 p.m. this same day, Monday, May 19, 2008.)

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

BRENT - CROSS

1935

1 citations of the previous studies on monkeys.

2 A Right.

3 Q Where neurotoxicity had been demonstrated in
4 the brain, and what they say here is, "The above
5 examples of methyl mercury induced damage in the
6 primate brain have been demonstrated following
7 relatively high doses of methyl mercury exposure, and
8 these exposures usually result in the development of
9 behavioral symptoms. In general, subclinical levels
10 of methyl mercury exposure have not received as much
11 attention in experimental models."

12 This is particularly true for research
13 carried out in primates. What I want to ask you is
14 don't you agree that the whole design of this study
15 that resulted in these five papers was to test the
16 lowest dose yet on adult monkeys?

17 A I don't know what their motivation is to
18 doing the study, but let me comment on these points.
19 They were looking at a model that they describe that
20 they wanted to be subclinical. In other words,
21 without any clinical effects, or if so, they were
22 minor clinical effects, and that's what they achieved
23 in this study. These monkeys were behaviorally fine.

24 They did not have any clinical abnormalities
25 that anybody could see, but nevertheless the dose that

BRENT - CROSS

1936

1 they used was 50 micrograms per kilogram of body
2 weight per day. That translated into a 70 kilogram
3 person of 3,500 micrograms a day remembering that for
4 the general population our yearly intake of methyl
5 mercury is about 11,000 micrograms, so in three days,
6 these animals had what the average person would have
7 in a year, and they just did it continuously.

8 Yes, it was subclinical in the sense that
9 there were no effects observed, but certainly the
10 doses used in these studies bear no relevance
11 whatsoever to the doses used, for example, in the
12 thimerosal-containing vaccine and in fact bear no
13 relevance whatsoever to the amount of methyl mercury
14 that we all get from our normal fish eating and
15 chicken eating every day. That's why I called it a
16 high-dose study.

17 Q Then on page 135, which again I can't see
18 the exhibit page, but it's the next to last page of
19 text of the study. I'll tell you the exhibit page
20 when we find it.

21 MR. WILLIAMS: What page of the exhibit is
22 it? Page 9 of Exhibit 116 in the right-hand column,
23 about three sentences up from the bottom in the middle
24 of what you have highlighted there.

25 //

BRENT - CROSS

1937

1 BY MR. WILLIAMS:

2 Q They characterize this. They say, "The
3 continued accumulation of inorganic mercury over time
4 within the brain following chronic low level exposure
5 to methyl mercury may prove to be the proximate toxic
6 form associated with this type of exposure scenario,"
7 so at least these investigators were characterizing
8 this as a low-dose study. I know you disagree with
9 the way they characterize it.

10 A Mr. Williams, would you like me to testify
11 that 3,500 micrograms a day of methyl mercury is a
12 low-dose exposure? I cannot do that.

13 Q Now if we go to again in this same study the
14 previous page, which then is page 134 of the study in
15 the left-hand column, just above the word "microglia."
16 It's in bold there. It says, "The widespread loss of
17 astrocyte can be expected to disrupt the
18 compositability of the astrocytes to carry out their
19 supporting function for neurons, and ultimately their
20 loss would be expected to impact the overall function
21 of the central nervous system.

22 "However, at the exposure dose and duration
23 they used in this study, the loss of astrocytes has
24 not resulted in the loss of neurons within the
25 thalamus." Do you agree that eventually widespread

BRENT - CROSS

1938

1 loss of astrocytes could affect neuronal function?

2 A Well, I think if you gave so much mercury or
3 so much of any other potential substance, that can
4 affect astrocytes obviously much more than they gave
5 in this study. You can ultimately get to the point
6 where you would cause some neurotoxicity. I think
7 that's the basic principal of dose response. You can
8 certainly get there if you give enough.

9 However, I should point out there really
10 wasn't much loss of astrocytes, so they're just
11 talking about what might happen if the doses were even
12 greater to the point where you might see that. Once
13 again, we're in the discussion and the speculative
14 part of the paper. This is not the data from the
15 paper.

16 Q Now if you'll turn back to page 135, the
17 page we were on originally, on the left-hand column,
18 just under where it says, "Potential toxic role of
19 inorganic mercury..." Yes, that's what I want. It
20 says, "The microglia population is a responsive cell
21 type. Once damage has been repaired following
22 activation after injury, microglia are known to return
23 to a quiescent state. However, the number of activated
24 microglia remained elevated..."

25 Then we go to the next column "...in the

BRENT - CROSS

1939

1 monkeys of the clearance group, which were kept
2 unexposed for six months following 12 months of methyl
3 mercury exposure. This group had very low
4 concentrations of methyl mercury, but retained
5 elevated concentrations of inorganic mercury at levels
6 comparable to the 12-month exposure group."

7 This suggested inorganic mercury may be the
8 proximate species of mercury responsible for microglia
9 activation, a situation similar to that posed for the
10 cortex study we already looked at. Now, do you agree
11 that normally microglia, they have a protective role.
12 They come in. They clean up whatever is there, and
13 then they return to their quiescent state?

14 A To the extent that I understand microglia,
15 which is limited, I would say yes.

16 Q Okay. And if they stay activated, then they
17 can become toxic to neurons or astrocytes?

18 A Well, once again my understanding of
19 microglia is more limited than other people who are
20 going to be testifying later, so I'm going to have to
21 limit the scope of my answer here. My understanding
22 is that microglial activation is not necessarily a bad
23 thing and that the effects here are not necessarily
24 indicative of any neuropathology, but once again
25 remember we're talking about inorganic mercury effects

BRENT - CROSS

1940

1 at the concentrations that they give here.

2 If the inorganic mercury is causing adverse
3 effects, then if the seafood and the chicken people
4 are eating, and not the vaccine, because that's where
5 the far greater exposure comes from, and that doesn't
6 make any sense because everybody eating seafood and
7 chicken, including children who are getting it via
8 breast milk, getting the methyl mercury by breast
9 milk, and we don't think of breast milk as a
10 neurotoxin.

11 Q If we go down the column on the same page to
12 about where you have it highlighted where it says,
13 "Further loss of astrocytes..." It says, "Further
14 loss of astrocytes would be expected to have
15 deleterious effects on the neuron population, for
16 example, through an excitotoxic mechanism." You were
17 here when Dr. Kinsbourne testified that was his --

18 A Hypothesis.

19 Q His understanding of the mechanism that
20 could likely be at work here, that you would have
21 astrocytes no longer able to take up glutamate, so you
22 have an excess of glutamate and have neurons get
23 overexcited, right?

24 A Well, once again, you're getting little out
25 of the mercury area, so my answer here is going to be

BRENT - CROSS

1941

1 quite limited. What I took away from Dr. Kinsbourne's
2 testimony was that he was hypothesizing that there was
3 excitotoxic mechanism related to astrocytes' effect, but
4 here, for example, in this study there really wasn't
5 even that much loss of astrocytes and certainly what
6 we talked about, the exposure scenario. I won't bring
7 that up again.

8 Q Right. Although you want to talk about the
9 methyl mercury dose here, you recall that the authors
10 of the infant monkey study made a point of saying that
11 the levels of inorganic mercury in the brains of these
12 adult monkeys was only five times higher on average
13 than the levels they found in those infant monkey
14 brains, right?

15 A That's right, and I think that's very good
16 evidence therefore that the inorganic mercury is not
17 acting as a neurotoxin or else we're being poisoned
18 every day, and we're having autism being formed every
19 day from breast milk, from seafood, from chicken.

20 Q And then a sentence we haven't read yet,
21 it's just after it says Exposure Scenario, I read that
22 one. It says, "This form of long-term toxic response
23 may be mechanistically different than the focal damage
24 associated with acute high-levels exposure to methyl
25 mercury." Do you understand? In other words, in

BRENT - CROSS

1942

1 classic methyl mercury high dose toxicity, you get
2 lesions in particular parts of the brain, don't you?

3 A You do.

4 Q Some of those are probably from edema
5 causing extra pressure, and the fissures fold, and you
6 get focal damage in the fissures of the brain, right?

7 A Well, there's lots of different reasons.

8 Q But here what they saw was microglial
9 activation in all parts of the brain they looked at, a
10 global event.

11 A Where do you see that global?

12 Q Well, I'm just asking you the studies in
13 general. They report on --

14 A But they looked at very specific parts of
15 the brain. They looked at thalamus. They looked at
16 calcarine cortex, which is part of the visual pathway,
17 which is one that is particularly sensitive to mercury
18 toxicity.

19 Q And then a final point from this paper if we
20 go back to page 133. Well, let's see, three pages
21 prior to what we were just looking at. Okay. It's a
22 section on the left-hand column under neurons and
23 oligodendrocytes. I want to read starting at the
24 third sentence of that paragraph, above that. The
25 third sentence of that paragraph starts, "The lack of

BRENT - CROSS

1943

1 change...".

2 The authors of this study say, "The lack of
3 change, increase or decrease in the number of neurons,
4 does not mean that these cells are complete unaffected
5 by exposure to methyl mercury. Subcellular and
6 physiological changes are known to occur following
7 mercury exposure," and so the cells in this study were
8 counted by counting their nuclei. Hence, cells, which
9 were damaged, but not killed outright, would still be
10 included by the technique employed in this study."

11 Do you agree with that as a general proposal
12 that neurons can be dysfunctional without having been
13 killed?

14 A I agree that they did not detect any
15 neuronal injury in this study. It is possible that
16 had they looked by other techniques they might have
17 found some, and that's what they're saying here. They
18 didn't totally rule out, and certainly if they go to
19 higher doses, they probably would have even seen
20 something, but --

21 Q Now, earlier I asked you --

22 A If I could just finish my answer?

23 Q Sorry.

24 A Essentially what they're saying here is
25 look, we didn't see any neurotoxicity. It doesn't

BRENT - CROSS

1944

1 mean we can't rule out that any may have occurred that
2 we couldn't see. I would agree with that.

3 Q Earlier I asked you whether the fact that
4 they detected inorganic mercury in neurons in this
5 study, whether inorganic mercury neurons at the level
6 detectable here, namely in what? At least 10 parts
7 per billion. Would you agree --

8 A I don't know what their limits of detection
9 were.

10 Q I asked you if that was a good or bad thing,
11 and I think you didn't answer the question, so let me
12 ask it again. If you've got 10 parts per billion of
13 inorganic mercury in your neurons, is that a good
14 thing or a bad thing?

15 A Well, from the data we looked at before, we
16 saw that you could have in your brain, which is
17 primarily neurons, you can have in your brain hundreds
18 well in excess of 100 parts per billion of mercury
19 without any clinical effects. That study didn't
20 specifically look at which particular cells they were
21 in, but we know in this study, in the data that was
22 presented here with the neurons accumulating some
23 amounts of mercury that there were no observed adverse
24 effects.

25 Q When you refer to the 100 parts per billion

BRENT - CROSS

1945

1 studies, you're talking about the studies that looked
2 at total mercury, not inorganic mercury persisting in
3 the brain over time.

4 A Well, that's right, but that's what most of
5 the mercury that persists in the brain is going to be,
6 is inorganic mercury.

7 Q Now, the infant monkey study if you'll
8 recall referred after they started talking about the
9 inorganic mercury and what had happened in these adult
10 monkey studies, they refer to this Vargas paper, do
11 you recall that?

12 A Yes.

13 Q I want to just hit a couple quick high
14 points in that Vargas paper relevant to what we've
15 been talking about. We can pull it up. This is
16 Petitioners' master reference Exhibit No. 69.

17 A I don't have a copy of the Vargas paper
18 here.

19 Q Sorry.

20 MR. MATANOSKI: Your Honor, just for the
21 record, I don't believe the Vargas paper has been
22 discussed by this witness at all.

23 SPECIAL MASTER VOWELL: All right. And
24 you're objecting based on --

25 MR. MATANOSKI: I'm not sure. I guess I

BRENT - CROSS

1946

1 would like to see if the next question is going to go
2 to mercury and a toxicological question as opposed to
3 a neurological question.

4 SPECIAL MASTER VOWELL: Let's hear the
5 question, and then we'll decide. Go ahead, Mr.
6 Williams.

7 MR. WILLIAMS: I do believe he discussed the
8 Vargas paper on direct, and it's cited in the monkey
9 study as a relevant study, and part of what I'm trying
10 to establish is that he didn't examine all of the
11 relevant literature as he claims, but nevertheless,
12 let me see if I can make this relevant even to you.

13 BY MR. WILLIAMS:

14 Q Let me ask it this way. Do you agree that
15 it's part of a neurotoxicologist's job to determine
16 whether or not an agent could provoke
17 neuroinflammation?

18 A Sure.

19 Q Okay. Let's look at the abstract, just the
20 last half of the abstract if you can blow that up and
21 highlight it a little bit? It says, "We demonstrate
22 an active neuroinflammatory process in the cerebral
23 cortex white matter and notably in cerebellum of
24 autistic patients," and then they talk about some of
25 the biomarkers they found.

BRENT - CROSS

1947

1 They say, "Our findings indicate that innate
2 neuroimmune reactions play a pathogenic role in an
3 undefined proportion of autistic patients suggesting
4 that future therapies might involve modifying
5 neuroglial responses in the brain."

6 MR. MATANOSKI: Now I will object.

7 SPECIAL MASTER VOWELL: Mr. Williams, I need
8 to understand kind of where you're going here because
9 I don't find Vargas cited in the infant monkey study
10 in Burbacher unless I'm spelling it wrong. I was just
11 trying to put myself wherever the witness was. It's
12 Vahter, but I don't find Vargas.

13 MR. WILLIAMS: If you look, Special Master,
14 at page 6 of the infant monkey study?

15 SPECIAL MASTER VOWELL: Okay.

16 MR. WILLIAMS: This is Exhibit 26, page 6,
17 there's this long discussion of the adult monkey
18 studies in the right-hand column. At the very end of
19 that column or paragraph it says, "It is important to
20 note that an acting neuroinflammatory process has been
21 demonstrated in the brains of autistic patients,
22 including the marked activation of microglia, Vargas,
23 et.al.

24 SPECIAL MASTER VOWELL: Okay. It's just not
25 coming up when I do a search. All right. Thank you.

BRENT - CROSS

1948

1 MR. MATANOSKI: What I suggest, Your Honor,
2 is that the question be limited to the discussion of
3 Vargas in the Burbacher paper rather than to a
4 discussion of Vargas itself, which in the highlighted
5 part I see nothing that discusses mercury at all in
6 that. The question posed to --

7 SPECIAL MASTER VOWELL: I understand your
8 objection such as it is, and if Dr. Brent can answer,
9 he can answer, and if he can't, I'm sure he'll tell us
10 that it's outside his area of expertise.

11 MR. MATANOSKI: Thank you, ma'am.

12 SPECIAL MASTER VOWELL: Go ahead.

13 MR. WILLIAMS: With all due respect to Mr.
14 Matanoski, I don't believe he was --

15 SPECIAL MASTER VOWELL: I ruled.

16 MR. WILLIAMS: I'm sorry.

17 SPECIAL MASTER VOWELL: Just move on, Mr.
18 Williams, please?

19 BY MR. WILLIAMS:

20 Q I read the sentence that said, "Our findings
21 indicate that innate neuroimmune reactions play a
22 pathogenic role here." One of your slides seemed to
23 criticize Petitioners here for having one theory in
24 the Cedillo case about suppressing the immune system
25 and a theory about stimulating the immune system, but

BRENT - CROSS

1949

1 isn't there a big difference between the adoptive
2 immune system and the innate immune system that is
3 implicated in this paper?

4 A Well, let me answer it this way. First of
5 all, let me clear up a misconception of something you
6 said. I did not refer to the Vargas paper on my
7 direct testimony. Secondly, I have read the Vargas
8 paper, and I can tell you the word "mercury" exists no
9 where in this paper. Thirdly, whether you're talking
10 about the adoptive or the innate immune system, you're
11 basically talking about some components of the immune
12 system being stimulated.

13 Q But you do agree that in the Cedillo case,
14 the focus of the thimerosal damage to the immune
15 system was on the adaptive immune system, correct?
16 The ability to kill viruses?

17 A If you read my cross-examination by Ms.
18 Chin-Caplan in the Cedillo case, you will find that
19 she cited multiple high-dose studies dealing with
20 different aspects of the immune system and
21 immunological responses.

22 Q If we turn to page 12 of this Vargas paper,
23 in the left hand column at the very bottom, I'm going
24 to agree with you it doesn't mention the word mercury.
25 However, it says that, "One alternative explanation of

BRENT - CROSS

1950

1 this inflammatory process is that extrinsic causative
2 factors, for example, nongenetic neurotoxic or
3 environmental, involved in the pathogenesis of autism
4 may produce neuronal and cortical abnormalities to
5 which neuroglial reactions are only secondary
6 responses," do you see that?

7 A You read that correctly.

8 Q And again, is it your opinion in your
9 expertise in neurotoxicology that an agent that could
10 ignite the neuroinflammatory process is described in
11 this autopsy study of autistic people, any neurotoxin,
12 whether it's mercury or a virus that could ignite that
13 process should be on the list of potential etiological
14 factors for autism?

15 A When you say "on the list of potential," you
16 mean on the list of factors that might cause autism, a
17 list of factors that might be investigated as a
18 potential cause of autism? I'm not sure I understand
19 your question.

20 Q My question is do you agree with the
21 statement made in several of these papers that an
22 agent that can provoke a neuroinflammatory reaction is
23 a suspect for causing autism?

24 A I would have to say as a medical
25 toxicologist that is a question that would be best

BRENT - CROSS

1951

1 directed to a neuroscientist.

2 Q Have you looked at the terbutaline
3 situation? Terbutaline is a toxin, correct?

4 A Terbutaline is an FDA-approved drug. Like
5 any drug, depending upon dose and so on, it may have
6 adverse effects.

7 Q My question is when you were doing your
8 thorough and careful review of all the relevant
9 literature, did you look at the terbutaline model of
10 provoking autism and neuroinflammatory responses?

11 A Not really. I looked briefly at some of the
12 epi. It did not seem relevant to anything I was
13 discussing. I did not include that in my report. It
14 was not something in my discussion. I did look
15 briefly at it. I've heard much discussion of it here.
16 I will tell you in my opinion from only what I looked
17 at briefly, I think the discussion is a bit overblown
18 with regards to the degree of association and whether
19 such an association actually exists, but other than
20 that, I cannot say anything more about terbutaline.

21 Q Well, you say it's overblown. Let me just
22 quickly make a couple of points here, and then we'll
23 move off this topic, but if we look at the Connors
24 paper, which is Petitioners' Exhibit 73 --

25 A I don't have that. Thank you.

BRENT - CROSS

1952

1 SPECIAL MASTER VOWELL: And you were
2 referring to Petitioners' Master List? There may be a
3 different number of Petitioners' exhibits.

4 MR. WILLIAMS: I'm sorry. Petitioners'
5 Master Reference List 73, page 1. I just want to show
6 the title and the authors here.

7 BY MR. WILLIAMS:

8 Q Do you see that this is the group from Johns
9 Hopkins including Dr. Andrew Zimmerman as well as Dr.
10 Connors?

11 A Yes.

12 Q You're familiar with this group of
13 researchers, aren't you?

14 A I know Dr. Zimmerman.

15 Q And just to show you quickly the point of
16 the paper if you blow up that abstract? It says,
17 "Continuous terbutaline exposure for two weeks or
18 longer was associated with an increased concordance
19 for autism spectrum disorders in dizygotic twins and a
20 further increase in the risk for male twins with no
21 affected siblings." Now, don't you agree that's
22 evidence that terbutaline may be causing autism in
23 some children?

24 A That is evidence of an association in one
25 particular paper. I'm not even sure I see a

BRENT - CROSS

1953

1 statistical analysis of that. Let's see. Here it is.
2 No. That deals with the polymorphism. That by itself
3 would to me certainly raise the question that there
4 might be something there, but as I said before you
5 have to look at the totality of data. You can't
6 simply look at one association study.

7 As a matter of fact, if you look at the p
8 values I see here in Table 2, it's a nonsignificant p
9 value. It's a nonsignificant association to the total
10 group. If you look down in the bottom on that one
11 particular group, no ASD sibs or male/female sets with
12 a relative risk of 4.4, that's the one positive p
13 value. Based on that, I don't think you can make a
14 global causation conclusion. It's a bit of data.

15 It's a bit of data that certainly warrants
16 further looking at, but I don't think you can conclude
17 definitively that terbutaline causes this. There are
18 many through association that don't actually involve
19 causal relationships.

20 Q So if a physician was trying to run through
21 the possible causes of autism in a child, you wouldn't
22 consider putting terbutaline on the list of possible
23 agents?

24 A If somebody said to me I have an autistic
25 child in my practice, and that child received

BRENT - CROSS

1954

1 terbutaline prenatally, is that likely to have been a
2 contributor? I would say the jury is out. There is
3 some data that it might be, but we don't know for sure
4 yet.

5 Q Now, in fact this group has done a little
6 bit more research on this. Just briefly again if we
7 pull up Petitioners' Master Reference List No. 106,
8 which is the Zeratte paper, and let me get a copy for
9 the witness.

10 A Thank you.

11 Q Now, the title of this paper is
12 Neuroinflammation and Behavioral Abnormalities After
13 Neonatal Terbutaline Treatment in Rats, Implications
14 for Autism, and again the authors here, Zeratte is the
15 first author, but we have Connors, Vargas, Zimmerman
16 and Pardo. That's again a highly-respected group at
17 Johns Hopkins, right?

18 A Yes.

19 Q And just to show the abstract in the
20 conclusion -- I don't want to bother with that. Let's
21 go over to the other side. It says, "Our findings
22 indicate that overstimulation of these receptors
23 during an early critical period results in microglial
24 activation associated with innate neuroinflammatory
25 pathways and behavioral abnormalities similar to those

BRENT - CROSS

1955

1 described in autism," correct?

2 A That's what it says.

3 Q So these authors, these investigators at
4 Johns Hopkins have not only found an association
5 between terbutaline exposure and autism, in an animal
6 model they've found that it appears to be a
7 neuroinflammatory process.

8 A They have not said that this data shows that
9 terbutaline causes autism. I haven't seen anybody
10 make that kind of definitive statement. This is an
11 area of active research. I think people are looking
12 at it. There is some data out there that people are
13 looking at, but I haven't seen any definitive
14 statement by anybody. I haven't seen any definitive
15 study on this topic.

16 All I can say is it's out there. It's one
17 of the many things that's under investigation in
18 medicine. There may be something to it. There may
19 not when everything shakes out.

20 Q Now I just want to review the papers we've
21 gone through and ask you a question about each one.
22 the first one is the Burbacher/Clarkson infant monkey
23 study published in '05. It was discussed and cited in
24 your report as well as in our reports back in August
25 of '07, and my question is do you agree that that's a

BRENT - CROSS

1956

1 relevant paper for the Special Masters to consider?

2 A It is.

3 Q Okay. The next one was the five papers on
4 the adult monkey studies. Those were not cited or
5 discussed in your report, although they were in our
6 reports back in August. Do you agree that those are
7 relevant studies?

8 A Well, let me point out a couple of things
9 about that. I mentioned on a number of occasions why
10 I found those studies uninformative about the question
11 of whether thimerosal-containing vaccines contribute
12 to autism. Those studies provide no information about
13 that, nothing useful that can be used for that. You
14 may consider that I'm a medical toxicologist. My role
15 here is to comment on the theories put forth and the
16 hypothesis put forth by Dr. Aposhian. Dr. Aposhian
17 didn't discuss this paper.

18 Q Do you think that this is a relevant paper
19 for the Special Masters to consider?

20 A I will say again I see no way that this
21 paper can be informative to anybody about the question
22 of whether thimerosal-containing vaccines induce
23 autism. The paper is not even about autism, and if in
24 a matter of fact, if one were to try to take away from
25 these papers that inorganic mercury somehow is related

BRENT - CROSS

1957

1 to autism, then you have to look at where our major
2 exposure to inorganic mercury is, and our major
3 exposure to inorganic mercury is methyl mercury
4 through food and through breastfeeding.

5 Q You have said that several times. My
6 question is do you think this is relevant or not?

7 A I was just trying to explain to you why I
8 felt this was not a relevant paper.

9 Q The Vargas paper that talks about
10 neurotoxins as a possible cause of the
11 neuroinflammatory process as seen in autism, is that a
12 relevant paper?

13 A I will point out to you that whether it is
14 or is not a relevant paper I cannot comment on because
15 it did not deal with mercury. That's what I'm here to
16 discuss. It was not a toxicology paper. In terms of
17 any mercury-related issues? No, I find it irrelevant.
18 In terms of other issues here related to these
19 proceeding? I can't comment. It may or may not be.

20 Q So you don't know? Is that a fair way to
21 characterize it?

22 A I'm telling you that has nothing to do with
23 the issue of mercury and thimerosal-containing
24 vaccines. Whether it has to do with other issues that
25 come up in this proceeding, I cannot comment on.

BRENT - CROSS

1958

1 Q Okay. Pardo autism review paper, you
2 probably feel the same way about that?

3 A It did not mention mercury. It's not a
4 mercury-related paper. I just really don't want to be
5 offering opinions that are far outside of my area.
6 Knowing myself if I did, I would probably say
7 something wrong, that was incorrect.

8 Q So it would be fair for us to put in here
9 irrelevant from a toxicologist point of view?

10 A Yes.

11 Q That would be the right answer?

12 A For the Vargas paper?

13 Q The Vargas paper and the Pardo review of the
14 Vargas paper.

15 A From a toxicology point of view, yes. That
16 is outside of my area of expertise.

17 Q Okay. Right. And then the Courchesne
18 review, which I didn't show you here just to save
19 time, but that's the one that talks about anything
20 that can ignite this neuroinflammatory process?

21 A The Courchesne review was not about mercury.
22 I specifically said in discussing Dr. Aposhian's six
23 pillars that I was only going to address five of them.
24 One of them was Courchesne, which was not a toxicology
25 paper, and I was not going to address it.

BRENT - CROSS

1959

1 Q I remember you saying that, and then the
2 Connors and the Zeratte studies on terbutaline and
3 this neuroinflammatory property?

4 A My testimony here dealt with mercury and
5 thimerosal-containing vaccines, not with terbutaline.

6 Q Let me ask you this. Do you agree that
7 there are some identified post-natal agent exposures
8 that can cause autism?

9 A That would be best asked of an autism
10 expert.

11 Q Okay. On page 27 of your report, and we can
12 get it out. You say that thimerosal-containing
13 vaccines do not cause accumulation of mercury in
14 infants.

15 A Can you show me where that is?

16 Q Sure. Let's pull that up if we can, and
17 I'll identify it by exhibit number.

18 (Discussion held off the record.)

19 THE WITNESS: Can I get a copy of the
20 report?

21 MR. WILLIAMS: Respondent's Exhibit G, page
22 27.

23 THE WITNESS: Page 27? Okay. Please go
24 ahead.

25 MR. WILLIAMS: I may have written it down

BRENT - CROSS

1960

1 incorrectly. No. It's there.

2 BY MR. WILLIAMS:

3 Q In the middle paragraph of page 27, it says,
4 "Therefore, because the ethyl mercury from episodic
5 vaccinations is rapidly eliminated, the exposure is
6 not continuous, nor is it cumulative." Right in the
7 middle of the page.

8 A Right.

9 Q Now, based on our review of the Burbacher
10 infant monkey study and the adult monkey studies, do
11 you agree now that that's an incorrect statement?

12 A Not really. I mean, you could talk about
13 the fact that whenever you get a vaccination, most of
14 the mercury is eliminated. You get a small amount
15 that remains in the brain, but it is so minuscule
16 compared to the brain concentrations of mercury that
17 you really don't get any significant bioaccumulation
18 from it.

19 Q Just a couple of more points. You mentioned
20 the Easter case?

21 A Yes.

22 Q The child was unsuccessful in federal Court?

23 A That's correct.

24 Q You actually testified at the hearing in
25 that case, didn't you?

BRENT - CROSS

1961

1 A No.

2 Q Just by deposition?

3 A That's correct.

4 Q You do know that Judge Ward specifically
5 said he was not ruling on general causation. It was
6 only a specific causation question, do you agree with
7 that?

8 A I don't remember that particular language.

9 Q And that he also told the child and the
10 child's parents that when they have stronger evidence
11 they could come back. He didn't dismiss the case
12 forever?

13 A I don't recall that, but I'll accept your
14 interpretation.

15 Q Then the final question is this: We know
16 that the Burbacher group is looking at the pathology
17 of those infant monkey brains to see if they find the
18 same neuroinflammatory processes in the adult monkeys
19 or what else they find. Do you think it's appropriate
20 for your or for the scientific community in general to
21 close the door on the question of whether thimerosal
22 can cause autism before we know the results of that
23 study?

24 A I would have to say this: There's always
25 people doing more studies on more things. If somebody

BRENT - CROSS

1962

1 has funding to do a study, they're going to do the
2 study. At this point, my position is very much the
3 same as the IOM and all the rest of these
4 organizations that resources would be better spent
5 other places that there is an overwhelming body of
6 evidence that thimerosal-containing vaccines are not
7 associated with autism. People will continue to do
8 studies from time to time.

9 I don't think you can say that one should
10 not take a position on what the huge body of medical
11 literature says based on waiting for one particular
12 study to be published.

13 Q I don't want to let you escape with the word
14 autism versus regressive autism, so let me put the
15 question to you again.

16 A Sure, sure.

17 Q If the Special Masters are considering the
18 question of whether thimerosal leading to inorganic
19 mercury in the brain leading to neuroinflammation can
20 cause autistic symptoms. Do you think that they would
21 be good to wait for the results of that brain study or
22 not?

23 A You have to look at it like this. That's
24 kind of illogical because Burbacher's monkeys were
25 presumably normal monkeys. We've heard testimony here

BRENT - REDIRECT

1963

1 that there was this subset of susceptible individuals
2 who get regressive autism from mercury, and everybody
3 else just does find with their vaccines. There is no
4 logical reason to possibly conclude that the Burbacher
5 monkeys represent a susceptible subpopulation that's
6 likely to get regressive autism, so that wouldn't even
7 be the right model to look at that.

8 Q What about the terbutaline study on rats
9 that look at neuroinflammation. Are you saying that's
10 a useless study then, too?

11 A I didn't say it's useless. I'm just saying
12 it's uninformative about the question about whether
13 thimerosal from vaccines causes autism.

14 Q Regressive autism.

15 A Any kind of autism.

16 MR. WILLIAMS: Thank you.

17 SPECIAL MASTER VOWELL: Respondent, any
18 further questions for Dr. Brent?

19 MS. RENZI: I just have a few followup
20 questions.

21 REDIRECT EXAMINATION

22 BY MS. RENZI:

23 Q Dr. Brent, I just want to clarify some
24 questions Mr. Williams asked you about the series of
25 Vahter papers.

BRENT - REDIRECT

1964

1 A Yes.

2 Q He talked about the doses of the inorganic
3 mercury that was administered to the monkeys in that
4 study?

5 A He did.

6 Q Could you discuss those doses and how they
7 relate to the question at hand?

8 A Sure. That was the point that I had tried
9 to make that if you look at that study that shows
10 inorganic mercury deposition in the brain and
11 microglial activation for whatever reason that is due
12 to the inorganic mercury. The inorganic mercury in
13 our brain comes primarily from methyl mercury from
14 seafood, from breastfeeding, from even chicken.

15 If that study actually represented a model
16 of autism, then we would have an awful lot of autism
17 from breastfeeding, and we would have an awful lot of
18 autism from seafood, so it can't possibly represent an
19 appropriate model.

20 Q And what was the significant, if any, to the
21 findings that were in the calcarine sulcus cortex?

22 A Right. Yes. That was one of the areas in
23 the brain that was looked at by the Charleston and
24 Vahter studies, and that is a particular area of the
25 brain that is a target area for mercury, so certainly

BRENT - REDIRECT

1965

1 when you give those kinds of doses of mercury, you're
2 going to see effects in that particular area. It
3 involves the visual pathways.

4 Q And did those papers actually discuss
5 astrocyte death?

6 A No, no, no. It did not actually show
7 astrocyte death.

8 Q And I know you've discussed and said several
9 times that those monkeys shows no clinical symptoms,
10 is that correct?

11 A That's correct.

12 Q So accepting the results of the Vahter
13 study, what does this tell you about clinical findings
14 you would expect to see with thimerosal-containing
15 vaccines?

16 A Well, I'm sure the Vahter study data is
17 valid. I'm sure it's a good study. It comes from a
18 good lab, and I accept the results as they're
19 published. What it tells us is that if you give these
20 very high doses, you get this neuroinflammation.
21 Excuse me. They didn't show neuroinflammation. You
22 get this microglial activation. We don't know what it
23 means or what the significance of it is because the
24 monkeys were clinically fine.

25 All of us have microglial activation under

BRENT - REDIRECT

1966

1 some circumstances all the time, but if that process
2 once again were to lead to autism, and inorganic
3 mercury was the cause of autism, then it would be our
4 major sources of inorganic mercury, which are
5 breastfeeding and food and diet.

6 Q And what do these series of studies with
7 adult Macaques tell you about thimerosal-containing
8 vaccines causing autism in infants?

9 A Well, those studies didn't deal with autism
10 to begin with, so the studies themselves really don't
11 deal with autism, and therefore there's really no
12 conclusion you can reach about that.

13 Q And another clarification, and I'm going to
14 go back to the Burbacher paper, the doses of the 20
15 micrograms per kilogram administered to the monkeys
16 over four different vaccines?

17 A Yes.

18 Q What would a child have to weigh to receive
19 80 micrograms per kilogram of ethyl mercury over those
20 first six months of life?

21 A We did a little back of the envelope type of
22 calculation at lunch. It turns out to get that amount
23 from vaccine, a child would have to weigh 2.3
24 kilograms at six months, a rather unlikely scenario.

25 SPECIAL MASTER VOWELL: 2.3 kilograms?

BRENT - REDIRECT

1967

1 THE WITNESS: Four and a half pounds.

2 SPECIAL MASTER VOWELL: Could you convert it
3 to those of who are --

4 THE WITNESS: Four and a half pounds.

5 SPECIAL MASTER VOWELL: Four and a half
6 pounds at six months?

7 BY MS. RENZI:

8 Q If the mode of injury discussed today is
9 inorganic mercury, why isn't this happening to people
10 without thimerosal-containing vaccines?

11 A That's the exact question I've been raising
12 all day. Children today and all throughout time have
13 been getting methyl mercury converted to inorganic
14 mercury at doses in excess of what they get from
15 vaccines just from breastfeeding not to speak of diet.

16 Q And if we assume that microglia continue to
17 stay active solely because of the presence of
18 inorganic mercury, what can we assume will happen
19 because of this exposure to inorganic mercury from
20 dietary sources in humans?

21 A Well, this was demonstrated of course
22 throughout a high-dose experiment, and if indeed it's
23 very, very low doses of inorganic mercury from either
24 breastfeeding or from vaccines were to cause
25 microglial activation, then as individuals continue to

BRENT - REDIRECT

1968

1 take in methyl mercury through their diet, their
2 microglial activation would just continue to increase
3 and increase and increase, and we would all have very
4 high degrees of microglial activation.

5 Q And the last question. Is there anything
6 else you'd like to comment on today?

7 A I think I've commented quite a bit. You're
8 all probably quite tired of hearing from me.

9 MS. RENZI: I have no further questions.

10 SPECIAL MASTER VOWELL: Mr. Williams?

11 MR. WILLIAMS: Nothing.

12 SPECIAL MASTER VOWELL: I have a couple of
13 questions for you. You can't quite leave, Dr. Brent.

14 THE WITNESS: Please. I want to take you
15 back to the Burbacher article briefly.

16 THE WITNESS: Yes. Sure.

17 SPECIAL MASTER VOWELL: As I'm reading the
18 article and hearing the testimony, the researchers
19 there used equivalent doses of methyl and ethyl
20 mercury.

21 THE WITNESS: That is correct.

22 SPECIAL MASTER VOWELL: One administered
23 intramuscularly and the ethyl mercury and the other
24 administered orally of methyl mercury.

25 THE WITNESS: That is correct.

BRENT - REDIRECT

1969

1 SPECIAL MASTER VOWELL: And let me phrase
2 this in terms first of lethal dose. If we're talking
3 lethal dose of ethyl mercury versus lethal dose of
4 methyl mercury, are we talking the same amount, or is
5 there some rough equivalency that one measure of ethyl
6 mercury is equivalent to one of methyl mercury.

7 THE WITNESS: The study that I can think of
8 that address that was the 1985 study of Dr. Magos
9 where they gave equivalent doses of methyl and ethyl
10 mercury, and they found that for the same does, you
11 actually get a bit more neurotoxicity from methyl
12 mercury than from ethyl mercury anatomically.

13 SPECIAL MASTER VOWELL: But you don't have
14 any idea of what the equivalency is?

15 THE WITNESS: It wasn't a huge difference
16 because they then gave I think about 20 or 30 percent
17 higher of ethyl mercury than methyl mercury, and they
18 found a similar amount of damage as they did in the
19 lower dose of methyl mercury, so methyl mercury is
20 about 20 or 30 percent more neurotoxic than ethyl
21 mercury in that study.

22 SPECIAL MASTER VOWELL: In terms of how much
23 mercury ends up in the brain as inorganic mercury, can
24 you tell me the difference between the necessary dose
25 of methyl mercury versus a dose of ethyl mercury? Is

BRENT - REDIRECT

1970

1 there any comparison? I apologize for being
2 inarticulate, but it sounded as if --

3 THE WITNESS: No, no, no. I absolutely
4 understand your question. If you look at the
5 Burbacher study, then you see that the amount of
6 mercury in the brain following thimerosal
7 administration ends up being primarily inorganic
8 mercury, and I think we looked at a number. It was
9 over 10. I think I saw a number of 16 or something of
10 that, parts per billion. If you look at what happens
11 when you give the equivalent dose of methyl mercury,
12 and I wonder if we could bring up the Burbacher methyl
13 mercury slide?

14 In fact, why don't we put them next to each
15 other so you can exactly the mercury concentrations
16 that are achieved under both circumstances. Okay. So
17 on the right, you have thimerosal, and as you can see,
18 the data finds the amount of inorganic mercury, and
19 it's something of a lower 10, and the ethyl mercury at
20 its peak looks like 10, 20, something between 20 and
21 30 parts per billion. It rapidly goes away.

22 Methyl mercury at a similar dose gives the
23 levels that you see here, maybe about seven parts per
24 billion of inorganic mercury, but on top of that, the
25 organic mercury is up at about 100 parts per billion,

BRENT - REDIRECT

1971

1 so it is far, far in excess a similar dose of methyl
2 mercury. It gives you far, far more combined organic
3 and inorganic mercury in the brain than you will get
4 from ethyl mercury, and this is the best comparison I
5 know.

6 SPECIAL MASTER VOWELL: And your testimony
7 then is that organic methyl mercury will be converted
8 to inorganic mercury but albeit at a slower rate than
9 the ethyl mercury on the right slide that's already
10 been converted to?

11 THE WITNESS: Well, to be scientifically
12 precise, we know that methyl mercury is slowly
13 converted to inorganic mercury. It is possible that
14 not 100 percent of it will do that. Some of it may
15 actually leave the brain. We don't know. What we do
16 know is that the difference in the mercury
17 concentrations at the beginning of the experiment all
18 the way on the left, if you look at Figure 4, and at
19 the end of the experiment on day 28 on the right are
20 not significantly different from each other.

21 That would suggest that if any leaves the
22 brain at all, it's a very small and nondetectible
23 amount. That which remains behind, yes, will be
24 ultimately converted to inorganic mercury.

25 SPECIAL MASTER VOWELL: I think those are my

BRENT - REDIRECT

1972

1 questions. Questions from the either side based on my
2 question? Do either of my colleagues have any
3 questions? Apparently not. Other questions?

4 MS. RENZI: I have one more for Dr. Brent.

5 SPECIAL MASTER VOWELL: Go ahead.

6 REDIRECT EXAMINATION (RESUMED)

7 BY MS. RENZI:

8 Q Dr. Brent, if Dr. Burbacher were to publish
9 in the future findings of microglial activation in the
10 infant monkeys that he studies, similar to the
11 findings described in the Charleston and Vahter
12 papers, would that change your opinion here today?

13 A No. They couldn't. I'm just testifying
14 about inorganic mercury from any source because it's
15 the same inorganic mercury, and so if there is
16 microglial activation, that microglial activation can
17 just as well come from and more likely would come from
18 the much larger doses of methyl mercury from
19 breastfeeding and diet.

20 MS. RENZI: I have no further questions.

21 SPECIAL MASTER VOWELL: Mr. Williams?

22 MR. WILLIAMS: Nothing.

23 SPECIAL MASTER VOWELL: Dr. Brent, you're
24 excused.

25 THE WITNESS: Thank you very much. I

1973

1 appreciate your patience.

2 (Witness excused.)

3 SPECIAL MASTER VOWELL: It's 3:15. Do you
4 want to see to your next witness or take a mid-
5 afternoon break? What's your preference? I know it
6 would be early to take a mid-afternoon break. I'm
7 just trying to get a feel for where you expect things
8 going today?

9 MR. MATANOSKI: Could we take a brief break
10 just because we have to switch counsel anyway at this
11 point?

12 SPECIAL MASTER VOWELL: Ten minutes?

13 MR. MATANOSKI: I just turned to Ms. Renzi,
14 and I said what would you like to do, and she said I'm
15 done, so she's going to definitely take her break, but
16 we have to do a little switching anyway.

17 SPECIAL MASTER VOWELL: How much time? Five
18 minutes? Ten minutes? What do you need?

19 MR. MATANOSKI: Five minutes maybe, or would
20 you rather make this an afternoon break? I believe
21 this witness will be fairly short on direct.

22 SPECIAL MASTER VOWELL: I'm sorry?

23 MR. MATANOSKI: I believe this witness will
24 be fairly short on direct.

25 SPECIAL MASTER VOWELL: Any projection on

1974

1 how short is short? What we're trying to decide is
2 whether to give you a long break now and then proceed
3 straight through otherwise or --

4 MR. MATANOSKI: I understand it will be
5 about an hour, perhaps a little less. Would you like
6 to take our afternoon break in light of that?

7 SPECIAL MASTER VOWELL: Let's just take five
8 minutes now.

9 (Whereupon, a short recess was taken.)

10 SPECIAL MASTER VOWELL: All right. We're
11 back on the record, and we have Dr. Mailman on the
12 stand. Would you raise your right hand, please?

13 Whereupon,

14 RICHARD B. MAILMAN

15 having been duly sworn, was called as a
16 witness and was examined and testified as follows:

17 SPECIAL MASTER VOWELL: Thank you. Ms.
18 Babcock, you may proceed.

19 MS. BABCOCK: Could you distribute the slide
20 presentation, please? This is Respondent's Trial
21 Exhibit 5.

22 (The document referred to was
23 marked for identification as
24 Respondent's Trial Exhibit
25 No. 5.)

MAILMAN - DIRECT

1975

1 SPECIAL MASTER VOWELL: And do we have
2 copies for us?

3 MS. BABCOCK: Yes.

4 DIRECT EXAMINATION

5 BY MS. BABCOCK:

6 Q Good afternoon. Could you please state your
7 name for the record?

8 A Yes. My name is Richard Bernard Mailman.

9 Q And could you briefly describe your
10 collegiate and graduate education?

11 A Yes. I received a bachelors degree in
12 chemistry and food science from Rutgers University.
13 Following that, I earned a masters and PhD in
14 physiology with a minor in toxicology from North
15 Carolina State University. Following my PhD, I did
16 postdoctoral training in both drug metabolism and then
17 neuropharmacology at the University of North Carolina
18 School of Medicine.

19 Q And what is your current academic position?

20 A I'm currently a professor of psychiatry,
21 pharmacology, neurology and medicinal chemistry at the
22 University of North Carolina School of Medicine.

23 Q And what are your professional
24 responsibilities, and how is your time split up
25 between teaching, clinical research, administrative

MAILMAN - DIRECT

1976

1 duties?

2 A My position is largely a research position.
3 I spend about two-thirds or 75 percent in research
4 related activities, and there remaining time is spent
5 in teaching and training of graduate students, medical
6 students, residents and professional students and
7 other kinds of programs.

8 Q And could you please tell the Court about
9 your current research focus?

10 A Certainly. Actually, if you could turn to
11 the second slide, these are actually an illustration
12 of two journal covers, which we were fortunate to have
13 our papers highlighted on, and essentially my interest
14 is in the structure, function and signalling of
15 dopamine receptors and the use of that information to
16 design drugs. If you turn to the next slide, I guess
17 I'm not a public person, but we were very fortunate
18 that one of our papers was identified as a hot new
19 area of pharmacology, and I think this is the first
20 public interview I've ever had conducted online.

21 SPECIAL MASTER VOWELL: And that's page 3.

22 THE WITNESS: That's page 3. Thank you.

23 BY MS. BABCOCK:

24 Q So it's safe to say you've published on the
25 topic of dopamine receptors?

MAILMAN - DIRECT

1977

1 A Yes. I think I have over 170 peer-reviewed
2 publications and probably about half that many
3 chapters, and I would say probably at least two-thirds
4 of them involve dopamine receptors.

5 Q And are you on any editorial boards, or do
6 you review for professional journals?

7 A Yes. I'm currently on three editorial
8 boards, and I've actually probably did another eight
9 or 10 over the years of rotating service, and in
10 addition I review papers for journals, probably about
11 15 or 20 different journals a year.

12 Q Now, did you review the materials and
13 literature in this case as it relates to your area of
14 expertise?

15 A I did.

16 Q And you also prepared an expert report,
17 which has been filed in this case, I believe
18 Respondent's Exhibit AA. You also listened to the
19 testimony of Dr. Deth?

20 A I did.

21 Q Now, out of curiosity, was this the first
22 time you've ever been asked scientifically to consider
23 this or you ever considered this general issue from a
24 scientific standpoint?

25 A Interestingly, I am married to a research

MAILMAN - DIRECT

1978

1 neurologist, who also has a PhD in pharmacology and
2 toxicology, and we were very fortunate that she became
3 pregnant in 2001, and at that point in time, this
4 issue was receiving a lot of press. I think a paper
5 in nature we remember, so my wife and I as both
6 scientists and having an interest in the issue had to
7 review it as carefully as we could to try and make a
8 decision about what we would do with our unborn child.

9 In fact, we did do that review, and our
10 child was vaccinated we're happy to say, and so while
11 that review is a little less thorough I think than all
12 the materials submitted here, we certainly took it
13 very seriously, and acted on our view of the
14 literature.

15 Q Now, I'd like to start with expanding on
16 some of the issues in your expert report starting out
17 with a conversation about scientific method generally
18 on Slide 4 right now. We've heard the terms
19 hypothesis and theory used in this trial. Could you
20 explain these terms to us as it relates to scientific
21 method?

22 A Yes, I would be glad to, and I must say
23 these are terms that are too often misused that
24 actually have very precise meanings.

25 Q And let me interrupt for a moment now. I'm

MAILMAN - DIRECT

1979

1 sorry. We switched quickly to Slide 5 just so the
2 Court can follow along later.

3 A Right. So essentially a hypothesis is an
4 idea that one has about why something occurs or what
5 might be a result of a certain phenomenon. This can
6 come from a bad dream. It can come from a lightbulb
7 going off in your head. It can come from hearing
8 somebody else speak about their work. It can come
9 from somebody else's ideas, and I think this is one of
10 the creative parts of science is how to generate
11 hypothesis.

12 However, the scientific method demands
13 something that's not generally appreciated, and that
14 is one should seek to disprove one's own ideas, not to
15 prove them, and it turns out there's quite a large
16 difference in these two types of approaches.
17 Essentially, disproving an idea is to test it
18 rigorously and look for ways to show that the idea is
19 wrong.

20 The notion behind this is that if one does
21 that over and over again, and you fail to disprove a
22 hypothesis, that hypothesis then gathers additional
23 weight and eventually if that's done by multiple
24 investigators and done critically, one then develops
25 what is called a theory, so a theory is actually a

MAILMAN - DIRECT

1980

1 much higher level idea than a hypothesis.

2 Although the term is sometimes used in a
3 flippant kind of sense, a theory really means an idea
4 that has been investigated relatively rigorously and
5 is generally believed to be true, not something that
6 one speculates about. Ultimately, if a theory is
7 tested again continuously and it's never found to be
8 false, one may actually turn it into a law.

9 Now some theories are later disproved. Even
10 some laws are later disproved, but these are sort of
11 the echelon of scientific ideas, and I think it's
12 very, very important when one considers any scientific
13 idea, but especially one that has such broad
14 ramifications to remember how we should approach these
15 types of problems.

16 Q Now, have you been involved in this sort of
17 approach as it relates to controversial hypotheses
18 before?

19 A In a sense, I was dragged into something
20 about 25 years ago. If you can have it out in the
21 next slide please, and this related to the issue --

22 Q Slide 6.

23 A This is Slide 6. I'm sorry. This is
24 related to the issue of whether food colors cause
25 childhood hyperactivity, and if you can add Slide 7,

MAILMAN - DIRECT

1981

1 please? In 1974 I believe, a pediatrician named
2 Benjamin Feingold published the book called *Why Your*
3 *Child is Hyperactive*, and he essentially said that
4 most, if not all, of childhood hyperactivity is really
5 due to dietary factors.

6 That is that children who would ingest
7 synthetic food colors, coupled with a couple of
8 natural ingredients that are found in some foods, the
9 synergy between those two would actually cause most of
10 childhood hyperactivity. Now, obviously if something
11 like this were true, it provides a very easy way to
12 eliminate this very important medical problem. He
13 advocated a certain kind of elimination diet in his
14 book and parents in open kinds of ways started
15 following this diet.

16 We started getting a lot of anecdotal
17 reports of its dramatic effectiveness. People would
18 swear by it. The medical community picked up on this
19 and of course then designed controlled clinical trials
20 to test this, and you can imagine how to test a diet
21 where you take out a lot of things that children would
22 normally eat, plus have to test food colors, which are
23 very easy to see visually. They ended up having to
24 use a black cookie to give to the kids, so whatever.

25 Those controlled trials suggested that in

MAILMAN - DIRECT

1982

1 fact the diet did not have effects, but while this
2 work was going on, a paper appeared in science, if you
3 turn to Slide 8, by Lafferman & Silbergeld, and
4 essentially what they reported in this very high-
5 profile paper was that one of these food colors in
6 particular, Red No. 3, actually seemed to be the main
7 culprit.

8 They postulated that there was a mechanism
9 involving my favorite neurotransmitter called dopamine
10 that actually why this food color could cause children
11 to be hyperactive. Now, when this paper was
12 published, we reviewed it very carefully, and what we
13 saw in relationship to what I talked about with the
14 scientific method was that aspects of that work were
15 not well controlled and that there were ways that they
16 should have examined this hypothesis further before
17 actually publishing a paper of such impact.

18 We felt strongly enough about it that we
19 actually went into the lab and did those very studies,
20 and if you'd turn to Slide 9, you'll see that science
21 also published our work, and we think we explained
22 that in fact the work of Lafferman & Silbergeld was an
23 artifact, that it had nothing to do with a real
24 phenomenon that would change the behavior of children,
25 and we went so far as to actually then test that in

MAILMAN - DIRECT

1983

1 rats.

2 We gave the rats very, very large doses of
3 this food color, and two things were very noticeable:
4 1) they didn't become hyperactive, but they did become
5 red, so we thought that that was quite good evidence
6 that we'd given an adequate enough dose and that this
7 issue was settled. A few years later, the National
8 Institutes of Health held a consensus symposium to try
9 to really resolve this overall issue.

10 If you'll turn to Slide No. 10, I've just
11 pulled out -- I'm sorry. Let me just go back a
12 second. In our paper in *Science*, we really put forth
13 like Dr. Brent talked about, you can sometimes
14 speculate in the discussion of papers, and we actually
15 offered our own philosophy, and I underlined that
16 sentence in red.

17 We said, "Whatever the outcome of future
18 scientific and clinical experimentation," because
19 certainly people can feel free to review these kinds
20 of issues further, "cautious presentation and
21 interpretation of data will prevent expensive and
22 spurious perturbation of the public and scientific
23 consciousness, so we felt especially in areas that are
24 public health relevant, one really has to follow the
25 scientific method very carefully.

Heritage Reporting Corporation
(202) 628-4888

MAILMAN - DIRECT

1984

1 Now, again if you turn to slide 11, the
2 National Institutes of Health consensus panel actually
3 reviewed our evidence among other things related to
4 this issue, and I think they came down on the side
5 that 1) Red No. 3 was not something that caused
6 hyperactivity, and in addition, that these food colors
7 and these elimination diets were not really a cure, if
8 you will, for hyperactivity. I think that view has
9 really held up relatively well over the next two
10 decades.

11 Q Now I wanted to move to a discussion of Dr.
12 Deth's hypothesis specifically as it relates to the D₄
13 dopamine receptor. From his slide presentation, I
14 think that Slide 29 was probably the best graphical
15 picture of it, which we've just gone ahead and
16 incorporated into Slide 13 in your presentation.

17 A Right.

18 Q From your area of expertise, what areas do
19 you agree with, and what do you disagree with?

20 A Is this the best monitor?

21 Q Yes, just be clear when you do it to try and
22 describe what part of the picture you're pointing to
23 so it's clear on the transcript later.

24 A So at least in the initial work that I
25 reviewed, the primary causative mechanism that seemed

MAILMAN - DIRECT

1985

1 to be postulated was an effect on one of the dopamine
2 receptors called the D₄, and that's illustrated at the
3 bottom left-hand part of this cartoon, and essentially
4 Dr. Deth postulates that not only is this the
5 molecular site of action, but in addition that this
6 receptor plays a major role in attention and awareness
7 to the right of that.

8 This became the focus of my review of this
9 aspect of the matter.

10 Q And we'll get into the details momentarily,
11 but is it safe to say you disagree with certain
12 aspects and how he's characterized this?

13 A That's correct. I have strong disagreements
14 with his point of view.

15 Q Now, what role does dopamine have in the
16 brain, and how does it relate to attention and
17 awareness?

18 A Right. So this is now Slide No. 14, and
19 it's my cartoon of a cross-section of a human brain.
20 On the top right-hand section just for your
21 information is the structure of dopamine. It's a very
22 central molecule, and as I may comment on later, let
23 me just point out the left-hand part of this molecule
24 has the ring and two OH groups, and this is called a
25 catechol, which actually is I think very, very

MAILMAN - DIRECT

1986

1 important issue that we may discuss later.

2 SPECIAL MASTER VOWELL: I'm sorry. I didn't
3 get that word.

4 THE WITNESS: Yes. Catechol.

5 SPECIAL MASTER VOWELL: Okay.

6 THE WITNESS: C-A-T-E-C-H-O-L. Anyway, so
7 dopamine is made by nerve cells, and most of those
8 nerve cells, about 80 percent of the nerve cells use
9 dopamine in the brain. They're located right here in
10 the middle in these two round, darker dots, so these
11 are actual cell bodies of the nerve cells, and they
12 send long processes to various parts of the brain.

13 This area here in the middle is called the
14 basal ganglia. It's very, very important in terms of
15 motor control, some integration of function, and it's
16 an area affected in Parkinson's disease, which Dr.
17 Deth I think mentioned. This area here and here are
18 parts of the cortex, and they play a role in attention
19 arousal, cognition and emotion, and each of these
20 areas comes from one of these two parts here.

21 The top part here is actually the one
22 important for motor function. The bottom part here is
23 the one important for cognition, emotion and
24 attention.

25 BY MS. BABCOCK:

Heritage Reporting Corporation
(202) 628-4888

MAILMAN - DIRECT

1987

1 Q So are there different dopamine receptors
2 then?

3 A Right. So what happens? If you can go back
4 to Slide 14 for one second. Thank you. These areas
5 here as I mentioned in the middle are the nerve cell
6 bodies, and they send these long processes, and
7 dopamine is largely released to communicate with other
8 cells where these little forks are located here and
9 here and here in the left-hand side. These are called
10 the terminals.

11 When a dopamine nerve cell fires when it's
12 electrically excited, it releases a small amount of
13 this neurotransmitter dopamine. Instead dopamine has
14 to do something, and what it does is it binds to
15 proteins called dopamine receptors, and Dr. Deth
16 showed the Court one those dopamine receptors in a
17 picture that I'll show you later. If you go now to
18 Slide No. 15, it turns out we know a lot about these
19 dopamine receptors.

20 Initially, many years ago people described
21 it based on their sensitivity to certain classes of
22 drugs and divided these receptors into D₁-like and D₂-
23 like, and when molecular cloning took place, we
24 learned that there are actually five different genes
25 that make these kinds of receptors. Two of these

MAILMAN - DIRECT

1988

1 genes make the D₁ receptor family, the D₁ and D₅ are
2 the gene names. Three of the other genes make three
3 other receptors that are called D₂-like.

4 These genes are D₂, D₃ and D₄, and Dr. Deth
5 actually has placed special emphasis on this D₄
6 receptor that's at the very bottom of that schematic
7 that I wrote. I would just point out to the Court's
8 attention, on the left-hand side, this looks like as
9 snake run over by a steamroller, but this is actually
10 a cartoon depiction of the receptor in a 2-D kind of
11 version, so the D₁-like receptors, the square box in
12 the middle as I'll remind you from Dr. Deth's
13 testimony is what's called the cell membrane.

14 It's made up of phospholipid, and I've seen
15 stems left and right, and I just had a short part of
16 it. These receptors go through that cell membrane
17 seven different times. Every time they go in and out,
18 they make a loop either on the outside here, the three
19 outside loops or three inside loops, and then they
20 have a beginning tail and an ending tail on the
21 outside and inside of the cells.

22 What you'll notice is that as I've drawn
23 this cartoon, the D₁ receptors and the D₂ receptors
24 actually differ. The D₁ receptors have this very long
25 tail on the inside. The D₂ have a very short tail on

MAILMAN - DIRECT

1989

1 the inside. The D_1 has a small loop here, and the D_2
2 has a much larger loop here, and that is going to be
3 very relevant to I think Dr. Deth's hypothesis.

4 Q Now, Dr. Deth showed us a picture of the D_4
5 receptor, which would be under the D_2 -like pictures
6 that you just described, and I don't recall those
7 loops being there, is that important?

8 A Right. If you would turn now to Slide No.
9 18 I believe.

10 Q Sixteen?

11 A I'm sorry. Sixteen.

12 Q It's Slide 9 from Dr. Deth's presentation.

13 A Okay. This was actually Dr. Deth's Slide 9,
14 and now to take my steam rolled receptor and look at
15 hid 3-D illustration. I'm color blind, so the Court
16 is going to have to sort of follow me with the
17 pointer, but this area here, which I think has reds
18 and greens and turquoise --

19 Q Aqua and red, yes.

20 A Okay.

21 SPECIAL MASTER VOWELL: The far right of the
22 diagram.

23 THE WITNESS: Right. This is the
24 phospholipid membrane that forms the outer boundary of
25 all cells, so here's phospholipid membrane, and

MAILMAN - DIRECT

1990

1 obviously this would extend all the way around the
2 cell. The blue, if I'm correct --

3 MS. BABCOCK: Yes.

4 THE WITNESS: The blue part in the middle is
5 actually the D₄ dopamine receptor, and what Dr. Deth
6 talked about is methionine synthase interacting as he
7 shows in his cartoon directly with the receptor. In
8 fact, as you saw in the previous slide, there's a lot
9 of parts of the receptor that actually would be here,
10 and I don't believe there's any evidence at all for
11 this direct interaction of methionine synthase
12 directly with that part of the receptor.

13 BY MS. BABCOCK:

14 Q Now, I think the next slide is just we
15 pulled what Dr. Deth said about this slide from last
16 week's audio recording.

17 A Right. Again, with the Court's permission,
18 we can just skip down towards the middle here. So
19 what he said is that dopamine makes that available for
20 donating a methyl group, and the methyl group is
21 transferred from the receptor to the phospholipid, and
22 the new one to replace it comes from the enzyme
23 methionine synthase and the methylfolate cofactor that
24 it requires.

25 It startled us to learn that the methylation

MAILMAN - DIRECT

1991

1 of the membrane around the receptor would change the
2 physical properties of the receptor in this local
3 area, and to my knowledge I know of no evidence at all
4 that the physical properties are changed around this
5 area, that this kind of transfer reaction takes place,
6 so while this might be a hypothesis that one would
7 wish to study in the laboratory, the idea that it
8 should be considered prior to having data about it I
9 think it not correct.

10 Q Now if we switch to Slide 18, this is
11 further support for why there's so much going on down
12 with the loops then?

13 A Right. So I've taken my steamrolled D₂
14 receptor, and I've pointed out these large loops that
15 are so very important in signalling, and we now know
16 that these loops in fact interact with dozens of other
17 proteins to give a richness of signalling that I'll
18 tell you about in a little while, and in fact this is
19 a cartoon I took from the literature, which relates to
20 a similar receptor to the D₂ receptor.

21 I didn't have a drawing available when I
22 tried to put this together, but essentially here is
23 this receptor now in its real location, a very, very
24 small part of it. Here are some of the proteins that
25 interact with these loops, and of course this now

MAILMAN - DIRECT

1992

1 interacts with what are called scaffolding proteins
2 and a whole variety of other signalling molecules and
3 other receptors.

4 When one has to consider effects of a single
5 compound on a receptor, one must give consideration to
6 all these types of interactions, and I believe that's
7 one of the things that was not done in this particular
8 case by Dr. Deth.

9 Q Now, in Slide 29 of Dr. Deth's presentation,
10 which we showed earlier, he identified pathways that
11 he stated purportedly were effected by thimerosal.
12 Does the only thing we'd have to consider in affecting
13 dopamine receptors?

14 A Right. If you can please turn to Slide 19,
15 this is a cartoon that I colored up and lifted from a
16 work of one of my colleagues, Kim Nepay, and he
17 reviewed dopamine receptor signalling a few years ago
18 in a very, very nice way, and I've actually taken a
19 simplified version of his cartoon, but what you can
20 see here are a whole variety of signalling mechanisms
21 that are very, very important for this receptor and
22 related receptors that nowhere were given
23 consideration in Dr. Deth's hypothesis.

24 Now, the reason it's important is that
25 sometimes one signalling mechanism can synergize with

MAILMAN - DIRECT

1993

1 another signalling mechanism, and sometimes the two
2 can have opposite affects on each other. If you're
3 going to make predictions, even about how a certain
4 compound affects a single cell, you really have to
5 give consideration to those types of interactions, and
6 that was something that was not at all done in Dr.
7 Deth's development of his hypothesis.

8 Q Now, what you've just described seems like a
9 fairly complex multivariant process. If you were to
10 design an experiment involving this receptor, how
11 would you go about it? How does this relate to what
12 Dr. Deth did?

13 A Right. Well, what I did is I think one of
14 the crucial papers at least from the information that
15 I examined was a paper by Waly, et. al.

16 Q PML 257 for the record.

17 A I'm sorry.

18 Q It's discussed already, but just for the
19 transcript.

20 A If you could advance two slides, please?

21 Q We're now on 21.

22 A We're now on Slide No. 21, and essentially
23 there are some general approaches to this type of
24 problem that I feel should always be applied and were
25 not applied in this particular case. I've divided

MAILMAN - DIRECT

1994

1 them up into three distinct realms. The first is how
2 do you pick a model system that will give you
3 information that is most relevant to the larger
4 questions you might be trying to address.

5 Secondly, once you have the model system,
6 one has to use the appropriate kinds of experimental
7 approaches, if you will, to try and disprove your own
8 hypothesis. We would call them controls or references
9 or whatever, and in many cases that can be molecular
10 manipulations, but in the case of the Waly paper, they
11 can also be drugs, which one uses as controls. I felt
12 that there was not a use of appropriate controls in
13 this particular paper.

14 Finally, one has to take what's known about
15 a particular system in which one works, in this case
16 the D₄ system, and make sure that known factors are
17 controlled in one's experimental design. Again, this
18 was another general concern I had with the work by
19 Waly, et. al.

20 Q Now, I wanted to talk about each of these
21 three in a little more detail, starting with the
22 physiological relevance of the model. What is the
23 cell line that was used? Now we're on Slide 22.

24 A Right. So as I summarized in Slide No. 22,
25 Waly et. al. used a cell line called SH-SY5Y. This as

MAILMAN - DIRECT

1995

1 Dr. Deth told you is a neuroblastoma line that is
2 derived from neurons in the periphery that have become
3 immortal, become tumors, and in particular, it's a
4 peripheral tumor line.

5 There's nothing intrinsically wrong about
6 using this particular cell line in experiments, but
7 one has to really understand that it is going to be
8 limited by the fact that it's derived from a certain
9 type of cell from a certain location that will clearly
10 not reflect every other cell in the body and certainly
11 will not reflect normal neurons.

12 In my opinion, one of the things that should
13 have been done in this paper at the very bare minimum
14 is to compare this cell line to some other commonly
15 used cell lines and subsequent to actually making this
16 slide, Dr. Deth's laboratory has used some of these
17 other cell lines, and I'm unclear why he didn't come
18 and do parallel studies in some of these other cell
19 lines.

20 Ideally, by current standards of the last 10
21 years, what one would do if one found consistent
22 support for a hypothesis in tumor-derived lines, one
23 would then turn to cultured brain neurons that then
24 test that hypothesis, and it's commonly done and
25 certainly was not done in this case, and I think these

MAILMAN - DIRECT

1996

1 latter two factors really markedly weaken what lessons
2 you can draw from this particular study.

3 Q Now, did you also observe issues with the
4 experimental controls he used?

5 A I did.

6 Q Slide 23?

7 A Right. So if we turn to Slide 23, I just
8 pulled out a couple of points that were very
9 important, and again I'm sort of surprised because Dr.
10 Deth has experience in pharmacology, and I'm unclear
11 why this design was used. When I talked about the
12 receptors earlier, pharmacologists generally talk
13 about drugs that bind the receptors having two
14 opposite kinds of effects, one type of action is this
15 term agonist, which means something that binds the
16 receptor and turns it on.

17 The other term here in the next blue line is
18 antagonist, and this is a compound that would bind to
19 a receptor and block it. It wouldn't turn it on. It
20 would prevent other things from turning it on, and
21 these are very, very important kinds of drugs that we
22 use as controls in pharmacological experiments. In
23 the paper by Waly et. al., the only agonist that they
24 used was dopamine, which is the endogenous
25 neurotransmitter and certainly an important one to

MAILMAN - DIRECT

1997

1 use.

2 The problem with dopamine as I've summarized
3 here is that it will at various concentrations bind to
4 other receptors, other dopamine receptors if they're
5 present and also receptors of similar chemical
6 families or neurotransmitter serotonin or
7 norepinephrine, and it turns out that this cell line
8 that he used actually expresses other dopamine
9 receptors as well as serotonin antinergic receptors.

10 It should have been obvious to control for
11 those factors, and the use of dopamine alone didn't do
12 that because it could have affects through many of
13 these receptors. Then they used an antagonist as an
14 important experimental control. Again, the same rules
15 apply. You want to use the most selective type of
16 antagonist, which will bind to only one receptor.
17 They used a compound which is known to bind to more
18 than a dozen different receptors as opposed to
19 selective antagonist.

20 Again, I've since found out that in some of
21 their earlier work, they actually knew about these
22 selected antagonists, so it's absolutely unclear why
23 they were not used in this study, but not doing that I
24 think markedly weakens the conclusions that one can
25 draw.

MAILMAN - DIRECT

1998

1 Q Now, was their also a failure to integrate
2 the data into what's known about D₄ receptors, Slide
3 24?

4 A That's correct, and essentially what Waly
5 et. al. attempted to prove was that the D₄ receptor
6 was responsible for this phospholipid methylation they
7 felt was so important. One of the things they did is
8 use a technique called gel electrophoresis to try and
9 isolate this band, but nowhere did they tell us which
10 of the D₄ receptors was present.

11 As you probably I believe heard, there are
12 several different forms due to a 48-base pair sequence
13 that can be repeated in this receptor, and these are
14 called D_{4.2}, _{4.4} and _{4.7} as you can see in the bottom line
15 of this slide. These all have different molecular
16 weights, and the paper never attempted to say which
17 one of these molecular weights they were actually
18 measuring, and for a variety of reasons I think it
19 makes the identity of the particular protein that they
20 sort of called the D₁ receptor less clear.

21 Another factor of course is that the
22 antibodies that they used combined to other related
23 proteins, so again, this was another factor that made
24 me question whether or not their conclusions were
25 really valid.

MAILMAN - DIRECT

1999

1 Q So is it safe to say if you had reviewed
2 this paper for publication, would you have recommended
3 acceptance?

4 A I don't think so. I think certainly while
5 the hypothesis may have been worthy of testing, the
6 paper probably I think would have been sent back by
7 most editors or good reviewers with the suggestion
8 that they needed to do more experiments of the type
9 that I mentioned here.

10 Q Now, are you aware that Dr. Deth both in his
11 testimony last week and also in his expert report has
12 discussed some unpublished data?

13 A As I was listening to his testimony, I did
14 in fact hear a discussion of some unpublished data,
15 and as you can see in Slide 25, it reminded me of a
16 quote that my major professor made once in a lab
17 meeting. He's actually a very distinguished English
18 gentleman who normally speaks like he just came from
19 Oxford, but I think his words were it ain't science
20 until it's published.

21 What he was really telling us is that when
22 you submit a paper for publication, it gives other
23 scientists a chance to review the experimental design,
24 the nature of the hypothesis, ones testing, the
25 methods that one's using and the results and to form

MAILMAN - DIRECT

2000

1 their own conclusion whether or not that agrees with
2 you. Certainly, I think that this is common wisdom
3 that one cannot accept things until they've had full
4 scrutiny from the field.

5 I guess I was sort of surprised and
6 disappointed that we would hear about such unpublished
7 data, and I was struck particularly by Dr. Deth
8 talking about the changes to the message expression in
9 some brain samples I guess from autistic and control
10 children. Apparently, as I recall his testimony, they
11 used PCRs. Does the Court know what PCR is?

12 SPECIAL MASTER VOWELL: Painfully, yes.

13 THE WITNESS: Okay. Then you're probably
14 aware that there are good and bad ways to do PCR for
15 different types of experiments, and I was sort of
16 surprised that we did not hear more of those key
17 details because certainly one could not rely upon that
18 evidence without knowing that.

19 BY MS. BABCOCK:

20 Q Now I also wanted to talk about how one
21 takes in vitro studies from the laboratory and tries
22 to determine physiological or clinical relevance.
23 You've offered specific criticisms on the Waly paper
24 and the unpublished data. Assuming proper controls
25 have been used, and we could review the underlying

MAILMAN - DIRECT

2001

1 information and methodology, are there any issues with
2 drawing conclusions from such data?

3 A If you would advance to Slide No. 27, you've
4 seen this one before, but again the important thing
5 for pharmacologists and cell biologists is to
6 understand that single parts of a sibling pathway
7 don't function in isolation. Dr. Deth talked a great
8 deal about how this event of a dopamine receptor and
9 supposed transfer of methyl groups went into this one
10 carbon cascade.

11 In fact, if thimerosal was having an effect
12 in the cell on the D₄ receptor, it should also be
13 affecting many other kinds of things, including some
14 of the pathways that I've shown on Cartoon 27. Worse,
15 if you would turn to Cartoon 28, if you'll look at the
16 bottom of this cartoon, the D₂-like receptors, and
17 this could be the D₄ here, also interact in a variety
18 of sibling pathways with other major receptors in the
19 brain.

20 Q You're pointing to the bottom right-hand
21 corner?

22 A I'm pointing to the bottom right here. GABA
23 receptors, GABA is a major inhibitory neurotransmitter
24 in brain. Unlike dopamine, it's found everywhere, and
25 dopamine systems can affect GABA function. In

MAILMAN - DIRECT

2002

1 addition, these two things NMDA and AMPA are another
2 class of receptors for the major excitatory
3 neurotransmitter found all over the brain for the
4 excitatory neurotransmitter glutamate, and there's
5 known interactions of these receptors with those
6 receptors.

7 These are the kinds of things that one has
8 to address even to understand what happens in a single
9 cell before one could possibly then believe you could
10 extrapolate to even a laboratory animal let alone a
11 clinical situation, and this is why I felt that the
12 dangers of doing this are really very high, and I was
13 disappointed that Dr. Deth had made the kind of
14 speculation he did without much, much more exhaustive
15 exploration of these questions.

16 Q Now, Dr. Mailman, I know you've been
17 involved in dopamine receptor drug discovery as it
18 relates to Parkinson's disease. Is there a particular
19 example you can think of that sort of highlights the
20 difficulties of going from in vitro to in vivo?

21 A Right. As I was preparing my expert report,
22 I guess the term I also remember hearing in graduate
23 school was deja vu all over again, and essentially I
24 have been involved over the years in another area, as
25 you mentioned Parkinson's disease, where there's been

MAILMAN - DIRECT

2003

1 a major hypothesis related to oxidative stress or
2 oxidative damage and its role in the neurodegeneration
3 of Parkinson's disease. If you could advance to Slide
4 No. 30?

5 I don't want to go into great detail about
6 this, but the prevailing view in the Parkinson's
7 research community through the mid part of this decade
8 was that levodopa or Sinemet was the most effective
9 drug for treating Parkinson's disease symptomatically
10 first used 40 years ago and still the standard of care
11 in Parkinson's disease because of its dramatic
12 symptomatic effects.

13 The prevailing view was that despite its
14 dramatic symptomatic events, it was actually a toxic
15 drug and accelerated the course of Parkinson's
16 disease, and it did so because of the same types of
17 mechanisms if you have oxidative stress or damage that
18 Dr. Deth was flirting with.

19 Q So this was based on in vitro work that had
20 been done in an attempt to apply it?

21 A That's right, and earlier I pointed out to
22 you the structure of dopamine, and I mentioned that
23 one part of it called the catechol. That catechol
24 part of the molecule oxidizes very readily. Every
25 freshman chemistry student who handles dopamine can

MAILMAN - DIRECT

2004

1 see that, and people believed that was happening in
2 cells and causing damage, and levodopa contains this
3 type of catechol group.

4 I would say there were probably more than
5 100 papers that may have demonstrated that this could
6 occur in vitro. In fact, there was another drug
7 that's approved clinically for symptomatic relief that
8 seemed to somehow stop that oxidation, so the
9 prevailing view was that levodopa would make
10 Parkinson's patients worse over time by making the
11 disease go faster, and this other drug would make them
12 better.

13 Finally, a landmark clinical study was
14 started in the early part of this decade to test that
15 idea, and it was published in 2005. It's the ELLDOPA
16 study. It's a surprise to almost everybody in the
17 field. It was found that levodopa not only was great
18 symptomatic treatment, but it actually made the
19 disease progress less rapidly. It actually slowed the
20 progression of the disease, and I think this is a
21 lesson about how one cannot take even well-designed in
22 vitro studies and just jump into the clinic.

23 It is a long, painful series of experiments
24 one has to do to be able to be reasonably confident of
25 one's conclusions. In the current situation, we have

MAILMAN - DIRECT

2005

1 only the work from Dr. Deth's laboratory, not well
2 controlled, without replication of other laboratories,
3 and again this same jump to a disease that's even more
4 complicated than Parkinson's where we know what the
5 primary lesion may be.

6 I think this is for me a really good object
7 lesson on how much weight one can give to this
8 hypothesis.

9 Q So overall, based on your research, your
10 clinical expertise and your review of all the
11 materials and literature, how much validity do you
12 give to Dr. Deth's hypothesis about thimerosal
13 affecting the D₄ dopamine receptor?

14 A Well, I wouldn't use validity. I believe
15 there is very, very little support for that
16 hypothesis, and I believe that the odds of it being
17 correct are literally almost infinitesimal.

18 Q And you hold that opinion to a reasonable
19 degree of scientific certainty?

20 A I do.

21 MS. BABCOCK: I have no further questions.

22 SPECIAL MASTER VOWELL: Petitioner?

23 MR. POWERS: I'm getting Mr. Williams'
24 abundant materials out of the way here.

25 //

MAILMAN - CROSS

2006

1 CROSS-EXAMINATION

2 BY MR. POWERS:

3 Q Good afternoon, Doctor. My name is Tom
4 Powers representing the King and Mead families as well
5 as the Petitioner steering committee in these
6 proceedings. In looking over your expert report,
7 there are a couple of times where you describe a
8 review of all the relevant available evidence or all
9 the relevant scientific literature. You mention that
10 a couple of times in your report, correct?

11 A Can I --

12 Q Yes, let's go to page 4 of your expert
13 report, and if you look under subcategory IV, there's
14 a sentence that begins, "As an expert in
15 neurotoxicology..." We can get that sentence
16 highlighted.

17 A Okay. That would be great.

18 Q Yes. Right there.

19 A Great. Can you redirect your question,
20 please?

21 Q Yes. Well, it's just that in your report
22 you do say that you find the available evidence, and
23 you're describing the available evidence. These will
24 not be tricky questions. I just want to make sure I
25 get the scope of your report here, so you're talking

MAILMAN - CROSS

2007

1 about available evidence you've evaluated and again on
2 page 8 of your report, the last full sentence of your
3 report, which is VI, Summary, it says, "Based on my
4 review of the available scientific literature and Dr.
5 Deth's report..."

6 A Yes.

7 Q Okay. So I just wanted to explore exactly
8 what you reviewed in preparing your report, developing
9 your opinion and testifying today. I looked at the
10 reference list that was provided with your report, and
11 I see eight citations to the scientific literature?

12 A Yes.

13 Q Sound familiar?

14 A Yes.

15 Q Out of those eight citations, five of them
16 appear to be articles or chapters in fact that you
17 wrote back in the late '70s, early '80s and deal with
18 these food additive issues, correct?

19 A That's correct.

20 Q There's also an article by Dr. Silverman
21 about a disease not related to autism, correct?

22 A That's correct.

23 Q In any of those articles, is metal toxicity
24 discussed?

25 A Well, if I just clarify? What I was listing

MAILMAN - CROSS

2008

1 there were things specifically cited in the report.
2 The expertise that I used involves the literature that
3 I read today and have read for the past 30 years, so
4 if I had actually listed all the things that led into
5 the formation of my opinions, you would probably have
6 a document certainly as large as one of those binders,
7 so I did not consider only this information. These
8 were specific citations in my expert report.

9 My opinions were largely formulated by my
10 expertise in the field, which are defined by hundreds
11 of publications I have and the thousands of
12 publications I've read.

13 Q And so then talking about the publications
14 that you've authored, in reviewing your CV I will be
15 the first to confess that even the articles for a lay
16 person are uninformative as to what the article could
17 be about, but I was looking for any mention of metal
18 toxicity or mercury toxicity. I found I think five
19 articles that describe lead and lithium, and I'm
20 wondering if beyond lead and lithium you have
21 published any original research involving other
22 metals?

23 A I have.

24 Q Okay. What metals would those be?

25 A They were tin-containing compounds and

MAILMAN - CROSS

2009

1 organic lead compounds.

2 Q Any dealing with mercury in any form?

3 A I have no publications with mercury.

4 Q Do you have any publications dealing with
5 speciation of mercury?

6 A I do not.

7 Q Any publications dealing with the
8 pharmacokinetics of mercury in the brain?

9 A Absolutely none.

10 Q Any publications dealing with the toxicity
11 of mercury in the brain?

12 A I do not.

13 Q Any original research dealing with vaccines
14 and the reactions that might be engendered in a human
15 brain?

16 A No.

17 Q Have any of your published articles dealt
18 with neuroinflammation specifically as a mechanism of
19 any neurological injury?

20 A Nothing with neuroinflammation.

21 Q I'm sorry?

22 A No.

23 Q Okay. You do cite to your food additives
24 and developmental disorders article. This is
25 Respondent's Exhibit No. 322, and I would like to look

MAILMAN - CROSS

2010

1 at page -- there's no exhibit page on it, but the text
2 of the original document appears to be page 303.

3 A I'd like a copy, please.

4 Q Yes. We'll get one over to you, Doctor,
5 from the stack here. All right. I'll tell you what
6 we can do is we'll go ahead and highlight it on the
7 screen, and I'd be happy to hand you the paper copy.
8 It's a very brief excerpt, and I can see the screen
9 well enough from here to ask you some questions, so
10 we're going to need page 303. Doctor, you have it
11 conveniently highlighted in advance there on your
12 paper, but we're going to highlight it on the screen.

13 It's the sentence that begins, "It's a
14 cardinal principle in pharmacology..." Essentially,
15 the first half of that paragraph up through the date
16 1977 that's cited in an article, do you see that?

17 A Yes, I see that. I do.

18 Q And it describes, and this is you I guess
19 describing it's a cardinal principle in pharmacology
20 and toxicology that the assignment of an effect to a
21 given compound, if you're an investigator it means
22 that you have to know how the agent that you're
23 studying is absorbed, distributed, and ultimately
24 either stored or eliminated from the body, correct?

25 A That's what we've written, yes.

MAILMAN - CROSS

2011

1 Q And that as you describe it is a cardinal
2 tenet of pharmacology and pharmacokinetics too?

3 A That's correct.

4 Q Now, in Dr. Deth's report, he actually does
5 that, correct, when he is describing the
6 pharmacokinetics of -- let me finish. You may be
7 anticipating my question.

8 A I haven't said a word.

9 Q He describes a process through which
10 thimerosal-containing vaccines break down in the body
11 and are distributed in the body, do you recall that
12 discussion?

13 A Yes.

14 Q And do you recall that he described how
15 thimerosal-containing vaccines are quickly broken down
16 into ethyl mercury, correct?

17 A Yes.

18 Q And that the ethyl mercury enters the brain,
19 it crosses the blood-brain barrier, correct?

20 A Yes.

21 Q It's broken down into inorganic mercury
22 inside the brain on the other side of the barrier?

23 A Correct.

24 Q And the inorganic mercury, at least parts of
25 that, are stored in the brain and they accumulate in

MAILMAN - CROSS

2012

1 the brain, correct?

2 A Yes.

3 Q So at least in terms of his methodology of
4 pharmacokinetics, Dr. Deth has satisfied the cardinal
5 rule of pharmacokinetics by describing how the agent
6 that's of interest, inorganic mercury, actually gets
7 into the organ of interest, the developing brain,
8 correct?

9 A No, that's not correct because what I was
10 really commenting on was Dr. Deth's research that's
11 published, and in fact if you will recall the Waly
12 paper.

13 Although Dr. Deth had talked about
14 thimerosal being converted to ethyl mercury rapidly,
15 and mercury being the active species, in his
16 experiments he used thimerosal, which clearly means
17 that one doesn't know what's happening because he's
18 now not putting it in an organism, but putting it in a
19 cell type, so while he may be aware of these facts, he
20 certainly did not apply them in the published research
21 that I've reviewed.

22 That is what I regarded as a cardinal
23 defect, if you will, following my cardinal principle.
24 It's how he took what is basic understandings and used
25 them in his own experiments, and that's the reason I

MAILMAN - CROSS

2013

1 felt that the Waly paper could not be relied upon as
2 this key piece of information that was the
3 underpinnings of his hypothesis, so whereas he may
4 have talked about it correctly, he did not apply those
5 in his experiments.

6 Q Now, in his experiment, and we can pull that
7 up, he used this neuroblastoma cell line, correct?

8 A That's right.

9 Q And if one is using a neuronal petri
10 culture, is it correct that neurons don't divide, they
11 don't replicate?

12 A Well, it depends, but that's not generally
13 true. It depends on the state of the neuron. We
14 wouldn't have brains if neurons couldn't divide.

15 Q But in the in vitro setting, the reason
16 researchers typically use clonal cells lines like this
17 is that they replicate, correct? They replicate
18 pretty predictably?

19 A We use clonal cell lines because they're
20 immortal, so from time to time we can pull something
21 out of the freezer without having to do the work
22 that's involved with culturing brain neurons, which is
23 much more difficult and which generally you're not
24 able to keep living indefinitely. That's the primary
25 reason.

MAILMAN - CROSS

2014

1 Q And by immortal part of that is the division
2 process. These are cell lines that as part of that
3 immortality have a predictable replication rate and
4 can grow and divide and are useful in that setting?

5 A Yes.

6 Q Okay. Now, in talking about the cell line
7 again, you indicate that there were no testing done
8 basically to control for the dopamine selectivity or
9 the receptor selectivity in that cell line, is that
10 correct?

11 A That's correct.

12 Q Now, in making that analysis, did you review
13 Dr. Deth's earlier publications, the 1999 Sharma paper
14 that he cited and the 2001 paper that he was also
15 involved in?

16 A I had read those papers earlier.

17 Q And in those papers, doesn't he talk about
18 how he looked for the receptor specificity of this
19 type of cell line and that he had controlled for that
20 in earlier studies?

21 A Well, that was what was quite surprising
22 because in those studies they were in different cells
23 lines, so 1) the receptor population in this cell
24 lines is actually better known than in this
25 particularly cell lines, and indeed in those studies,

MAILMAN - CROSS

2015

1 although they weren't perfect, they were better
2 controlled, and that's what made the Waly paper, and
3 it's the one that's really relevant to this case quite
4 surprising because he had a cell line that's not as
5 well understood.

6 He did not use the type of controls he used
7 earlier, so while those other papers certainly were
8 better controlled, the Waly paper, which is the only
9 one I know of relative to thimerosal was clearly
10 poorly controlled, even by Dr. Deth's own standards.

11 Q Now, you're saying this cell line is not
12 very well understood?

13 A Relative to the other cell lines that Dr.
14 Deth used, which are much more widely used.

15 Q If one were to search on PubMed, for
16 example, and were looking to find papers that use this
17 particular cell line, do you have an idea of how many
18 papers might appear?

19 A Probably several hundred, maybe 1,000, but
20 if you look, for example, I think it was the CHO cell
21 lines that he used in an earlier paper, you would
22 probably find 100,000.

23 Q Well, would it surprise you if it was
24 between 2,400 and 2,500 that you can find in PubMed
25 that identify the use of this particular cell line?

MAILMAN - CROSS

2016

1 A It wouldn't surprise me. As I said, it
2 could be in the area of 1,000. It's certainly though
3 not nearly as widely used as the other common lines in
4 the field that Dr. Deth used earlier.

5 Q Now, the discussion in Dr. Deth's paper --

6 A If I could just add one thing? In fact,
7 it's that information that let me know that there are
8 other receptors in that cell line that Dr. Deth should
9 have considered, so in fact knowing that there are
10 other papers there that was I aware of that let me
11 know that he had not controlled things that he should
12 have known to control.

13 Q But it is your understanding that he did use
14 a very highly selective D₄ receptor ligand, and
15 there's a particular ligand that was used, and he had
16 discussed that at some of the earlier papers leading
17 to the Waly paper?

18 A That's right. He uses selective D₄ compound
19 in the earlier paper. He did not use that in this
20 paper. The Waly paper is of concern because there are
21 other receptors that dopamine could have interacted
22 with that were not controlled by the ligand that he
23 used. It's my criticisms of the Waly paper, and in
24 fact I think what made it surprising is that Dr. Deth
25 seems to have forgotten things that he apparently knew

MAILMAN - CROSS

2017

1 a few years earlier.

2 Q Now, when you talk about the other receptors
3 that are involved, D₄ is involved, but you're saying
4 there would be ones in addition to D₄?

5 A I didn't. D₄ receptors may be in the cell
6 type, but there are other cell types, which could have
7 interacted with dopamine, which are known to interact
8 with dopamine that were not controlled, so the issue
9 is I can't nor anybody else can make a definitive
10 conclusion about even the limited hypothesis that he
11 was testing because of the experimental design above
12 and beyond the limits that the system itself cannot be
13 used to jump to autism.

14 You can't even be sure that you have a
15 definitive answer to the narrow hypothesis based on
16 the way that experiment was done, and I will clearly
17 differentiate the quality of the Waly paper from some
18 of Dr. Deth's earlier studies, which were better
19 controlled, so I can differentiate those in terms of
20 quality quite readily, but the Waly paper is the
21 weakest, and it's the only one of relevance here.

22 Q Now, the other potential dopamine receptors
23 that might be implicated here in addition to the D₄ in
24 the Waly paper, the D₄ is the only one of those that
25 contain methionine synthase, isn't that correct?

MAILMAN - CROSS

2018

1 A No, that's not correct. The D₄ does not
2 contain methionine synthase.

3 Q Does it contain a remnant of methionine
4 synthase?

5 A It does not contain a remnant of methionine
6 synthase to my understanding.

7 Q To your understanding?

8 A And to the literature understanding, at
9 least as far as I know.

10 Q But if it had a remnant of methionine
11 synthase, that would at least support the idea that
12 that's where the methyl group is becoming available at
13 that point, correct?

14 A I would have to see data to that effect.
15 It's possible that might be the case.

16 Q I wanted to talk a little bit more about the
17 CV that you provided. We talked about some of the
18 articles that are published. I do note that between
19 2001 and 2004 you were the founder and I guess either
20 the chair of the board or a board member of a small
21 pharmaceutical startup in the research triangle area,
22 is that right?

23 A That's correct.

24 Q And this is DarPharma?

25 A Right.

MAILMAN - CROSS

2019

1 Q From looking at some of the work that
2 DarPharma has done, it seems that the D₁ receptor is
3 the primary focus of the entrepreneurial work and
4 research you're doing, is that fair?

5 A That was correct.

6 Q And I should say past tense because I guess
7 DarPharma got sold in 2005 to a medical device
8 company?

9 A That's correct.

10 Q Are you with DarPharma anymore?

11 A DarPharma was sold. I have no connection
12 with that company.

13 Q And does that company exist anymore?

14 A I think it does.

15 Q As part of BioValve?

16 A Well, that's right. DarPharma was bought
17 and become part of another company.

18 Q Now, during the time that you were working
19 at DarPharma, your focus was on the D₁ receptor?

20 A That's correct.

21 Q I imagine this is to get therapeutic
22 products. As I understand it, dopamine is important
23 in the brain, but if you're deficient in dopamine,
24 it's a problem therapeutically because dopamine itself
25 as a whole molecule can't cross the blood-brain

MAILMAN - CROSS

2020

1 barrier, is that correct?

2 A Dopamine cannot cross the blood-brain
3 barrier, and CNS diseases involve deficits of dopamine
4 transmission, but sometimes they involve excesses of
5 dopamine transmission.

6 Q Right. So if you have a disease in the
7 brain that involves a deficiency, and you can't get
8 dopamine into the brain, you have to have a different
9 strategy, and I guess the one you were talking about
10 earlier in your slides, sort of the end of your
11 slides. It begins with an L, levodopa.

12 A Yes.

13 Q Now, levodopa is an intermediary product for
14 dopamine synthesis in the brain. Is that correct?

15 A That is correct.

16 Q So the idea is if you can get levodopa into
17 somebody, it crosses the blood-brain barrier, and it
18 can then at least theoretically, and it sounds like
19 therapeutically, increase dopamine levels, correct?

20 A That's correct.

21 Q You can also do something called an agonist.
22 You can develop an agonist that fools the receptor to
23 make it think that it's picking up dopamine, right?

24 A That's correct.

25 Q And so with the agonist, you can up regulate

MAILMAN - CROSS

2021

1 at the D₁ site whatever the activity the dopamine
2 would be up regulating if dopamine was actually there?

3 A That's correct.

4 Q You developed a line of products that you
5 hoped to be able to bring to market from I guess the
6 last 1990s up until 2004, correct?

7 A That's correct.

8 Q There was one product that I think was used,
9 if I have my notes here, dihydrexidine. Am I
10 pronouncing that correctly?

11 A Very good.

12 Q All right. Dihydrexidine is D₁ agonist,
13 correct?

14 A Yes.

15 Q And I should be more precise. It would be a
16 D₁ receptor agonist?

17 A Well, when one says D₁ agonist, it's
18 automatically assumed it's receptor agonist.

19 Q And the fewer words I can use on these
20 issues, the better, so I appreciate that.

21 A Right.

22 Q So you were developing it for use in
23 Parkinson's disease, correct, in the mid-1990s?

24 A Well, actually we had several. The
25 neuroscience community had identified a couple of

MAILMAN - CROSS

2022

1 different conditions where D_1 agonists might be
2 useful. The one that we thought was easiest to test
3 was in Parkinson's disease, but the work of an elegant
4 group of researchers at Yale had also suggested that
5 D_1 agonist would actually be very useful in improving
6 cognition and might even be useful in things like
7 autism or ADHD.

8 Q Yes, but we'll talk about those in a second,
9 but I wanted to first focus on the Parkinson's
10 component because you published I think it was four
11 papers perhaps in like '93 into '98 talking about this
12 particular agonist, correct?

13 A Yes.

14 Q And then in 1998, a group of researchers
15 came out, and guess they've done some clinical work
16 and said that there was a marginal therapeutic window
17 for this drug, and even these marginal benefits might
18 not have even been related to the D_1 receptor
19 stimulation. Do you remember that? It was the
20 Blanchett?

21 A They didn't say that. Actually, in their
22 study the compound was limited by having to be
23 delivered at a high rate intravenously, and it caused
24 a dramatic drop in blood pressure, but in fact they
25 did associate it in one patient with a very dramatic

MAILMAN - CROSS

2023

1 improvement. The problem with the study was that the
2 compound causes side effects, which made it unsuitable
3 as an anti-Parkinson's drug.

4 Q But again, a marginal therapeutic window,
5 correct?

6 A Right. It had a marginal therapeutic index,
7 correct.

8 Q And that was the end of your work, or that
9 was the end as far as I can tell on anybody publishing
10 on that particular agonist in that application?

11 A No, sir, that's not true, and in fact
12 because of the other indication I mentioned, such as
13 cognition, this group at Yale showed that you need
14 much lower blood concentrations, much lower levels of
15 drug at the receptors to get cognitive benefits, and
16 in fact it was hypothesized that this compound,
17 despite the limitations of having to push it fast to
18 get any Parkinson's effects might be useful to test
19 that hypothesis in humans.

20 In fact, last year two papers were published
21 in schizophrenic patients where this was an add-on to
22 their studies, and in fact there's now I think three
23 other National Institutes of Health studies using that
24 compound as the test for cognition. This is not going
25 to be a proof of principal as opposed to a drug, but

MAILMAN - CROSS

2024

1 it's the only D₁ agonist that's available for use in
2 humans experimentally, so even though it's not ever
3 going to be a commercial product, it's a very
4 important research tool still.

5 Q But for Parkinson's then in terms of your
6 perspective, it never went further than this
7 particular application?

8 A That's right. For Parkinson's disease, it
9 clearly does not have appropriate pharmacokinetic
10 properties.

11 Q Right. Is this the same compound in the
12 work with schizophrenics, DAR0100?

13 A Right. That was the number that the company
14 had given it, so they retained that number in some of
15 those publications, but it's still dihydrexidine.

16 Q In any of these applications, did the
17 pharmaceutical companies that you were attempting to
18 market your therapeutics for, did any of these
19 purchase these and end up producing them and marketing
20 them?

21 A Dihydrexidine was shied away from by the
22 major pharmaceutical companies because of its
23 pharmacokinetic issues. We had a license agreement
24 for a second generation compound with pharmaceutical
25 companies, and in fact one of those compounds which

MAILMAN - CROSS

2025

1 doesn't have the pharmacokinetic problems that
2 dihydrexidine does fail toxicology, but as you may or
3 may not be aware, drug development is a very, very
4 expensive and time-consuming area.

5 We're still interested, and I think the
6 field is still interested, but our compounds have not
7 yet made it into the clinical clearly.

8 Q They've not made it into the lot --

9 A Into the clinic as drugs. They're just
10 research tools.

11 Q Okay. I guess Bristol-Myers Squibb took a
12 look at this, at some of your products and declined to
13 license and produce and market them?

14 A No. They actually licensed them for a
15 period of time, but then they felt that there was a
16 toxicological problem with one of the compounds, and
17 they gave up the license, so they did spend several
18 years actually pursuing the compounds.

19 Q I wanted to talk about some of the federal
20 research funding that you describe in your CV. This
21 is on page 3 of your vitae. Now, the first one talks
22 about, and I'm just trying to get an understanding of
23 how this works into the work you're doing now because
24 my understanding is you have a new for profit,
25 privately held pharmaceutical company that you're

MAILMAN - CROSS

2026

1 involved with, correct?

2 A Yes.

3 Q And in this first grant, there's the 2007 to
4 2012, it has a note there that there's 25 percent
5 effort. What does that mean?

6 A As I mentioned in response to one of the
7 questions from Ms. Babcock, we in academia have job
8 descriptions if you will, and my job description as a
9 research professor is to spend about two-thirds of my
10 time doing basic research. We are expected to try and
11 support ourselves in that work, though in my case my
12 salary is guaranteed anyway.

13 What one does is when one requests
14 extramural funding, as I think was talked about with
15 Dr. Deth, for one's research along with that the
16 amount of time that one would spend on a project, the
17 university is to be compensated for that because
18 that's freeing you up to focus on that research
19 problem, so we are required to keep track of this
20 percent effort.

21 We're not allowed to have more than 100
22 percent effort totally, and when we prepare our
23 budgets for contracting organizations, whether it be
24 the National Institutes for Health or a foundation or
25 whatever, we have to tell them how we're spending our

MAILMAN - CROSS

2027

1 time so they know what they're getting of us.

2 Q And so you've the two that are from 2007 to
3 2012, so I'm assuming that means that the money is
4 actively coming in on those grants, correct?

5 A That's correct.

6 Q And then the third one it notes, and this
7 just maybe a reflection of when you last updated the
8 CV, and again it's not meant to be a tricky question,
9 but I just want to be clear. It says 12-31-07 was the
10 end date, so is this grant currently over?

11 A It's on what's called a no-cost extension,
12 but essentially this was a pilot grant, and Dr.
13 Goddard and I are actually writing up a series of
14 papers, and we're going to submit this into a larger
15 grant that we'll be submitting sometime later this
16 year.

17 Q Then the whole category -- I shouldn't say
18 whole category. It's two grants in the category of
19 Pending. What do you mean by pending? What does that
20 status mean as you describe it?

21 A That means an application has been sent in
22 to a funding agency, and the grant is somewhere in the
23 review process, but a decision has not yet been made
24 that it will be funded or will not be funded.

25 Q And then that would be somewhat the same if

MAILMAN - CROSS

2028

1 we go to page 4 for To Be Submitted, so these have not
2 even been submitted to the funder?

3 A That's correct.

4 Q To the granting agency yet?

5 A That's correct.

6 Q All right. So right now, the ongoing
7 federal research funding you have is expressed in the
8 very first two grants that we see on the CV?

9 A That's right.

10 Q Okay. I did want to look just really
11 quickly at Dr. Deth's slide presentation and just put
12 one slide up and ask you a couple of questions about
13 it, and this would have been Petitioners' Trial
14 Exhibit No. 3, and it is Slide 8. It's the mystery
15 slide. It will be there soon.

16 A I have no disagreement with you.

17 Q And indicating that you were perhaps
18 anticipating my question, I do want you to take a look
19 at this particular slide, which is page 8 of
20 Petitioners' Trial Exhibit No. 3. If you look at the
21 lower left quadrant there, there is a box, sort of a
22 closed loop, and the reason I don't say loop right off
23 the bat is that it's not circular. It's illustrated
24 as a box, and to orient everybody, this is the one
25 that says phospholipid methylation, correct? Do you

MAILMAN - CROSS

2029

1 see where I'm talking about, the whole cycle?

2 A Yes, I do. Yes.

3 Q Now, Dr. Deth described this as a cartoon or
4 a graphic representation of the normal redox status,
5 correct?

6 A Yes.

7 Q If you look at that lower left quadrant that
8 involves dopamine and phospholipid methylation, would
9 you agree that representation is accurate?

10 A I do not believe that there is adequate data
11 in the literature to support this scheme. It could be
12 hypothesized, and I think it's something that one
13 might wish to test further, but the only literature
14 I'm aware of related to D₄ receptor are a series of I
15 guess three papers or two prior papers from Dr. Deth's
16 laboratory, and I don't believe those papers contain
17 adequate information to justify believing this whole
18 cycle exists. It may or it may not, but I don't
19 believe that adequate experiments have yet been done.

20 Q Are you aware of any experiments that have
21 been done looking at the question of whether that
22 cycle exists that has concluded it does not exist?

23 A Well, maybe I can answer that in two ways.
24 The first point I didn't make when I talked about how
25 important this scientific method is is something

MAILMAN - CROSS

2030

1 that's also not commonly understood, and that is you
2 can never prove a negative because it requires an
3 infinite amount of experimentation to prove a negative
4 because there's always another experiment you can do
5 to try and show that something doesn't happen.

6 Part of what you were asking me is can you
7 prove a negative, and the answer is that's not our job
8 as scientists. Our job as scientists is to take a
9 hypothesis and try and disprove it. Now, this could
10 be a very good hypothesis, but I do not believe that
11 Dr. Deth has generated adequate data to state that it
12 really does exist and could even be called resembling
13 a theory, and to my knowledge no one else in the
14 literature with the D₄ receptor has ever attempted to
15 replicate his work.

16 For that reason, it's a plausible
17 hypothesis, but the data in support of it is certainly
18 very, very minimal.

19 Q Now, you talked a little while ago, and I'm
20 jumping around a bit, but when you described
21 DarPharma, you did mention that you were looking at
22 possible cognitive benefits that might accrue, and we
23 can pull that slide down, in the realm of ADD, autism-
24 like conditions that you were examining as a possible
25 application of the D₁ agonists?

MAILMAN - CROSS

2031

1 A Let me put this in order of priority.
2 There is good experimental evidence to support the
3 hypothesis that the right level of D₁ activation will
4 improve cognition. There is studies in the rat, in
5 the mouse and in monkeys to show that if you have aged
6 monkeys, for example, who have causative deficits, low
7 doses of D₁ like dihydrexidine will improve their
8 cognition, and dihydrexidine and like some of the
9 drugs will actually help at low doses the cognition in
10 young monkeys.

11 There's experimental data to test that idea.
12 The clinical studies I describe with dihydrexidine
13 were aimed at translating that finding. When one
14 looks at what D1 receptors do, one can make a
15 speculative leap that it might be useful in autism or
16 ADHD, and obviously if one got a drug into the clinic,
17 one could test that, but there is no data, for
18 example, to show that D1 agonists might work in autism
19 because I don't believe there's a monkey or rat or
20 mouse model that's predictive of autism.

21 The cognition studies can be done in both
22 rats and mice because there are validated models, so I
23 said that's the speculation that we and others have
24 had, but there's no data whatsoever for that.

25 Q Did you or any of the partners that you had

MAILMAN - CROSS

2032

1 in DarPharma ever develop anything to the point for
2 clinical testing that it tested whether a D₁ agonist
3 could be effective in improving any of the symptoms of
4 autism?

5 A No.

6 Q Did you ever get projects that were designed
7 to get to a clinical end point for therapeutic end
8 points that were ended before then?

9 A Well, I don't know how well you're aware of
10 the drug discovery and development timeline, but when
11 one believes that one has identified a candidate that
12 might be useful, there's this long, very expensive
13 period when one has to do very clearly defined safety
14 toxicology pharmacokinetic studies before one is even
15 allowed to give a compound to humans, and what I am is
16 a basic scientist.

17 I am interested in receptor function and
18 drug discovery. The reason DarPharma was started was
19 we thought we had molecules that would be important as
20 clinical research tools and potentially as drugs, and
21 for a variety of reasons, large pharmaceutical
22 companies didn't have an interest in them because they
23 were injectable-only compounds. Large pharmaceutical
24 companies don't have the scientific fervor that
25 academic researchers do.

MAILMAN - CROSS

2033

1 They want a pill that you can give to
2 somebody, so we started DarPharma because we thought
3 it would be scientifically valuable to test these
4 ideas if one could get a drug approved for human
5 testing and that there was a market for an injectable
6 drug, specifically for Parkinson's disease because
7 there's already an injectable drug that's used in
8 late-stage Parkinson's disease, and we thought we
9 could do better, so that was sort of if I've covered a
10 lot of ground why we did things.

11 The goal there was to develop a compound
12 that could test these ideas in people, and we got
13 investors to believe it could also generate money for
14 them if in fact the ideas were correct and the drug
15 passed safety testing.

16 Q Now, despite the fact that the
17 pharmaceutical company pharmaceutical companies did
18 not get interested enough to purchase these, and you
19 haven't been able to bring one to market, do you still
20 sitting here today believe that D₁ agonists might have
21 a role in improving the symptoms of people with
22 autism?

23 A As I mentioned, that's a speculation that
24 one could have, and as I also mentioned, it's an
25 intriguing hypothesis with no testing yet done and no

MAILMAN - CROSS

2034

1 easy way to do that certainly in people for a long
2 period of time, and I don't know of an animal model I
3 could use, but I do think that in terms of both
4 Parkinson's disease and cognition, the D₁ agonists
5 still have potential value.

6 I'm still very interested in both my work
7 and anybody else's work in the world who could get a
8 compound like that into the clinic. I think it would
9 be a fabulous thing for patients and also for
10 research.

11 Q And even beyond this one specific family,
12 the D₁-like family of dopamine receptors, is it also
13 your belief that that there may be agonists out there
14 that would mimic the dopamine at the receptor site
15 that might be useful at other receptor sites, whether
16 it's D₂, D₃, D₄, D₅ to help treat the symptoms of
17 autism?

18 A I think potentially, but then again I think
19 we would view it as some autistic patients might
20 respond well to certain types of drugs that might be
21 improvements of the current things that are available,
22 but that's again totally speculation, and if one
23 didn't believe that kind of stuff, one would stop
24 working. One has to have a view that one's work has
25 meaning.

MAILMAN - CROSS

2035

1 Q And by continuing to work on this again, the
2 idea is that it is possible that dopamine and dopamine
3 mechanisms in the brain might be involved in autism,
4 but I'm not talking about causation but just as a
5 therapeutic intervention that could make a difference
6 in autistic outcomes?

7 A In my cartoon of the brain I showed the
8 Court, dopamine innervates and has important
9 modulatory for effects in certain parts of the brain.
10 It might be that those parts of the brain are things
11 that have abnormal function in autism, and it might be
12 that if one had a drug of a certain type that affected
13 one or more of the dopamine receptors in those areas,
14 you might get therapeutic benefit.

15 If I thought that autism was the only target
16 for our drug, I probably would pick another target
17 because I think it's a very, very high-risk type of
18 thing.

19 My hope would be is that if we could get a
20 drug approved for Parkinson's disease or schizophrenia
21 or something, obviously what happens in the field of
22 neuropharmacology clinically is when you get a
23 compound to prove, if it's safe, people will try it in
24 other conditions where they don't have good therapies,
25 simply because you'd have nothing better to do. I

MAILMAN - CROSS

2036

1 would expect that autism would be one of the things if
2 we ever got a drug approved that that would happen,
3 and we're not the only people interested in new drugs.

4 There's a class of compounds called
5 metabotropic glutamate receptor antagonists, and
6 there's a great deal of interest in the autism
7 community about some of the drugs in that class that
8 are moving along with no more information than we
9 have. Because those drugs will be clinically
10 available, I'm sure they're going to get tested in
11 autistic populations.

12 Q Now, that drug you just mentioned, it's a
13 glutamate receptor antagonist, is that correct?

14 A Right.

15 A So the idea would be that if you had excess
16 glutamate in the brain, you would be looking for a way
17 to prevent other neurons from taking that up, so if
18 you had an antagonist, it would prevent the glutamate
19 from being taken up by the neurons, correct?

20 A No, not actually. I really don't think the
21 Court wants to go here, but glutamate receptors exist
22 in two families, and those two families are ones
23 called ion channel family, and the other are like
24 dopamine receptors, and there are many, many subtypes
25 of each of those receptors, and each of those subtypes

MAILMAN - CROSS

2037

1 have very important roles that have been worked out by
2 hundreds of scientists throughout the world.

3 It's a very specific type of compound, and
4 it's not a simple type of mechanism, so it's been
5 targeted for other kinds of illnesses, and I think
6 some people believe well, maybe it might be useful in
7 terms of autism, but again it's pure speculation, and
8 I think what will happen is if the drug gets into
9 clinical use, it will then be available for trial.
10 There's no molecular mechanism that suggests it's
11 going to work.

12 Q Right. So you describe it as very
13 speculative, but you also described it just a moment
14 ago as an exciting new area that merits further
15 research and that there's a lot of excitement around
16 it involving the glutamate?

17 A Central nervous disorders seldom have a
18 singular molecular cause. What you're trying often to
19 do is treat them symptomatically. If you have a
20 condition where the symptomatic treatment that you
21 currently have is not very good, you try anything. In
22 Parkinson's disease, we probably have the best
23 symptomatic treatment of any disorder. In other
24 conditions, schizophrenia cognition and whatever, the
25 drugs we have either have lots of side effects, or

MAILMAN - CROSS

2038

1 they have very limited therapeutic efficacy.

2 Therefore, if a new compound is available,
3 it's safe, people will tend to try it simply because
4 you get knowledge from doing that and maybe something
5 will work, but you have to be very, very careful about
6 saying that because we think a D₁ agonist or another
7 thing I mentioned that are functionally selected drugs
8 or a metabotropic glutamate line, because you try them
9 doesn't necessarily mean there's any evidence that
10 suggests it's related to the etiology of the disease.
11 You're just hoping it may work for therapy.

12 MR. POWERS: I have no further questions.

13 SPECIAL MASTER VOWELL: Any redirect, Ms.
14 Babcock?

15 MS. BABCOCK: Just one moment.

16 SPECIAL MASTER VOWELL: Certainly.

17 MS. BABCOCK: Nothing further. Thank you.

18 SPECIAL MASTER VOWELL: Any questions from
19 my colleagues? I have no questions for your, Dr.
20 Mailman. Thank you very much.

21 THE WITNESS: Thank you.

22 (Witness excused.)

23 SPECIAL MASTER VOWELL: We've reached the
24 end of our proposed witness list today at 4:41. This
25 may be a record so far in the case. What we would

MAILMAN - CROSS

2039

1 like to inquire on behalf of my colleagues and myself
2 is have the parties thought any more about their
3 position regarding our rebuttal evidence and the July
4 continuation of these proceedings. Mr. Powers?

5 MR. POWERS: Thank you, Special Master. Our
6 position remains essentially the same that we would
7 strongly urge that we do all the rebuttal at once, and
8 I think particular today you've seen that the
9 Respondent's evidence and testimony on toxicology,
10 just on the toxicology, is now being split. We have
11 Dr. Brent now and then Drs. Magos and Clarkson later.

12 To the extent that there are overlaps and
13 intersections between the testimony, between the
14 scientific literature that they're discussing,
15 treating that as one whole unit and not trying to
16 divide it and create a false distinction between the
17 toxicology through Dr. Brent and here, this neatly
18 cabin box.

19 Rebut on that in a week and a half, and then
20 come back and assume that Drs. Magos and Clarkson are
21 talking about new things or different things just
22 doesn't seem to fit with let's just get the
23 comprehensive case from Respondent and then come back
24 and deal with all of that evidence at one time.
25 Again, for case-specific, we still are absolutely

MAILMAN - CROSS

2040

1 committed to doing case specific rebuttal before we
2 leave here on Friday the 29th or the 30th, whatever
3 that date is.

4 SPECIAL MASTER VOWELL: By "case-specific
5 rebuttal," you would mean testimony regarding the
6 specific two children involved in today's case?

7 MR. POWERS: Yes, Special Master, that's
8 correct whether it's video, medical records, Dr. Rust,
9 case specific comments, anything like that.

10 SPECIAL MASTER VOWELL: Respondent? Mr.
11 Matanoski?

12 MR. MATANOSKI: Thank you. Perhaps Mr.
13 Powers misunderstood Respondent's position, which was
14 simply that the rebuttal to the extent it comes then
15 in July would be about toxicological matters, so in
16 that regard, it would be from their toxicologist, Dr.
17 Aposhian.

18 Now, if Dr. Aposhian want's to wait until
19 July to put together his rebuttal to Dr. Brent as well
20 any potential rebuttal he may have to Drs. Clarkson
21 and Magos, that's not beyond what Respondent believed
22 that's the procedure the Court had in mind in the
23 first place. However, we understand Mr. Powers to be
24 arguing for something far different.

25 We understand him to be arguing for his

MAILMAN - CROSS

2041

1 rebuttal on the general case of causation in its
2 entirety, all aspects of it be withheld until July at
3 the time when we take only the two remaining
4 toxicologists. That procedure was far different from
5 the one that Respondent had believed we were heading
6 into in this proceeding.

7 Respondent's agreement to allow the late
8 addition of an entirely new theory of causation was
9 under the sole notion that we would end our
10 discussions about that with the exception of the two
11 toxicologists at this end of this three-week trial.
12 All rebuttal with respect to that would come in at
13 that time. Now, Respondent has been scrambling as I
14 mentioned for three weeks to respond to an entirely
15 new theory.

16 What vaccine cases, and we've all sat on
17 them now, has Respondent been presented with the
18 expert's theory three weeks before trial in a single
19 case let alone one that affects 5,000? Now, we've
20 done our best, and we've put up with on Monday and
21 Tuesday of last week experts testifying in far
22 different fashion from their expert testimony as
23 presented in their expert report.

24 Now, if we're going to extend these
25 proceedings to rebuttal on all these matters,

Heritage Reporting Corporation
(202) 628-4888

MAILMAN - CROSS

2042

1 Respondent may, as we suggested before, we'd have to
2 see what we would do with respect to Dr. Kinsbourne's
3 new theory, we may withhold or ask the Court's
4 permission to do that, withhold for the rest of this
5 proceeding any discussion that we have on this second
6 theory until we had such time to properly prepare for
7 it.

8 If this is going on all the way out into the
9 summer, we want to have the time then to properly
10 prepare our case for it.

11 SPECIAL MASTER VOWELL: Let me make sure I
12 understand what you're asking for, Mr. Matanoski, and
13 that is if we allow Dr. Aposhian and Dr. Kinsbourne to
14 testify in rebuttal into July, you're asking leave of
15 Court to present additional evidence on Respondent's
16 case directed toward Dr. Kinsbourne's late-filed
17 theory?

18 MR. MATANOSKI: That's correct, ma'am. In
19 other words, Respondent's case in chief with respect
20 to responding to Petitioners' theory would not be done
21 at this time, not just in the matters of toxicology,
22 but in the matters of neurology in particular.

23 SPECIAL MASTER VOWELL: Mr. Powers?

24 MR. POWERS: And quite frankly we would not
25 object to that. Our position is that these issues are

MAILMAN - CROSS

2043

1 far too important. If Respondent feels that they need
2 additional time to develop the evidence and develop
3 the testimony on what we think are critical and huge
4 issues here, we don't object. If it's a condition of
5 doing one rebuttal later from our perspective to have
6 additional evidence come in and additional testimony
7 on Dr. Kinsbourne, then we do not object.

8 SPECIAL MASTER VOWELL: With the
9 understanding that you would then proceed directly
10 into rebuttal.

11 MR. POWERS: On every.

12 SPECIAL MASTER VOWELL: On everything.

13 MR. POWERS: On everything. We do not
14 object to that.

15 SPECIAL MASTER VOWELL: On everything
16 including the non-Dr. Kinsbourne theories?

17 MR. POWERS: I think so because if we look
18 at it that way, you start bringing in areas that
19 overlap, and parsing out the toxicology from the
20 neuropathology, if they need more time, and they have
21 more to put on, we are not going to object to that.
22 We would rather have the information in front of you
23 than not in front of you.

24 SPECIAL MASTER VOWELL: Let me raise this
25 issue, and this is a practical one. I think the

MAILMAN - CROSS

2044

1 reason that all three Special Masters were quite
2 surprised when you proposed presenting rebuttal at the
3 proceeding in July is that we had scheduled Dr. Magos
4 and Dr. Clarkson to testify on Thursday and Friday,
5 which then meant obviously we were going to go into
6 the following week that we had not set aside.

7 MR. POWERS: And I apologize for that. I
8 think all along I've been just thinking of the
9 calendar for that week, but you are correct. We did
10 narrow it down to Thursday and Friday.

11 MR. MATANOSKI: Ma'am?

12 SPECIAL MASTER VOWELL: Mr. Matanoski, it
13 looked like you had something else you wanted to add
14 here?

15 MR. MATANOSKI: Yes, ma'am. From the
16 beginning when we were first presented with the notion
17 that Dr. Kinsbourne would be coming in with
18 essentially a second theory of causation, the
19 Petitioners' Steering Committee, has essentially been
20 trying to move back this proceeding. They knew they
21 could not put off this three-week proceeding after all
22 this time to get ready for it. They weren't ready to
23 go with their case because they had a late-developed
24 case.

25 They developed it not as it was originally

MAILMAN - CROSS

2045

1 presented to this Court the second theory that Dr.
2 Kinsbourne came to the Petitioners' Steering Committee
3 and offered his services. In fact, they went seeking
4 him, and now they've essentially forced a second
5 theory on this case with very little time for the
6 Respondent to get ready for it.

7 We were ready to go into this trial because
8 we'd all been set up for this, and as I made very
9 clear, my great fear in this, in this late developed
10 theory of causation coming in was that it took us a
11 long time to put together our experts to respond to
12 the first theory, and we are probably not going to
13 have them again.

14 SPECIAL MASTER VOWELL: Understood. Now
15 let's talk about second and third order effects here.
16 These are two test cases, and eventually we hope Mr.
17 Powers gets his third case in or the Special Masters
18 may do what we have threatened to do all along, which
19 is come up with a third case another way.

20 If we don't hear the full case that
21 Petitioners have, Dr. Kinsbourne's second theory on
22 the second theory of causation now, and by now I mean
23 this summer, then we are going to hear it at a
24 subsequent time, and then you are going to have to put
25 together a team to respond to it then.

Heritage Reporting Corporation
(202) 628-4888

MAILMAN - CROSS

2046

1 The reason behind the omnibus proceeding as
2 been to develop that general body of causation
3 evidence that we could then look at other cases for
4 that rubric, and here there are specific facts, so we
5 don't have all of the general causation evidence. Are
6 we not going to have to do this again?

7 MR. MATANOSKI: I understand.

8 SPECIAL MASTER VOWELL: Whether in the
9 context of an individual case or many individual
10 cases.

11 MR. MATANOSKI: And if this Court is going
12 to entertain that the rebuttal for the entire case be
13 essentially pushed over except for perhaps fact
14 specific, pushed over to some later date in the
15 summer, then Respondent is likely, and I'll have to go
16 and confer, but we likely withhold at this time any
17 testimony or evidence with respect to the second
18 theory and then try to put that on in that July
19 timeframe so that we have more time to put our case
20 together with respect to this late developed theory.

21 SPECIAL MASTER VOWELL: All right. How much
22 time do you all need to consider this because we're
23 obviously going to need to consider it as well. You
24 need to make some decisions I would think fairly soon.

25 MR. MATANOSKI: We'd need to decide that

MAILMAN - CROSS

2047

1 tonight I believe, yes.

2 MR. POWERS: Perhaps we can confer with
3 Respondent tomorrow morning and have a conversation
4 before you all take the bench?

5 SPECIAL MASTER VOWELL: We would like to
6 hear what your final proposals are, your final
7 thoughts before the three of us retire to consider
8 what a decision would be.

9 MR. MATANOSKI: Should we do that off the
10 record then, ma'am, after proceedings close here
11 today?

12 SPECIAL MASTER VOWELL: We can close the
13 day. You all can confer, and I think all of us had
14 planned to be here until 5:00 or 6:00, so you can do
15 it in that length of time.

16 MR. POWERS: We'd be pleased to do that.

17 SPECIAL MASTER VOWELL: Okay.

18 MR. MATANOSKI: Thank you.

19 SPECIAL MASTER VOWELL: Okay. All right.
20 With that, I think we'll adjourn today, and we'll let
21 you all notify us back in chambers somehow that we're
22 ready to proceed.

23 MR. POWERS: Yes, we will.

24 MR. MATANOSKI: Thank you.

25 MR. POWERS: Thank you.

1 (Whereupon, at 4:52 p.m., the hearing in the
2 above-entitled matter was adjourned, to reconvene on
3 Tuesday, May 20, 2008, at 9:00 a.m.)

4 //

5 //

6 //

7 //

8 //

9 //

10 //

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

2049/2145

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584V, 03-215V
CASE TITLE: Claims for Vaccine Injuries
HEARING DATE: May 19, 2008
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 19, 2008

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018