

UNITED STATES  
COURT OF FEDERAL CLAIMS

---

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN )  
AUTISM SPECTRUM DISORDER, OR )  
A SIMILAR NEURODEVELOPMENTAL )  
DISORDER, )  
\_\_\_\_\_)  
FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, A )  
MINOR, )  
                    Petitioners, )  
v. ) Docket No.: 03-584V  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
                    Respondent. )  
\_\_\_\_\_)  
GEORGE AND VICTORIA MEAN, )  
PARENTS OF WILLIAM P. MEAN, )  
A MINOR, )  
                    Petitioners, )  
v. ) Docket No.: 03-215V  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
                    Respondent. )

**REVISED AND CORRECTED COPY**

Pages: 3231 through 3429/3530  
Place: Washington, D.C.  
Date: May 27, 2008

---

**HERITAGE REPORTING CORPORATION**

*Official Reporters*  
1220 L Street, N.W., Suite 600  
Washington, D.C. 20005-4018  
(202) 628-4888  
contracts@hrccourtreporters.com

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN )  
AUTISM SPECTRUM DISORDER, OR )  
A SIMILAR NEURODEVELOPMENTAL )  
DISORDER, )

\_\_\_\_\_  
FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, A )  
MINOR, )  
Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
Respondent. )

Docket No.: 03-584V

\_\_\_\_\_  
GEORGE AND VICTORIA MEAN, )  
PARENTS OF WILLIAM P. MEAN, )  
A MINOR, )  
Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
Respondent. )

Docket No.: 03-215V

Courtroom 402  
National Courts Building  
717 Madison Place NW  
Washington, D.C.

Tuesday,  
May 27, 2008

The parties met, pursuant to notice of the  
Court, at 9:05 a.m.

BEFORE: HONORABLE PATRICIA CAMPBELL-SMITH  
HONORABLE GEORGE HASTINGS  
HONORABLE DENISE VOWELL  
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire  
MICHAEL L. WILLIAMS, Esquire  
Williams Love O'Leary & Powers, P.C.  
977 S.W. Barnes Road, Suite 450  
Portland, Oregon 97225-6681  
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire  
LYNN E. RICCIARDELLA, Esquire  
U.S. Department of Justice  
Civil Division  
Ben Franklin Station  
P.O. Box 146  
Washington, D.C. 20044-0146  
(202) 616-4356

3233

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Respondent:</u>					
Michael L. Rutter	3236	3322	3413	3423	--
	--	3377	--	--	--

E X H I B I T S

PETITIONERS'

<u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
8	3328	--	Paper by Rutter, Autism and Known Medical Conditions: Myth and Substance
9	3340	--	NIH grant, Minocycline to Treat Childhood Regressive Autism
10	3412	--	Paper by Rutter on MMR

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

(9:05 a.m.)

SPECIAL MASTER CAMPBELL-SMITH: Good morning. Please be seated. We are back on the record for our third week as part of the second theory of the Omnibus Autism Proceeding to continue with Respondent's case.

Respondent to call your next witness. I will observe briefly based on some preliminary discussions, and perhaps, Respondent, you would care to share the schedule adjustment for today.

MR. MATANOSKI: Yes, ma'am. The adjustment would be that the United States is not calling Dr. Casanova because of some difficulties in getting him here, for example, but we will proceed on.

The United States will now call Professor Sir Michael Rutter to the stand.

SPECIAL MASTER CAMPBELL-SMITH: Thank you. Sir Rutter, would you raise your right hand, please.

Whereupon,

MICHAEL L. RUTTER

having been duly affirmed, was called as a witness and was examined and testified as follows:

SPECIAL MASTER CAMPBELL-SMITH: Thank you.

RUTTER - DIRECT

3236

1 DIRECT EXAMINATION

2 BY MS. RICCIARDELLA:

3 Q Good morning, Dr. Rutter.

4 A Good morning.

5 Q Would you please state your name for the  
6 record?

7 A Michael Llewellyn Rutter.

8 Q And please describe your current  
9 appointments.

10 A I'm Professor of Developmental  
11 Psychopathology at the Institute of Psychiatry, Kings  
12 College, London.

13 Q Dr. Rutter, would you please briefly  
14 describe your educational background?

15 A Okay. I trained in general internal  
16 medicine at first, but also in Neurology and  
17 Pediatrics before moving on to training in psychiatry  
18 and then in child psychiatry.

19 Q Do you have a medical degree?

20 A I have a medical degree in 1955.

21 Q Okay. Do you have the equivalent of a  
22 Ph.D.?

23 A Yes. In England, at the University of  
24 Birmingham M.D. is the equivalent, so I took an M.D.  
25 by thesis, which I got in 1962.

RUTTER - DIRECT

3237

1 Q Your CV states that you have an FRC in  
2 psychology. What is that? In 1971. What is that  
3 acronym?

4 A An FRC in psychology?

5 Q It says FRC Psych.

6 A Oh, FRC Psych.

7 Q I'm sorry.

8 A It's the equivalent of boards in psychiatry.

9 Q Okay.

10 A England does it by these strange mixtures of  
11 letters.

12 Q So do you hold what we would consider to be  
13 board certifications?

14 A Yes. I have board certification in internal  
15 medicine and psychiatry.

16 Q And do you have what we would consider to be  
17 licenses?

18 A Yes.

19 Q Okay. Is that the same thing?

20 A It is the same thing.

21 Q Would you please briefly describe your  
22 medical and clinical training?

23 A Okay. My medical training was initially in  
24 terms of training at the University of Birmingham, and  
25 then I went after that to various places, including

RUTTER - DIRECT

3238

1 the Heart Hospital where I was in cardiology before  
2 moving into psychiatry.

3 I trained then at the Maudsley Hospital, and  
4 then I had a year in the United States working in the  
5 Department of Pediatrics, Albert Einstein College of  
6 Medicine, and then I returned to a research position.

7 Q Do you also have training in neuroanatomy  
8 and neuropsychology?

9 A Yes. That would have been as part of the  
10 training in psychiatry at that time and also included  
11 a substantial amount of training in psychology so that  
12 I do actually have certification in psychology as  
13 well.

14 Q And when did you begin your work in child  
15 psychiatry?

16 A Basically I suppose about 1959, 1960.

17 Q And what made you go into child psychiatry?

18 A That's an interesting question. In those  
19 days the boss, i.e. the director, had a lot of power,  
20 and he decided that's what I should do.

21 I was initially actually a little bit  
22 reluctant, but I said I'd give it a go. I became  
23 hooked, became very much committed to child psychiatry  
24 and have remained so ever since, but it wasn't my  
25 initial choice.

RUTTER - DIRECT

3239

1 Q Would you please briefly discuss your  
2 academic employment history and other professional  
3 appointments that you've held?

4 A Okay. Moving on from the sort of training  
5 type appointments, I was appointed initially at the  
6 Institute of Psychiatry in the Maudsley Hospital as a  
7 senior lecturer in 1966 and then went on to a  
8 redisposition, which is equivalent to associate  
9 professor, and then full professor in 1973.

10 I've had a consultant appointment in the  
11 National Health Service since 1966, and I still hold  
12 that.

13 Q And what is the National Health Service?

14 A That's the state medical system. Then in  
15 1984 I set up the Medical Research Council Child  
16 Psychiatry Unit and was honorary director there until  
17 1998 and then set up the Medical Research Council  
18 Social, Genetic and Developmental Psychiatry Center in  
19 1994 again until 1998.

20 Since 1998 I've had what is in effect a  
21 research chair, although I continue to do both  
22 clinical work and teaching.

23 Q And what is the Medical Research Council?

24 A It's equivalent of NIH.

25 Q Now, your CV also lists external

RUTTER - DIRECT

3240

1 appointments. Would you please discuss a few of  
2 those?

3 A By the external appointments you mean like  
4 being clinical vice president of the Academy of  
5 Medical Sciences, which covers the whole of  
6 biomedicine?

7 I've been a trustee of the Nuffield  
8 Foundation, which is looking at the interface between  
9 science and policy, and really quite a range of other  
10 organizations. I'm on advisory committees around the  
11 world dealing with various research enterprises.

12 Q Now would you please highlight some of your  
13 personal achievements inside child psychiatry  
14 generally over the course of the past 40 years of your  
15 practice?

16 A Well, I suppose the overriding thing is a  
17 concern to integrate science with clinical issues so  
18 that I've always been concerned to try not just to be  
19 involved in science and clinical work, but to  
20 integrate them in a meaningful sort of way.

21 The research that I've done has covered  
22 quite varied things, so we undertook the first  
23 systematic epidemiological study out of Wight and then  
24 in London looking at mental disorders in children and  
25 young people.

RUTTER - DIRECT

3241

1           We did the first study looking at what is  
2           now called co-morbidity, i.e. the co-occurrence of  
3           apparently different disorders, both involving a range  
4           of longitudinal studies of both general population  
5           samples and high risk groups of one kind or another.

6           I've been involved in genetic studies, but  
7           initially quantitative genetic studies, i.e., twin and  
8           adoptee studies, and then more recently in the last  
9           decade or so with molecular genetics as well, plus  
10          other odds and ends, including I should say one of the  
11          first systematic study looking at the relationship  
12          between neurological disorders in children and  
13          psychiatric problems.

14          Q       Now with regard to your work in autism  
15          specifically, could you please highlight some of your  
16          personal accomplishments in that field over the last  
17          40 years?

18          A       Okay. Again there are many. So that the  
19          longitudinal study that I did in the 1960s was the  
20          first study to show that children who had not had any  
21          detectible neurological abnormalities when young  
22          nevertheless showed a higher rate of development of  
23          epileptic seizures during adolescence and early adult  
24          life. So that was the first evidence really of  
25          thinking that we were dealing with some kind of

RUTTER - DIRECT

3242

1 organic disorder, neurodevelopmental disorder.

2 We're also involved in development of  
3 methods of measurement, for diagnosis based on  
4 parental reports, the ADIR with colleagues in this  
5 country and elsewhere and the methods of observation  
6 of children, the so-called ADOS, again with colleagues  
7 in this country and elsewhere.

8 We had a prolonged period of looking in some  
9 detail at cognitive functioning in autistic  
10 individuals because at that time there was a concern  
11 that these were motivational problems and so we set  
12 out experimentally to test some of those notions, the  
13 genetic studies, so we did the first systematic twin  
14 study of autism back in the '70s and the first  
15 systematic family study a little bit after that in  
16 parallel with a similar study by Susan Folstein and  
17 her colleagues at Johns Hopkins, so amongst other  
18 things.

19 Q Were you involved in the formulation of the  
20 DSM-IV?

21 A Yes, I was and also the ICD-10 at that time,  
22 so that was a time period in which steps were taken by  
23 both these organizations to try and bring the two  
24 classifications closer together, so I was involved in  
25 both, but also in the bridging operation.

RUTTER - DIRECT

3243

1 Q Now would you please briefly discuss your  
2 clinical experience with regard to the diagnosis and  
3 treatment of autism and other autism spectrum  
4 disorders over the past 40 years?

5 A Well, that goes back to the early '60s, and  
6 I've been involved with that ever since. The amount  
7 of clinical work I do in relation to autism has been  
8 less in recent years, but I continue to see more  
9 complicated cases mainly in adults, that raise issues  
10 that people want my advice on.

11 I used to be involved quite heavily in the  
12 treatments of autistic individuals, but during the  
13 last decade my work has been much more of an advisory  
14 capacity.

15 Q Approximately how many children would you  
16 say you've diagnosed with autism over the course of  
17 your career?

18 A Many hundreds.

19 Q And did you follow them into adolescence as  
20 part of your career?

21 A Yes, indeed. We have done that as part of  
22 clinical practice, but also we have done actually two  
23 major systematic follow-up studies going not only into  
24 adolescence, but also into adult life.

25 Q You mentioned that you still have somewhat

RUTTER - DIRECT

3244

1 of a clinical practice. That you follow adults with  
2 autism?

3 A That's involved with autism, but also  
4 another study I've been involved with is looking at  
5 the psychological outcomes of children adopted from  
6 very deprived, depriving Romanian institutions into  
7 generally well-functioning adoptive homes in the U.K.  
8 We have been following those from age four most  
9 recently to age 15.

10 They have thrown up a number of clinical  
11 problems and so I've been available. Again, because  
12 they're scattered all over the U.K. and to some extent  
13 the rest of the world now because some have  
14 immigrated, my job is advisory rather than taking on  
15 the individual treatment.

16 Q Do you still have a research practice?

17 A Very much so.

18 Q And could you please describe what your  
19 research practice entails?

20 A I guess what is most distinctive about my  
21 research is that I tend to have an integrated approach  
22 across different strategies, so I'm involved in  
23 quantitative genetic studies, twin and adoptee  
24 studies. I'm involved in molecular genetic studies of  
25 autism.

RUTTER - DIRECT

3245

1 I'm involved particularly in looking at  
2 genetic environmental interplay with respect to gene/  
3 environment interactions, but also other forms of  
4 interplay. I'm involved in long-term longitudinal  
5 studies so that we have recently followed up into  
6 middle age the children that we saw in the Isle of  
7 Wight in the 1960s.

8 Q Now, according to your curriculum vitae you  
9 have published over 400 scientific articles pertaining  
10 to child psychiatry and development. Is that correct?

11 A Something of that order.

12 Q And are they all peer reviewed?

13 A Yes.

14 Q And according to your CV, you have written  
15 over 200 book chapters related to child psychiatry.  
16 Is that correct?

17 A That is correct.

18 Q And you've authored 40 books pertaining to  
19 child psychiatry and genetics as it impacts on the  
20 issues of child psychiatry? Is that correct?

21 A Yes. Actually a bit more than that now.

22 Q Do you have some manuscripts of books in  
23 press?

24 A Yes, I do.

25 Q And do a substantial number of your

RUTTER - DIRECT

3246

1 publications pertain to autism spectrum disorders?

2 A Yes. I've never counted them up, but quite  
3 a lot do because that's been a major research  
4 interest, as well as a major clinical interest.

5 Q Now, your CV also indicates that you've  
6 served on numerous editorial boards for psychiatry and  
7 development-related scientific journals. Is that  
8 accurate?

9 A Yes.

10 Q Could you please highlight a few of those?

11 A In the children's field, *The Journal of*  
12 *Child Psychology and Psychiatry and Allied Disciplines*  
13 would be one which is one of the higher impact  
14 journals in the field, the *British Journal of*  
15 *Psychiatry, Psychological Medicine*, a range of  
16 different journals as well as more specialized  
17 journals such as *Autism*, so quite a range.

18 Q Now, earlier in your testimony you referred  
19 to your previous academic appointments and employment  
20 history. Could you briefly discuss your former and  
21 your current teaching responsibilities?

22 A Okay. It's all now at the postgraduate  
23 level so that I run a course primarily geared on  
24 people from the Third World training in child  
25 psychiatry. This is an interdisciplinary group of

RUTTER - DIRECT

3247

1     pediatricians, psychologists, psychiatrists. So it's  
2     a one-year course, and that covers a range of  
3     different issues.

4             I also do a course on social development,  
5     which amongst other things deals with gene/environment  
6     interaction and also the use of natural experiments to  
7     test causal inferences on environmental causes of  
8     disease which I've done for this last year. That's  
9     the Ph.D. students taking a special four-year program  
10    which spans basic and clinical at the Institute of  
11    Psychiatry.

12            Q     How long have you been teaching?

13            A     Since I started in the field half a century  
14    ago.

15            Q     Do you also give lectures to professional  
16    groups or organizations?

17            A     Yes, both nationally and internationally.

18            Q     On what topics?

19            A     Reflecting my wide range of interests on all  
20    sorts of things, so most recently a series on ADHD in  
21    Oslo, a series in New Zealand last year on gene/  
22    environment interaction, a series recently on autism.  
23    A great mixture.

24            Q     Now, as indicated on your CV you've received  
25    numerous awards and extensive international

RUTTER - DIRECT

3248

1 recognition for your work in child psychiatry and  
2 autistic spectrum disorders. Would you just highlight  
3 a few of those honors and awards that are most  
4 meaningful to you?

5 A The most prestigious probably is the  
6 election to the British Royal Society, which is the  
7 equivalent to the National Academy of Sciences in the  
8 U.S., where I was elected in 1987. I was also elected  
9 to the Institute of Medicine in 1988 I think it was.

10 I've got the Helmut Horten prize, which is  
11 one of the big prizes in medicine, for my work on  
12 autism back 15 years ago. I don't remember which  
13 year. I've had the NARSAD award, the Louvain award.  
14 I've got quite a range of those.

15 Q Your CV states that you're a founding member  
16 of Academia Europaea. What is that?

17 A That is a bringing together across the whole  
18 of Europe of the academies both of science, but also  
19 the academies in humanities and social sciences.

20 Q It also states that in 1992 you were honored  
21 as a Knight Baronet for your work in the field of  
22 child psychiatry. Would you please describe what that  
23 honor is?

24 A That's a strange British thing that is given  
25 for people who have contributed beyond their posts,

RUTTER - DIRECT

3249

1 i.e. it's not given for holding particular jobs, but  
2 in terms of making major contributions, in my case in  
3 both medicine and education actually.

4 Q Now, your curriculum vitae has been filed as  
5 Respondent's Exhibit HH in this litigation. Is  
6 Respondent's Exhibit HH an accurate summary and  
7 description of your education, qualifications and  
8 publications?

9 A Yes, it is.

10 Q Doctor, in your report you stated that four  
11 years ago you agreed to serve as an expert witness  
12 with respect to thimerosal litigation. Would you  
13 please describe what you're referring to?

14 A Yes. That was litigation actually in the  
15 United States, and I as part of that did a partial  
16 incomplete report, but the litigation was put on hold  
17 or abandoned -- I don't know which -- so that I never  
18 actually completed that report, and it never of course  
19 appeared in court.

20 Q And you also reference that you were  
21 involved in the MMR litigation in the United Kingdom.  
22 Could you describe your involvement in that  
23 litigation?

24 A Very similar. That I had agreed to give  
25 evidence as an expert witness, but the trial was

RUTTER - DIRECT

3250

1 abandoned and my report was never completed or filed.

2 Q Doctor, I'd like to turn now to a discussion  
3 of the nature of autistic spectrum disorders. What is  
4 meant by the term autism or autistic spectrum  
5 disorder?

6 A Okay. It's a term that goes back to 1943  
7 when Leo Kanner at Johns Hopkins described a series of  
8 11 children with patterns that seemed distinctly  
9 unusual and differentiated them from other disorders  
10 and where the characteristics would now be considered  
11 particularly in relation to three domains of  
12 functioning:

13 Firstly, in terms of problems with social  
14 reciprocity; secondly, problems in terms of social  
15 communication; and, thirdly, unusual circumscribed  
16 interests and repetitive patterns of behavior. It's  
17 the co-occurrence of those three plus the fact that  
18 the origin is in early life, which are the distinctive  
19 features.

20 Q Now, in your report you used the term  
21 qualitative to describe the three domains. What is  
22 meant by the term qualitative?

23 A It means that it wasn't just that the  
24 children are delayed in these functionings, but that  
25 the quality was unusual in children of any age. It's

RUTTER - DIRECT

3251

1 abnormality in type, not just in degree or timing.

2 Q Could you please explain what you mean by  
3 qualitative abnormalities in reciprocal social  
4 interaction?

5 A Okay. Even young babies, there's a kind of  
6 to and fro quality. It's one of the fun things about  
7 babies that you smile at them. They gurgle back  
8 again. There's a to and fro.

9 As children grow older of course that  
10 becomes more complex, but it is essentially  
11 reciprocity in the sense of responding to the other  
12 person. It's not doing a particular form of behavior.  
13 It is an interplay, and it's an interplay that  
14 develops over time.

15 So that's the particular feature which is so  
16 strikingly human and so strikingly impaired in  
17 individuals with autism.

18 Q And would you please explain what you mean  
19 by qualitative impairment in communication as one of  
20 the domains?

21 A The same sort of issue that it's not just  
22 that children with autism are delayed in speaking,  
23 although they usually are, but that they fail to use  
24 language in a communicative way so that they may talk,  
25 but they don't converse.

RUTTER - DIRECT

3252

1           Let me move ahead to an older age group.  
2           The thing about conversation in middle childhood or in  
3           adult life is not just that you produce a set of  
4           words, but you're talking with the other person.  
5           You're responding to them. What they say influences  
6           what you say. What you say influences what they say.  
7           There's a to and fro.

8           It's that kind of communicative interchange  
9           that is the thing that is most strikingly impaired in  
10          autism. In addition, they have a variety of atypical  
11          features of various kinds like reversing pronouns and  
12          so on, but it's the nonsocial that's the most  
13          characteristic.

14          Q       And the third domain? Would you please  
15          explain what you mean by restricted, repetitive and  
16          stereotyped behavior, interests and activities?

17          A       Yes. This is something that both Leo Kanner  
18          and his paper in '43 but also Asperger in his somewhat  
19          comparable paper in '44 emphasized.

20          They were not talking about sort of funny  
21          movements, although some individuals with autism have  
22          funny movements, but rather that they are of a highly,  
23          particular kind so that one child I had would not turn  
24          right. If you wanted him to turn right at the  
25          crossroads he had to go left and left and left until

RUTTER - DIRECT

3253

1 he got going in the right direction.

2 Another child was preoccupied with drains,  
3 knew a vast amount about drains and whenever visited  
4 somebody's home looked carefully at their drain system  
5 and how it worked.

6 So circumscribed, focused stereotype, but  
7 often quite complex so that these are not just simple,  
8 repetitive movements. These are things of a much more  
9 complex kind.

10 Q And when do these symptoms typically become  
11 manifest in an autistic child?

12 A The social and communicative tend to be much  
13 earlier than the repetitive stereotype behavior. The  
14 repetitive stereotype behaviors can be evident in the  
15 preschool years, but it's during the later preschool  
16 years that they tend to become more obvious.

17 Q But by definition do they have to become  
18 manifest before the age of three?

19 A Some aspect of the autistic features have to  
20 be evident by three by the standard classification  
21 criteria, yes.

22 Q You touched on this earlier, but do  
23 clinicians have a method for diagnosing and assessing  
24 autism spectrum disorders?

25 A Yes. The instruments I described -- the

RUTTER - DIRECT

3254

1 ADIR and the ADOS -- have become pretty standard as  
2 research instruments, but the principles of those have  
3 been much more widely employed clinically as well.

4 In some specialized clinics they would  
5 actually use these instruments, but even where they  
6 don't do that they would follow the principles in a  
7 more modified way, depending on the time and resources  
8 available to them.

9 Q Doctor, what disorders comprise the autism  
10 spectrum?

11 A These are a range of disorders where the  
12 qualities are very similar to the kind that I've just  
13 described, but which in essence vary in their  
14 severity.

15 So-called Asperger's Syndrome is an example  
16 where the overall delay in language functioning is not  
17 found, although the social and communicative  
18 qualitative abnormalities are, so that would be one  
19 example.

20 Whether that is distinctively different from  
21 higher functioning autism or not remains uncertain,  
22 but that would be a key feature. It would include a  
23 range of other less specific syndromes which tend to  
24 get lumped together under atypical or pervasive  
25 developmental disorders not otherwise specified.

RUTTER - DIRECT

3255

1           In the existing classification systems, Rett  
2     Syndrome is also usually included there, but virtually  
3     all clinicians would actually see that as rather  
4     different. That is not really a variety of autism.  
5     It's just that in the early stages it can be modeled  
6     with it, so it's a range that mainly varies in  
7     severity.

8           Q     Is Child Disintegrative Disorder among the  
9     spectrum disorders?

10          A     Yes, that would be one. So this is a  
11     condition first described a very long time ago in  
12     which children after apparently normal development  
13     show a profound loss of skills, profound  
14     disintegration of functioning and later on look very  
15     much like a severely handicapped individual with  
16     autism.

17                 It's been subjected to much less research,  
18     and again it's unclear whether it's a variant of  
19     autism or simply something that may be confused with  
20     it, but you're right. That would also be included in  
21     the autism spectrum. It obviously is at the more  
22     severe end.

23          Q     Will the disorder of autism in an individual  
24     persist as he or she ages?

25          A     Yes. Quite a number of long-term follow-up

RUTTER - DIRECT

3256

1 studies from Kanner himself to much more recent  
2 investigators such as ourselves have shown that on the  
3 whole although there are changes and the young people  
4 may sometimes become independent, able to hold down a  
5 job, but the kind of qualitative abnormalities do  
6 persist.

7 There are some individuals, a quite small  
8 proportion, who appear to recover completely, but they  
9 are a minority.

10 Q Does the condition improve in some  
11 individuals rather than --

12 A Yes. Oh, yes.

13 Q Is autism associated with mental retardation  
14 or intellectual disability?

15 A Yes, it is. That was observed again early  
16 on and has been confirmed many times since.

17 There was a time when people assumed that  
18 that was usual, and one of the things that has emerged  
19 out of both the genetic research and the  
20 epidemiological research is that autism can occur in  
21 individuals of normal intelligence, as well as those  
22 who are intellectually disabled, and that is what has  
23 led to a broadening of the diagnostic concept.

24 Q Now, you touched on Leo Kanner back in 1943.  
25 So autism is not a relatively new disorder, is it?

RUTTER - DIRECT

3257

1           A     No.  And there have been quite a few studies  
2     done looking back at case records or reports of one  
3     kind or another of individuals before 1943, and it's  
4     quite clear that once people knew what to look for it  
5     had occurred at an earlier point in time.

6                     It didn't suddenly begin in 1943.  It's just  
7     that Kanner was the first man to have the astute  
8     observations to recognize these were different than  
9     other problems.

10           Q     Now, earlier you said that you did one of  
11     the first systematic comparative studies of autistic  
12     symptoms compared with other forms of mental  
13     disorders.  Could you explain what you mean by that?

14           A     Yes.  At that time there were various  
15     comparisons between autism and normally developing  
16     children, but it seemed to me that that actually  
17     wasn't the real issue.  The hall porter could probably  
18     do that without a diagnostic assessment.  The real  
19     question was whether autism differed from other  
20     developmental and psychiatric disorders.

21                     So we took a group of children from the  
22     Maudsley Hospital Clinic who had autism, although in  
23     those days it was called an infantile psychosis, but  
24     that amounts to the same thing nowadays, and a group  
25     who were matched for their intellectual level and

RUTTER - DIRECT

3258

1 their sex who attended the same clinic, and we  
2 followed both of those over time.

3 And so it was that study which, amongst  
4 other things, showed this unusual picture of epileptic  
5 seizures developing late. Ordinarily in the general  
6 population all individuals with intellectual  
7 disability, what used to be called mental retardation,  
8 develop their seizures early, so early childhood is  
9 the typical time.

10 So it wasn't that the rate of seizures was  
11 strikingly raised, but that they began at a very  
12 unusual time, late adolescence. They do occur at  
13 other times as well, but that was the peak period.

14 Q Now, in your report you refer to the  
15 distinctiveness of autism as compared with other forms  
16 of mental disorders. Could you please describe what  
17 you mean by that?

18 A Yes. A whole lot of research has shown that  
19 it's not just in the symptom patterns that individuals  
20 with autism are different, but there are all sorts of  
21 other ways.

22 For example, the early studies that we did  
23 during the 1960s and the experimental studies by  
24 people like Beate Hermelin and Neil O'Connor showed  
25 that the particular pattern of cognitive skills was

RUTTER - DIRECT

3259

1 quite different in autism as compared with other  
2 groups.

3 The fact that the head circumference of  
4 children with autism was raised has been shown  
5 initially by studies measuring head circumference  
6 using a tape measure and more recently with structural  
7 brain imaging, and what is characteristic is that the  
8 head circumference and the brain size is roughly  
9 normal at birth, but increases during the preschool  
10 years, whereas in individuals with intellectual  
11 disability, mental retardation, their heads tend to be  
12 smaller rather than larger. That's something that  
13 came out of a study, for example, that Eric Fombonne  
14 did.

15 Q Now, at what age do a child's parents  
16 typically begin recognizing developmental problems in  
17 their child that turn out to be autistic?

18 A Typically around about 18 to 24 months. It  
19 varies. Of course, it does vary, as one might expect,  
20 as to whether they had had an earlier child with  
21 autism or whether there are other autistic children  
22 whom they knew, but the recognition is usually around  
23 and about that age period.

24 With Asperger's Syndrome, because of the  
25 lack of overall language delay it tends to be a bit

RUTTER - DIRECT

3260

1 later.

2 Q And what are the first symptoms that are  
3 typically recognized by parents?

4 A Quite varied. The communication problems  
5 and the lack of social reciprocity are often the first  
6 things to be picked up, but it can be quite a range of  
7 different things.

8 Often, as is typical with developmental  
9 disorders, parents are first aware this child isn't  
10 behaving in a way that seems right so that they find  
11 it difficult to put their finger on it, but they have  
12 recognized there's something unusual in the way the  
13 children are behaving. They are picking up the social  
14 and communicative abnormalities as a rule.

15 Q Now, in your report you state that subtle  
16 social abnormalities are evident in many cases at 12  
17 months of age, but study findings do not indicate that  
18 an autism diagnosis can readily be made at that time  
19 on the basis of ordinary clinical assessment. Could  
20 you please explain what you mean by that?

21 A Yes. There have been a number of studies  
22 which have tried to look at whether even though the  
23 parents may not have recognized it at the time there  
24 were subtle features that were evident at an earlier  
25 point.

RUTTER - DIRECT

3261

1           The two main ways this has been done has  
2           been from home videos, the films that many families  
3           take at birthday parties and family gatherings,  
4           looking at whether you can see abnormalities at that  
5           time.

6           More recently there have been so-called baby  
7           sibs studies which is taking families in which there  
8           is one child with autism and following the other  
9           children, the rationality being that the genetic  
10          studies suggest that a proportion -- five to 10  
11          percent -- will develop an autism spectrum disorder,  
12          and therefore by assessing them at different ages  
13          throughout these early years you can see when the  
14          abnormalities appear.

15          What the results show is that if you're  
16          looking at it at a group level -- that's to say you're  
17          taking a group with autism and a group of normally  
18          developing children -- there's very little to show  
19          before the age of 12 months, but at 12 months you can  
20          find some differences, not in all children, which  
21          differentiate the groups.

22          But when this has been done by experienced  
23          clinicians, as it were, looking at the videotapes but,  
24          not as it were, doing all the complicated measures  
25          they actually don't do better than charts, so what the

RUTTER - DIRECT

3262

1 evidence suggests is that there are earlier  
2 manifestations, but they're incredibly difficult to  
3 pick up and at an individual diagnostic level they are  
4 too varied to be of a great deal of use.

5 Now, they have been very useful in one sort  
6 of way. That's to say if on looking at these videos  
7 you see indications of behavior that is clearly  
8 abnormal that is reasonably good evidence that there  
9 were abnormalities present at that time.

10 It's less satisfactory the opposite way  
11 around because the videos are of course taken to  
12 illustrate forever a happy occasion so they're not  
13 designed to focus, so the fact that you don't see  
14 abnormalities is much less useful than if you  
15 definitely do.

16 Sorry. That's rather a long answer, but it  
17 is complicated.

18 Q That's fine. That brings me to my next  
19 point. In his report on page 5, Dr. Kinsbourne states  
20 that the majority of autistic children exhibit some  
21 level of autistic behavior in the first year of life.  
22 Do you agree with his statement?

23 A No.

24 Q For the reasons that you've just  
25 articulated?

RUTTER - DIRECT

3263

1 A For the reasons that I've just given.

2 Q Okay. Is a review of pediatric records  
3 during the first year of life a reliable measure of  
4 entirely normal development?

5 A No. That's true both of the records that  
6 I've seen in the U.K. and in the U.S. The reason of  
7 course is that those making the records at the time  
8 aren't focusing on the possibility that somebody may  
9 later want to know whether there were signs of autism  
10 at that time.

11 So they're not bad in terms of clear-cut  
12 abnormalities, so that if the record states the child  
13 is not yet walking independently that's probably  
14 valid. If the record says child seems socially okay  
15 that's not much help because you have no idea what  
16 they looked at. You have no idea what is meant by  
17 that.

18 So again a bit like the videotapes. If  
19 there's a clear-cut description of something that is  
20 manifestly abnormal then that's quite reasonable  
21 evidence. The fact that it's not mentioned other than  
22 in a very general way, or even not mentioned at all,  
23 doesn't help.

24 Q Now, in your report you say there are many  
25 variations in the manifestations of autistic spectrum

RUTTER - DIRECT

3264

1 disorders. Could you explain what you mean by that?

2 A Yes. One of the characteristics not just of  
3 autism, but of almost all medical conditions, is how  
4 varied they are.

5 So let me illustrate that by referring to  
6 one monozygotic identical twin pair that was part of  
7 the study that Susan Folstein and I did. They are  
8 both autistic and they have various things in common,  
9 but at an IQ level they're 50 points apart so one is  
10 functioning in the normal range; one is in the  
11 intellectually disabled/retarded range. If you look  
12 at the details of the symptomatology you would see  
13 similar variations of this kind.

14 Q Is this evidence that there are  
15 environmental risk factors at work to explain the  
16 variance?

17 A Not at all. So that, for example, if one  
18 takes a condition like tuberous sclerosis, which is a  
19 mendelian condition -- that's to say due entirely to  
20 genetic factors, not environmental conditions -- some  
21 individuals show minor skin abnormalities that require  
22 an expert to detect them. Others have large tubers in  
23 the brain which are associated with mental  
24 deficiencies, severe intellectual disability and  
25 epilepsy.

RUTTER - DIRECT

3265

1           So here we have a condition that has no  
2 evidence of environmental factors playing a role, but  
3 with a similar degree of variation, and that would be  
4 true generally. I mean, that's nothing very special  
5 to autism.

6           Q     Are there any known medical causes of  
7 autism?

8           A     Yes, there are. So that tuberous sclerosis  
9 is associated with a much increased rate of autism.  
10 The Fragile X anomaly is associated with a small  
11 proportion of cases. So there are a number that play  
12 a part in causation.

13                 I deliberately put it play a part in  
14 causation because it is quite difficult to know  
15 whether this fully accounts for the disorder or not,  
16 so to come back to tuberous sclerosis, yes, there is  
17 quite a strong association.

18                 There's every reason to suppose it's part of  
19 the causative process, but there's also evidence that  
20 the risk goes up according to where in the brain the  
21 tubers, the tumors, are found and whether there is  
22 associated intellectual retardation.

23                 So that it's not clear whether it's that the  
24 genes are interconnected or that the parts of the  
25 brain that are involved are bringing it together, but,

RUTTER - DIRECT

3266

1 yes, there are some. The estimates of the proportion  
2 of cases due to diagnosable medical conditions varies,  
3 but it would be somewhere around the 10 to 15 percent  
4 level.

5 Q Now, in your report you mention that there  
6 have been case reports of individual cases of herpes  
7 encephalitis that give rise to autistic-like features.  
8 Are those case reports evidence of a postnatal cause  
9 of autism disorder?

10 A They have been claimed as such, and I  
11 included them in my report really out of fairness  
12 because of those claims.

13 If you read carefully the reports, they're  
14 not actually terribly convincing that this is autism  
15 as we understand it, and of course because there are  
16 some autistic features of a kind that are parallel  
17 they are utterly different in the course, the age of  
18 onset, I mean all sorts of other features, and they  
19 are rare. There are isolated, rare reports, so I  
20 don't find those actually very convincing.

21 Q Now, in your report you state that rarely  
22 brain abnormalities acquired postnatally can give rise  
23 to ASD-like features. Can you please explain what you  
24 mean by that?

25 A Yes. Because we don't know the precise

RUTTER - DIRECT

3267

1 neuro basis, i.e. the precise brain basis, of autism  
2 it is difficult to decide where you're dealing with  
3 true postnatal causes or whether you're dealing with  
4 what are called phenocopies, things that look a bit  
5 like autism but are actually very different.

6 So that the evidence which is reasonably  
7 solid applies all to prenatal causes, but it is  
8 certainly possible that very early postnatal causes  
9 might do the same thing, but I put it in terms like  
10 that rather than that there are good examples that are  
11 really proven to a satisfactory degree.

12 Q Are there objective signs of abnormal brain  
13 development in some autistic individuals?

14 A Oh, yes. The findings of increased brain  
15 size during the preschool years is an example of that.  
16 What we don't have is an objective test so that if  
17 one's concern as a medic is to diagnose diabetes there  
18 are laboratory tests that can tell you whether the  
19 person does or doesn't have diabetes. You don't have  
20 to rely on just the symptoms.

21 But in almost all of psychiatry, including  
22 child psychiatry and autism, we don't have tests like  
23 that.

24 Q You had mentioned that some individuals with  
25 autism develop seizures in adolescence.

RUTTER - DIRECT

3268

1 A Yes.

2 Q What percentage?

3 A About 25 percent.

4 Q You also touched on this earlier that brain  
5 imaging studies are consistent --

6 A Yes.

7 Q -- in showing a systems abnormality rather  
8 than a localized brain area abnormality. What do you  
9 mean by that?

10 A There was a day, if we go back several  
11 decades, where neurologists and psychiatrists were  
12 thinking that autism might be due to a particular part  
13 of the brain that was malfunctioning. It's quite  
14 clear from all research that's been done over the  
15 recent decades that it isn't like that. There is not  
16 a part of the brain that's gone wrong that causes  
17 autism.

18 Rather what the research suggests is that  
19 it's much more a systems abnormality in the brain in  
20 which the interconnections between different parts of  
21 the brain is not working the way that they should so  
22 that the functional imaging studies would be striking  
23 in showing that.

24 So these are studies in which you are  
25 examining brain function in relation to either

RUTTER - DIRECT

3269

1 specific cognitive tasks such as the mentalizing  
2 skills related to theory of mind or in relation to  
3 specific drugs, and what you find is that the parts of  
4 the brain that are working when these tasks are dealt  
5 with are different in individuals with autism than in  
6 normally developing individuals.

7 But they don't land up with a clear-cut  
8 answer why it's there rather than there. It's that  
9 the interconnections are not functioning in the way  
10 that they should.

11 Q Now, your report also states that there are  
12 congenital physical anomalies found in some children  
13 with autism.

14 A Yes.

15 Q Could you please explain what you mean by  
16 that?

17 A Yes. Let me start with a preliminary  
18 statement that the way biology works is probablistic.  
19 That's to say that the development of human beings or  
20 indeed any animal is designed to work in a particular  
21 sort of way, but there aren't instructions from each  
22 gene to say what each and every cell does.

23 It as it were specifies a pattern, and that  
24 there is a need then later to have ways of correcting  
25 that pattern. That means that things go wrong quite

RUTTER - DIRECT

3270

1 often so that many people will know of children who  
2 have been born with extra teeth or missing teeth or an  
3 extra nipple. They are minor things that mostly have  
4 no functional significance.

5 But these are things which relate to  
6 prenatal development and where the rate of these kinds  
7 of abnormalities is increased. Not just in autism.  
8 It's increased in schizophrenia, ADHD and a range of  
9 other disorders. So they are of interest in showing  
10 developmental perturbations; that the way in which  
11 development should proceed is not functioning quite  
12 right for reasons that must have gone wrong at a  
13 prenatal stage.

14 Q Now, in your report you state that autism is  
15 associated with a deficit in what you term theory of  
16 mind.

17 A Yes.

18 Q Could you please explain what you mean by  
19 that term?

20 A Yes. It's not actually a term that I  
21 particularly like because it sort of sounds as if the  
22 children have got some theory like Darwin or Einstein  
23 or whatever, but it isn't like that.

24 What it refers to is the fact that human  
25 beings are really very good at recognizing from the

RUTTER - DIRECT

3271

1 social context and a broader range of cues what  
2 another person is likely to be thinking. And that's a  
3 mentalizing skill, and it's as it were being able to  
4 read into the other person's mind.

5 The example that I can give is a case that I  
6 actually wrote up in 1983 of a young man, a higher  
7 functioning autistic individual, who complained that  
8 everybody else seemed to have an extra sense that he  
9 lacked.

10 And he said that he would go into his boss'  
11 office and his boss was on the phone and so he would  
12 start asking him a question and the boss would get  
13 angry and tell him to get out because he was busy on  
14 the phone. He hadn't picked up that if the man was on  
15 the phone it was likely that he didn't want to be  
16 interrupted.

17 In the same sort of way, we do this all the  
18 time. So with young children you can see them sizing  
19 up social situations. If they're trying to join a  
20 group of other children are they going to be welcomed?  
21 Are they not being welcomed? What must they do to try  
22 and sort of join the group?

23 So these mentalizing skills of understanding  
24 from the social situation is what is meant by theory  
25 of mind. There are special tests which I could

RUTTER - DIRECT

3272

1 describe if you wish that are designed to test that  
2 specifically, but it's a very universal skill that  
3 appears very early.

4 Q Is that considered to be a cognitive deficit  
5 in autism?

6 A Yes.

7 Q And what do we know about the effect of  
8 genetic influences on one's liability to autism?

9 A The twin studies are consistent in showing  
10 that there is a strong genetic liability so that the  
11 concordance rate in monozygotic pairs or identical  
12 twin pairs is about 60 percent for the full picture of  
13 autism. It's about 90 percent for a broader  
14 phenotype, i.e. with milder estimates, milder  
15 manifestations.

16 Whereas in dizygotic pairs the full picture  
17 is found in a very small proportion, five percent or  
18 less, and up to about 10 percent with these broader  
19 manifestations, so the gap between the identical pairs  
20 that share all their genes and the dizygotic pairs  
21 that share half their genes indicates a strong genetic  
22 liability.

23 In order to quantify that you have to know  
24 something about the frequency in the general  
25 population, but the estimates are that about 90

RUTTER - DIRECT

3273

1 percent of the liability to autism is genetically  
2 influenced.

3 Q Now, you addressed earlier that you  
4 conducted the first twin study of autism, correct?

5 A Yes.

6 Q What did that study entail?

7 A Indeed just as I've described, but it was  
8 also important for the first time in indicating that  
9 the genetic liability applied outside the traditional  
10 handicapping disorder so it was actually one of the  
11 first indications that there needed to be a broadening  
12 of the diagnostic concept.

13 Q And what have twin studies shown to be the  
14 concordance rate of autism? You just said 90 percent  
15 with MZ twins.

16 A Yes.

17 Q What was the percentage for dizygotic twins  
18 again?

19 A About 10 percent --

20 Q About 10 percent.

21 A -- with the broader phenotype.

22 Q Okay.

23 A And less than five percent with a full  
24 picture.

25 Q The full picture being autism?

RUTTER - DIRECT

3274

1 A Yes.

2 Q Autistic disorder? Okay. Now, there have  
3 also been studies done in families with autistic  
4 family members, correct?

5 A Yes.

6 Q When we talk about family studies, what does  
7 that mean?

8 A It means looking at autistic-like features  
9 in this instance, but also other features in family  
10 members.

11 And the studies that were set up by Susan  
12 Folstein and her colleagues at Johns Hopkins and my  
13 group in London at about the same time after the  
14 initial twin studies was comparing the families of  
15 individuals who had one or more -- some individual --  
16 affected with autism with a Down Syndrome group where  
17 we were equating for a handicapping condition to try  
18 and equate for people's awareness of the sort of  
19 things that might be important, but where there was no  
20 reason to suppose that the same genetic factors  
21 applied.

22 And what this showed was that the rate of  
23 autism and the rate of the broader phenotype, these  
24 milder conditions, was much more common in the  
25 individuals with an autistic individual than it was in

RUTTER - DIRECT

3275

1 the group with a Down Syndrome individual.

2 Other studies have used different comparison  
3 groups, but the results are all pretty much the same  
4 in showing that what is usually called the familial  
5 loading -- that's to say the proportion, members of  
6 the family who show these sorts of features -- is much  
7 up in relation to autism.

8 So the strategy is different, and you can't  
9 tell from that per se whether it's genetic, but the  
10 pattern is very similar to what was found on the twin  
11 studies.

12 Q Now, in your opinion do nongenetic risk  
13 factors have a contributory role in some instances of  
14 autistic spectrum disorder?

15 A Yes. The evidence from the twin studies,  
16 but also the family studies, is that autism is a  
17 multifactorial disorder. That's to say it's not a  
18 mendelian condition in which one gene fully accounts  
19 for autism.

20 And what that means is that you must expect  
21 that the resulting condition, i.e. autism or an autism  
22 spectrum disorder, comes from the combination of  
23 multiple genes -- in the case of autism probably a  
24 modest number; the estimates have been something  
25 between three and 12 or something of that order -- and

RUTTER - DIRECT

3276

1 also nongenetic factors.

2 Now, the terminology of nongenetic factors  
3 rather than saying environmental factors brings in the  
4 important consideration that the nongenetic factors  
5 need not necessarily involve a defined measurable  
6 environmental hazard so that the congenital anomalies  
7 would be one example.

8 We know that the rate of chromosome  
9 abnormalities is raised in autism compared to the  
10 general population. It's not that a particular  
11 chromosomal abnormality, with one exception, is  
12 particularly associated with autism. It is the  
13 chromosomal anomalies more generally are increased.

14 More recently there's been a study of what  
15 are called copy number variations, which is meaning  
16 minuscule, submicroscopic deletions or substitutions  
17 of bits of the genetic code, are also more common in  
18 autism. Now, all of those are not due to a defined  
19 environment, but they're not genetic in the ordinary  
20 sense of the word.

21 In addition, there's a very interesting  
22 study published last year by Reichenberg which showed  
23 that the risk of the offspring having autism was  
24 raised if the fathers were unusually elderly.

25 And it's not that that's causing a direct

RUTTER - DIRECT

3277

1 effect. It's that we know from the larger study of  
2 mothers -- I don't mean by Reichenberg, but by loads  
3 of people -- that when children are born to older  
4 mothers they have higher rates of what I have termed  
5 these developmental perturbations, and it may be that  
6 it's that sort of nongenetic factor instead of the  
7 defined environmental cause.

8 Both are possible, but one has to as it were  
9 bear in mind that what is not genetic is not  
10 necessarily an environmental hazard.

11 Q Now, in your report you say that it's wrong  
12 to assume that because the heritability of a liability  
13 to autism is as high as 90 percent this leaves little  
14 room for any major environmental influence. What do  
15 you mean by that statement?

16 A Heritability is a population-specific  
17 characteristic. That's to say it tells you the  
18 variation in a particular population at a particular  
19 point in time what is the importance of the genetic  
20 factors.

21 Obviously if a new environmental factor  
22 comes on the scene that will change that. Equally, if  
23 new genetic factors come on the scene that will change  
24 that.

25 The most obvious example that people know

RUTTER - DIRECT

3278

1 about is with human height. Height also has a  
2 heritability of about 90 percent, but the average  
3 height studies I know are in the U.K. and Netherlands,  
4 but as far as I know the same applies all over the  
5 world.

6 Well, let me refer to the British and Dutch  
7 between studies. Between 1900 and about 1950 the  
8 average height rose by approximately 12 centimeters.  
9 That's a big rise. We don't know for sure what it's  
10 due to, but it's almost certainly due to improved  
11 nutrition and partly also to a reduction of the  
12 impairments caused by infections.

13 So here is an example of something which is  
14 highly heritable, but nevertheless a major  
15 environmental factor could and did make a difference.

16 Q If there were an environmental influence,  
17 speaking to the heritability of a liability to autism,  
18 when in the course of development would that influence  
19 occur?

20 A It's likely to be in the prenatal period.  
21 It could be I suppose in the very early postnatal, but  
22 the evidence suggests prenatal is more likely.

23 Q Now, during his testimony Dr. Kinsbourne  
24 discussed concordance rates in monozygotic twins as  
25 being approximately 60 percent for autistic disorder

RUTTER - DIRECT

3279

1 and 90 percent for the broader autism phenotype as  
2 you've described.

3 He then agreed to a statement made by  
4 Petitioners' counsel that the other 10 to 40 percent  
5 of autism in twins must therefore be unexplained by  
6 genetics. Do you agree?

7 A No. Because that is muddling up a  
8 population statistic that has no implications for any  
9 single individual with an implication that it does, so  
10 that the concordance rates say that in the populations  
11 studied that is the proportion of the variance.

12 It definitely is not saying that that means  
13 that 40 percent or any other percent don't have  
14 genetic factors. It is saying that in the population  
15 as a whole there is a mixture of the two and that  
16 overall genetic factors tend to be more important than  
17 environmental, nongenetic factors.

18 It tells you nothing about whether they  
19 operate in this way or that way in an individual. You  
20 can't do that from a twin study.

21 Q Now, on page 9 of his report, which will  
22 flash on the screen, Dr. Kinsbourne states that the  
23 causal role of gene/environment interaction has become  
24 firmly established in the mainstream of autism  
25 research and theory. Is this correct?

RUTTER - DIRECT

3280

1           A     No, it's not correct. It is, I think from  
2     the way he puts it, confusing two rather different  
3     issues. The first is the acceptance that both genetic  
4     and environmental or nongenetic factors are likely to  
5     play a role. That I agree with, but he is putting it  
6     in terms of gene/environment interaction.

7                     Gene/environment interaction is a specific  
8     concept in which the genetic influences operate on the  
9     environmental susceptibility to disease or some other  
10    kind of outcome. There is no evidence that I'm aware  
11    of that that has been shown in autism with respect to  
12    identifying genes and identifying environments, so  
13    that's not only not firmly established; it's not  
14    established at all.

15                    It is a possibility because we do know that  
16    in other conditions gene/environment interaction is  
17    important, but at the moment that is entirely  
18    speculative with respect to autism.

19            Q     Now, Dr. Kinsbourne in his report at page 6  
20    states that it is generally agreed that the incidence  
21    of the ASD diagnosis is rising spectacularly. Do you  
22    agree with that statement?

23            A     No. What is generally agreed is that the  
24    diagnosis of autism has risen spectacularly so that by  
25    incidence, and he's implying that it's new cases and

RUTTER - DIRECT

3281

1 that it is, as it were, a true increase in a  
2 condition. That remains uncertain.

3 We know that it has been diagnosed more  
4 frequently, and everybody would agree that at least a  
5 large part of that rise has come from a broadening of  
6 the diagnostic concept, which we've already discussed,  
7 and better ascertainment.

8 That's to say that pediatricians and family  
9 doctors and psychiatrists and psychologists have  
10 become more aware of the early manifestations of  
11 autism, so diagnosed autism has risen spectacularly.  
12 We do not know whether the incidence has or has not.

13 Q Now, in your report you state that earlier  
14 epidemiologic studies showed rates of ASDs that are  
15 much lower than the more recent studies. In your  
16 opinion, why is that?

17 MS. RICCIARDELLA: Amy you can bring that  
18 down. Thank you.

19 THE WITNESS: Well, because of better  
20 ascertainment and better measurement and a broadening  
21 of the concept.

22 So actually in the early accounts by Victor  
23 Lotter, the first epidemiological study in the 1960s,  
24 he did have a category of autistic-like disorders. He  
25 didn't pay a lot of attention to those at the time,

RUTTER - DIRECT

3282

1 but it was saying that the broadening actually was  
2 already being envisaged at that time.

3 Now, if we look at the modern studies of the  
4 rate of autism I think one can have a lot of  
5 confidence that they're well conducted using good,  
6 sampling methods, good instruments of measurement, and  
7 they are highly consistent in what they show, so  
8 they're on solid ground.

9 The difficulty of, as it were, looking  
10 backwards is that you can't reconstruct samples and  
11 measures that weren't available at that time to say  
12 whether the earlier rates were equally satisfactory.

13 I thought virtually everybody would agree  
14 that they weren't as satisfactory, so modern rates I  
15 have confidence in as being probably reasonably  
16 accurate. I think the change is mainly  
17 methodological, but it's very difficult to rule out  
18 the possibility that in addition to that there has  
19 been a true rise due to some as yet to be identified  
20 factor.

21 BY MS. RICCIARDELLA:

22 Q Now, you state that there has been a  
23 broadening of the diagnostic concept. You've used  
24 that term a few times in your testimony. What do you  
25 mean by a broadening of the diagnostic concept?

RUTTER - DIRECT

3283

1           A       Well, I think the main thing is a  
2       recognition that individuals of normal intelligence  
3       can and do show something that there's every reason to  
4       suppose is autism, i.e. it's not just it looked like  
5       autism. It probably is autism.

6                    Although that was adumbrated by both Kanner  
7       and Asperger back in the '40s, it wasn't articulated  
8       quite like that and so people were reluctant to  
9       diagnose autism in individuals with normal  
10      intelligence.

11                   There are other ways in which there has been  
12      a broadening, but alongside that is diagnosing autism  
13      in individuals who in their way are holding their own  
14      in society, albeit in a somewhat unusual fashion.

15                   So the broadening I think has good research  
16      support. There are two difficulties though. The  
17      first is that whereas everybody would agree that it's  
18      broadened, it's not quite so clear where you draw the  
19      line. Does it stop here or here or here? There isn't  
20      research that tells us that. All it says is that it's  
21      a lot broader than we used to think.

22                   The other is that the group with these  
23      milder manifestations differ in two key respects from  
24      ordinary autism; that is, that they're not mentally  
25      retarded and not intellectually disabled, and they

RUTTER - DIRECT

3284

1 don't have an increased rate of epilepsy, and we  
2 really have very little idea as to why.

3 Q Doctor, I'd like to talk a little bit about  
4 regression in autism.

5 A Yes.

6 Q What is regressive autism?

7 A It's not a term that I like to use because  
8 it implies a different category, so let me turn back  
9 to the way it's usually been talked about.

10 For many decades there have been repeated  
11 clinical studies which have noted that a proportion of  
12 individuals with autism go through a period in which  
13 they appear to lose skills that they had previously.  
14 Indeed, the Kanner and Eisenberg follow-up noted that  
15 a long time ago.

16 The term regressive autism was introduced I  
17 think initially with MMR claims, but then more  
18 recently with thimerosal claims, as if this was a  
19 distinctive, new category. Well, it's not new. It's  
20 been observed since many, many years.

21 And moreover the evidence suggests that it's  
22 not a yes/no phenomenon. That's to say that there  
23 certainly are children who show a dramatic loss of  
24 skills. Equally there are those where the loss is  
25 much more minor, much more difficult to spot, and then

RUTTER - DIRECT

3285

1 there are all varieties in the middle.

2 So regression is for real. The studies both  
3 from home videos and from the baby sibs studies  
4 confirm the reality, but it's not as far as one can  
5 tell a distinct group that is quite different.

6 Q At what age does regression typically take  
7 place?

8 A Typically around and about the second half  
9 of the second year, 18 to 24 months. It does occur  
10 both earlier and later than that, but that's the  
11 typical period.

12 Q And what percentage of children who are  
13 autistic have suffered a regression?

14 A The figures vary from study to study, but a  
15 quarter to a third or something of that order. So  
16 it's reasonably common, but it's a minority.

17 Q Has the rate increased over time?

18 A As far as one can see, it's remained very  
19 stable.

20 Q I would like to flash on the screen a  
21 paragraph from Dr. Kinsbourne's report on page 7.  
22 It's lengthy, but I will read it out loud. He states  
23 that:

24 Furthermore, the proportion of ASD children  
25 of the regressive subtype remains at a level of

RUTTER - DIRECT

3286

1 between 20 and 30 percent. There have not been any  
2 changing diagnostic criteria for regression and  
3 regression of development into nonautistic states,  
4 though it does occur due to certain brain  
5 degenerations is rare. I think I might be reading  
6 this incorrectly.

7 Regression is so much more striking and even  
8 shocking as compared to slow development that it is  
9 hard to imagine that in the past it was simply not  
10 noted in many cases. Diagnostic substitution is a  
11 nonstarter since alternate descriptions such as mental  
12 retardation and learning disabilities are not  
13 characterized by regression.

14 These considerations indicate that the rise  
15 in the number of cases of regressive autism is no  
16 artifact, but is very real. Genetic causation cannot  
17 explain this, but gene/environment interaction can if  
18 exposure to provocative environmental factors is  
19 correspondingly increasing.

20 That's a long paragraph, but, Doctor, do you  
21 agree with Dr. Kinsbourne's statement?

22 A No, I don't really.

23 Q Why?

24 A Let's start with what I do agree with. The  
25 first statement that the proportion with regression

RUTTER - DIRECT

3287

1 has remained at roughly the same level is something  
2 I've already mentioned, and as far as one knows that's  
3 correct.

4 It has to be said that the quality of the  
5 measurement in these studies is pretty variable so  
6 that it's a lack of evidence of change rather than a  
7 solid finding of no change, but by and large I agree  
8 with what he's said.

9 There have actually been changes postulated  
10 -- put forward -- for the diagnostic criteria of  
11 regression, but I would agree with him that it's not  
12 likely that those account for any differences. The  
13 problem comes in this sort of jump from saying the  
14 overall rate of autism has gone up. The rate of  
15 regression remains the same.

16 Therefore, let us assume that the rate of  
17 nonregressive autism, to use his terminology rather  
18 than mine, has gone up for artifactual reasons, better  
19 ascertainment and so on. It can't have applied to  
20 regression. Therefore, the regression is real.

21 Well, that involves a whole series of  
22 assumptions, none of which have good support, that if  
23 there had been a new phenomenon that had come on the  
24 scene then you might expect that it would be evident  
25 in the proportion going up and that that would be

RUTTER - DIRECT

3288

1 shown in the overall figure so that you can't go from  
2 one statistic to the other in the way that he has.

3 He says that there are no other cases  
4 characterized by regression other than rarely. Well,  
5 it depends what you mean by rarely. A genetic  
6 causation can't explain this, but that seems to imply  
7 that genes as it were cause something now and can't  
8 explain changes later, but there's a massive genetic  
9 research which shows the opposite. That's to say  
10 genes influence development just as much as they  
11 influence things at the beginning.

12 Let me give two very different examples to  
13 illustrate what I mean. Huntington's disease is a  
14 rare disease caused by a particular single gene. It's  
15 a mendelian condition. Nobody has ever suggested  
16 environmental factors play a role, and there's a lot  
17 of evidence that they don't and couldn't, but it only  
18 becomes apparent in middle age as a rule. Very rarely  
19 it can begin earlier than that.

20 So here it's genetic. It's fully genetic,  
21 but the effects only come on later and there is a loss  
22 of skills in the early forties or some time period  
23 like that. Nothing to do with the environment.

24 Let me take a different example, in this  
25 case not a disease. Women go into their menarche, the

RUTTER - DIRECT

3289

1 onset of menstrual periods, during early adolescence.  
2 This is strongly genetically influenced. It's part of  
3 the biological programming brought about by genes.  
4 It's not that girls encounter some environmental  
5 hazard that brings on the periods. This is what genes  
6 are doing.

7 So that there are lots of examples where  
8 genes are influencing things way down the line. There  
9 are hundreds more examples one could give, but it's  
10 just wrong to suppose that if it's genetic it has to  
11 be present early.

12 So let's just move closer back again to the  
13 evidence of increased brain size in autism in the  
14 preschool years. There's no evidence that  
15 environmental factors have brought that on. It is  
16 presumably part of what the genes are doing.

17 In the same way, schizophrenia is known to  
18 have a high heritability. The first manifestations of  
19 schizophrenia are in the preschool years. There are  
20 studies which show that difficulties with language  
21 comprehension and with motor coordination are more  
22 common in individuals who later go on to develop  
23 schizophrenia than in the general population or indeed  
24 in other disorders such as bipolar disorder.

25 There are then findings in childhood and

RUTTER - DIRECT

3290

1 early adolescence, again all connected with this  
2 process, so that here we have a strongly genetically  
3 influenced disorder. It's not that some environmental  
4 hazard comes in in early childhood that translates  
5 these early developmental abnormalities into  
6 schizophrenia. It's part of the genetically  
7 influenced disorder.

8 So there is no reason to invoke an  
9 environmental factor unless there's positive research  
10 evidence that that is what has happened.

11 Q Thank you. Now, on page 6 of his report Dr.  
12 Kinsbourne describes regression as "unexplained  
13 encephalopathy". Is there evidence to support this  
14 statement?

15 A No. Well, encephalopathy implies that we  
16 know that there's something going wrong in the brain  
17 when this is happening.

18 Well, obviously something is happening in  
19 the brain for the regression, but whether it's an  
20 encephalopathy, which is ordinarily assumed to mean  
21 some kind of inflammatory process, there's no evidence  
22 of that.

23 Q Does regression mean that a child is  
24 developing normally before the regression occurred?

25 A Not necessarily. In some cases it's clear

RUTTER - DIRECT

3291

1 that there were abnormalities before the regression  
2 occurred, and there are other cases in which as far as  
3 one can tell there weren't.

4 Q Now, in your report you state that  
5 substantial regression is a relatively common feature  
6 rather than a rare one.

7 A Yes.

8 Q Could you explain what you mean by that?

9 A Well, the studies come out 20 to 30 percent.  
10 Twenty to 30 percent is quite a substantial minority  
11 so that it's not dealing with a rare phenomenon. To  
12 the contrary, it's dealing with a reasonably common  
13 phenomenon.

14 Q Again, Dr. Kinsbourne in his report on page  
15 4, which we'll put on the screen, he states that  
16 classical what he terms congenital and regressive  
17 autism differ sharply with respect to their known  
18 medical causations. Do you agree with his statement?

19 A I have no evidence supporting that. The  
20 fact of the matter is that there have not been  
21 systematic studies comparing so-called regressive with  
22 so-called nonregressive autism in relation to medical  
23 factors that might be causative, so it's pure  
24 speculation that they're different. They may be.  
25 They may not be.

RUTTER - DIRECT

3292

1           Q     Why isn't the fact that some children  
2 regress evidence of some sort of external trigger or  
3 trauma?

4           A     Well, the examples that I've already given  
5 with Huntington's disease and the menarche would be  
6 one example, but let me give two rather different  
7 ones.

8                     There is a strong temptation for all of us  
9 to suppose that when a certain change occurs that  
10 there must be some environmental trigger that has  
11 brought it about, but let me give two other examples.

12                    It is well established that children with  
13 profound congenital nerve deafness show normal  
14 vocalizations for about the first six months of life,  
15 but they then develop this kind of guttural  
16 vocalization, which is so characteristic of deaf  
17 children that anybody who has visited a school for the  
18 profoundly deaf is familiar with this.

19                    Now, they've been deaf from the word go so  
20 the condition has been there throughout, but the loss  
21 of clear vocalizations came because the input of  
22 language becomes important in vocalizations around and  
23 about the middle of the first year of life. There's  
24 no environmental change. It is part of the normal  
25 developmental process.

RUTTER - DIRECT

3293

1           In the same sort of way, babies all over the  
2 world have the same range of phonological skills.  
3 That's to say the different sounds they make are much  
4 the same, so Japanese babies, French babies, English  
5 babies, even American babies, all make much the same  
6 sounds again up to about the first six months of age.

7           Thereafter they lose the ability to make  
8 sounds that are not part of their language environment  
9 so that what is happening is, the early sounds are not  
10 dependent on verbal input. The later sounds, the  
11 later vocalizations, are. This is a loss of a skill.

12           The example that people tend to know about  
13 is the difficulty that Japanese people have in  
14 differentiating between R and L. That has no part in  
15 the Japanese language. It is, of course, a crucial  
16 part of most other languages. So that because it's  
17 not part of their language environment that  
18 differentiation between R and L which they will have  
19 had up to the first six months they have lost.

20           So there are lots of examples where the  
21 brain systems that are necessary for particular  
22 functions change with development, and as they change  
23 with development skills may be lost or acquired as  
24 part of this biological programming.

25           Q     Now, in this litigation it's alleged that

RUTTER - DIRECT

3294

1 the very existence of regression in autism is evidence  
2 that the autism was caused by an environmental  
3 trigger, in this case thimerosal. Is this a valid  
4 conclusion to draw about the cause of regressive  
5 autism?

6 A No, for all the reasons I've given. What  
7 would be needed is positive evidence that thimerosal,  
8 A, was a causal factor in autism, and, B, it was  
9 particularly a causal factor with autism involving  
10 regression.

11 Q Now, you said earlier that there is no  
12 evidence that regressive autism is a distinct disorder  
13 from autism.

14 A Yes.

15 Q You say it may be, but it may not be.

16 A Yes.

17 Q Based on the evidence, what would you say  
18 the probability is that it is a distinct disorder,  
19 based on the current evidence?

20 A I don't know. As I think Dr. Kinsbourne in  
21 his evidence talks about, most biological features  
22 work on a continuum, and I would agree with that  
23 statement.

24 For some reason he seems to think that  
25 regression is an exception to that usual biological

RUTTER - DIRECT

3295

1 rule. I don't think it is. I have no idea what the  
2 proportions would be.

3 Q Now, with respect to causal inferences that  
4 can be drawn from the studies that have looked at the  
5 neurotoxic effects of mercury, what, if any, causal  
6 inferences can be drawn from those studies?

7 A Okay. Well, I think we need to turn first  
8 to the studies looking at high levels of mercury and  
9 what we know about the effects of mercury.

10 I'm not a toxicologist so I can't speak to  
11 the specifics of that, but the epidemiological and  
12 clinical studies make quite clear that high doses of  
13 mercury are toxic to the brain and cause damage.  
14 That's not in dispute.

15 There are then epidemiological studies like  
16 the one in the Seychelles or the one in the Faroe  
17 Islands -- there's also a New Zealand study -- which  
18 are looking at levels below these very high levels  
19 where we know there are obvious clinical effects to  
20 see whether there are more subtle effects.

21 And it's difficult to come up with a firm  
22 answer on that, but I think that my conclusions would  
23 be pretty much in line with most commentators. That's  
24 to say there is some suggestive evidence that there  
25 may be slight cognitive sequelae with these

RUTTER - DIRECT

3296

1 intermediate levels.

2 So that it's difficult to say where there is  
3 a bottom limit when exposure to mercury is entirely  
4 safe. It is notable, however, that none of those  
5 studies identify autism as one of the sequelae so that  
6 there is good evidence that very high doses of mercury  
7 is damaging.

8 There is slight suggestive evidence that  
9 levels below that may be in mild degree, but no  
10 evidence from these studies that autism is one of the  
11 outcomes.

12 Q Are there differences between the symptoms  
13 of mercury poisoning and the symptoms of autism?

14 A Yes, numerous differences. I know there's a  
15 paper that drew parallels, but if you look at the list  
16 of features that you get with mercury poisoning and  
17 the list of features you get with autism, the thing  
18 that jumps out at you is that there are very few  
19 similarities and there are lots of differences, so I  
20 think that's really completely unpersuasive.

21 Q In your opinion, is there any reliable  
22 evidence that chronic low dose exposure to thimerosal  
23 in vaccines causes regressive autism?

24 A No.

25 Q I'd like to turn briefly to epidemiology

RUTTER - DIRECT

3297

1 that's been conducted in this area.

2 A Okay.

3 Q Is epidemiology an important field of  
4 science in assessing whether thimerosal-containing  
5 vaccines cause autism?

6 A Yes. Let me answer first in a general way  
7 that throughout the history of medicine it has been  
8 important to use epidemiological evidence to look at  
9 environmental causes of disease.

10 It's important because there are so many  
11 potential causes that you couldn't study directly in  
12 the laboratory for ethical reasons in humans, so the  
13 question is have there been successes using  
14 epidemiology in this way.

15 So a working party for the Academy of  
16 Medical Sciences which I chaired and which reported  
17 late last year looked very systematically at this and  
18 the whole issue as to when and how one can use  
19 epidemiologic type evidence to draw causal  
20 conclusions, and what we sought to do was to compare  
21 ones where there would be general acceptance, but it  
22 has worked, and other examples where it hasn't.

23 So the best known, but by far from the only  
24 example, of success would be smoking and lung cancer.  
25 So that the study by Richard Doll back in the '50s

RUTTER - DIRECT

3298

1 showed a strong association between smoking and lung  
2 cancer, and then a variety of other studies were done,  
3 in particular a study looking at what happened to the  
4 rates of lung cancer in doctors, because he did a  
5 study of doctors, who stopped smoking and found that  
6 the rate of lung cancer went down when they stopped  
7 smoking.

8 Now, it took actually quite a long time for  
9 the evidence to be seen as pretty decisive, although  
10 back in the mid '60s the U.S. Surgeon General's report  
11 and the parallel independent report from the U.K. both  
12 pointed to this being a likely cause.

13 Over time other evidence came in so that  
14 experimental studies with animals showed the  
15 carcinogenic effects of tar and so a mechanism was  
16 then found and so the successful cases where  
17 epidemiology has worked has come about because of the  
18 care of the methodology and with recognition that all  
19 epidemiological findings are open to what  
20 epidemiologists talk about as confounders, meaning  
21 variables that aren't a cause, but are associated with  
22 the supposed causal factor and the outcome and  
23 therefore create a misleading impression.

24 And so one of the things that was done with  
25 the smoking example was to work out how big an effect

RUTTER - DIRECT

3299

1 a confounder would have to have to overturn the causal  
2 effect between smoking and lung cancer. The estimate  
3 was it would have to increase the risk ninefold.  
4 Nobody but nobody could think of any confounder that  
5 might have an effect anywhere near as big as that.

6 So I've gone on at some length on that one  
7 example because it illustrates how powerful  
8 epidemiological evidence can be, but how careful one's  
9 got to be in how the epidemiological studies are done  
10 and how important it is to combine it with other  
11 research strategies.

12 And the other successful examples like fetal  
13 alcohol syndrome would be another that shows the same  
14 kind of things, i.e., good epidemiology, good  
15 experimental studies. So epidemiology at its best,  
16 properly done, proper attention to confounders, proper  
17 use of other research strategies is a crucial part of  
18 studying environmental causes of disease.

19 Q Now, in your report you discuss the  
20 epidemiologic studies that have been done that have  
21 looked at the relationship between certain dose  
22 amounts of thimerosal and autism.

23 I'm referring to the Heron study, which for  
24 the record is Petitioners' Master List 14; the Andrews  
25 study, which is Petitioners' Master List 4; the

RUTTER - DIRECT

3300

1 Verstraeten study, which is Petitioners' Master List  
2 247; the Fombonne study, which is Petitioners' Master  
3 List 40; and the Hviid study, which is Petitioners'  
4 Master List 238.

5 Taken as a whole, Doctor, what do these  
6 studies demonstrate with regard to the purported  
7 association between thimerosal-containing vaccines and  
8 autism?

9 A They're all unresponsive of a causal  
10 association. In my report I go carefully into the  
11 strengths and limitations of each of those studies.

12 So that I followed the British tradition of  
13 giving expert reports. That's to say my duty as a  
14 scientist is not to speak for or against any  
15 particular hypothesis, but to look at the evidence as  
16 a whole and to note the limitations, to note the  
17 strengths and then put it all together as a whole.  
18 That's what I have attempted to do.

19 That of course is the usual scientific  
20 procedure. There is no science that is free of  
21 limitations, but the best of studies all have  
22 limitations. That's just the way everything is.

23 And so one always has to be very careful  
24 about drawing any strong conclusion from one's study.  
25 All you have to do is to say are the limitations all

RUTTER - DIRECT

3301

1 of the same kind in the different studies and do they  
2 amount to such a problem that you really have to say  
3 you have to put those on one side; they're not worth  
4 looking at.

5 Or rather do you say well, there are some  
6 limitations, but actually they've been looked at as  
7 carefully as they can be, and if you look across  
8 studies the strengths and limitations don't have quite  
9 the same pattern. And when that's the case, one is on  
10 much stronger ground in saying it probably is valid.

11 So that let's take the Heron study first.  
12 It's a good epidemiological study. It's well  
13 conducted. They have a high response rate. There are  
14 all sorts of good things about it, but they don't  
15 actually have a recognized measure of autism so  
16 they're having to use special education or treatment,  
17 have to use questionnaires of one sort or another so  
18 that the outcome is indirect. So on its own that  
19 wouldn't take one very far, but for what it's worth  
20 the findings are very negative, but they could test  
21 for confounders in quite a thorough sort of way.

22 The Andrews study was not so strong in being  
23 able to test for confounders, but on the other hand  
24 they had a much larger sample, it too similarly  
25 negative. And so I could go on. The Verstraeten

RUTTER - DIRECT

3302

1 study is in many ways the most satisfactory of the  
2 studies, and because of that I looked particularly  
3 carefully as to whether there were problems that might  
4 invalidate the findings.

5 Its strengths are several. It includes a  
6 large sample which when looking for an infrequent  
7 outcome is really very important. They used a  
8 standard methodology, and the study was thoroughly and  
9 appropriately analyzed. The results do not show an  
10 association between thimerosal and autism.

11 I noted that the early findings didn't  
12 necessarily coincide with the later ones. I mention  
13 that because it received sort of attention in the  
14 press, but what I concluded is actually that's usual.  
15 When you're dealing with multivariate analyses of  
16 complex data sets you do reanalyze and reanalyze to  
17 try and test data so they did the right thing, and in  
18 their evidence the reanalysis by Austin and Lally said  
19 the same thing.

20 Austin and Lally in their commentary made a  
21 suggestion that the way they dealt with the -- they  
22 dealt with three centers, they -- the way they dealt  
23 with -- not including the one center they would be  
24 mildly critical of and I would be mildly critical of,  
25 but like them it seems very unlikely that that would

RUTTER - DIRECT

3303

1 affect their results.

2 I think that it would have been preferable  
3 to have dealt with it in a slightly different way, and  
4 it's not clear why the findings weren't the same in  
5 the different centers, but when you're dealing with  
6 effects with broad confidence intervals you often find  
7 that.

8 The third point that I mentioned was that  
9 Verstraeten, at the time the paper was published, had  
10 an appointment with GSK, and I think he should have  
11 declared it. He did declare it shortly afterwards. I  
12 see no reason to suppose that affected anything, but  
13 it was an error of judgment is all I can say.

14 So having looked carefully at all the  
15 problems of this, and I did look very carefully at  
16 them, I would still rate this as a sound study with  
17 sound conclusions on which one can draw conclusions.

18 Q Now, you also discussed various time/trend  
19 studies or ecological studies in your report that have  
20 looked at whether thimerosal was responsible for the  
21 rise over time in diagnosed cases of autism.

22 I'm referring to the Madsen study, which for  
23 the record is Petitioners' Master List 239; the Stehr-  
24 Green study, which is Petitioners' Master List 230;  
25 and -- I'm going to butcher this name -- the

RUTTER - DIRECT

3304

1 Atladottir --

2 A Atladottir.

3 Q That one, which is Respondent's Master List  
4 17, and the Schechter and Grether study, which is  
5 Respondent's Master List 439. Doctor, what do those  
6 studies tell us?

7 A They are primarily of use in dealing with  
8 the hypothesis that had been put forward initially  
9 that MMR had led to an epidemic of autism and, more  
10 recently, that thimerosal had led to an epidemic of  
11 autism. And so the time/trend studies are useful in  
12 seeing whether the ups and downs as it were are  
13 associated with changes in the rate of autism.

14 They have manifest strengths. That's to say  
15 they can be based on very large numbers. They have  
16 some important limitations, the most particular of  
17 which are that they are dealing with it at a  
18 population level. They're not dealing with it at an  
19 individual level.

20 And secondly, that they can't deal with  
21 confounders in the way that you can do if you're  
22 dealing with individuals, but the evidence -- let me  
23 focus particularly on Stehr-Green. Stehr-Green was  
24 interesting in explicitly comparing what was happening  
25 in Scandinavia where thimerosal had been phased out

RUTTER - DIRECT

3305

1 and in the United States where because of the way in  
2 which vaccination schedules have changed it has  
3 actually been going up.

4 So the question is were the trends in the  
5 rate of diagnosed autism going in different directions  
6 in the two countries or two areas of North America and  
7 Scandinavia? Now, if there had been a true causal  
8 effect when thimerosal was withdrawn you should see a  
9 drop in cases, whereas with thimerosal continuing it  
10 should either remain the same or continue going up.

11 But what Stehr-Green showed was that the  
12 rates showed the same trajectory, the same direction  
13 over time in both countries, so that the rate of  
14 diagnosed autism showed the same trend irrespective of  
15 what was happening with thimerosal.

16 In epidemiology one pays particular  
17 attention to what happens when either a risk factor is  
18 introduced in one population and not another where you  
19 can see what's happening or, alternatively, a risk  
20 factor is removed in one population and not another.

21 And so it is this fact-finding that the  
22 trajectory over time is similar irrespective of the  
23 removal of thimerosal which makes it really rather  
24 unlikely that thimerosal played a role in the overall  
25 rate of autism.

RUTTER - DIRECT

3306

1                   Epidemiological studies by their nature of  
2                   course can't deal with unusual idiosyncratic  
3                   reactions. We may want to turn to that at some point.  
4                   But in terms of an overall effect, I think the answer  
5                   is pretty compelling.

6                   Q       And do you find those studies to be credible  
7                   studies?

8                   A       Yes, I do.

9                   Q       Now, you do point out by the nature of their  
10                  design ecological studies cannot be used to examine  
11                  whether a small group of children have an unusual  
12                  susceptibility to thimerosal.

13                  If the subgroup were defined as those  
14                  children who have regressive autism would the  
15                  ecological studies likely speak to that population?

16                  A       That isn't actually the way you would tackle  
17                  it. So that there are, of course, many examples in  
18                  medicine of idiosyncratic reactions, so the notion  
19                  that there might be in relation to thimerosal is  
20                  certainly plausible, but the way you would tackle it  
21                  is having a test for the susceptibility.

22                  So let me personalize it. One of my  
23                  grandchildren has an anaphylactoid reaction, a  
24                  massive, life-threatening reaction, to cashews and  
25                  pistachio nuts. Now, cashews and pistachios for most

RUTTER - DIRECT

3307

1 of us are perfectly safe. They don't cause any  
2 problems, and indeed they are two of my favorite nuts,  
3 but in his case they are life-threatening.

4 Why do we know its causation? Maybe he had  
5 a panic attack. But, no, because skin tests show that  
6 the skin reaction to those nuts is identifiably  
7 different in a huge way, and if you also apply it to  
8 the tongue you get a swelling of the tongue from  
9 exposure to these nuts, so you've got a really good  
10 test that can identify this susceptibility.

11 And there are other medical examples where  
12 that is so. So what you do is not create a soup of  
13 everybody. You look in a focused way on what happens  
14 with individuals with a defined susceptibility as  
15 measured by an objective test.

16 The problem here is that although it's  
17 theoretically possible that there are individual  
18 differences in response to thimerosal, as far as I'm  
19 aware there is no test that can demonstrate that.

20 Q Now, according to Dr. Kinsbourne the  
21 epidemiologic studies that you discussed in your  
22 report and that we've discussed here today are not  
23 informative at all as to the purported association  
24 between thimerosal-containing vaccines and regressive  
25 autism because none have looked at regressive autism

RUTTER - DIRECT

3308

1 specifically. Are these studies, Doctor, irrelevant  
2 to this litigation here today?

3 A No.

4 Q Why?

5 A Well, mainly because the rate of regressive  
6 autism is sufficiently high that it probably would  
7 have picked them out.

8 So that if you were dealing with something  
9 like a nut allergy, which occurs to a tiny proportion  
10 of the population, then general studies of nuts  
11 wouldn't be much use, but dealing with something that  
12 occurs in a quarter of the population, yes, they are  
13 informative.

14 If there is evidence of a susceptibility of  
15 a very specific kind that can be identified separately  
16 then that's another matter, but that isn't so, so at  
17 the moment that is the best evidence one has today.

18 Q Now, in your report, and I've heard you say  
19 this today, you use the term biologically plausible.

20 In your report you say that it's  
21 biologically plausible that there might be an unusual  
22 idiosyncratic response to thimerosal in a subgroup of  
23 individuals. By the term biologically plausible, what  
24 are you meaning by that?

25 A I'm meaning simply that what one knows about

RUTTER - DIRECT

3309

1 biology means that it's possible that that might  
2 occur. It certainly does not mean that it's likely to  
3 be the case because there's no evidence in support of  
4 the notion.

5 So that the evidence on gene/environment  
6 interactions in relation to other outcomes and other  
7 genes and other environmental factors indicates it can  
8 occur. The question is what is the evidence here that  
9 it does occur? So it is a theoretical possibility,  
10 but at the moment it is speculative.

11 MS. RICCIARDELLA: At this point, Special  
12 Master, I have about 20 more minutes with Dr. Rutter.  
13 Would it be a good time to take a quick, midmorning  
14 break?

15 SPECIAL MASTER CAMPBELL-SMITH: That sounds  
16 great. I have about 11:07. How long were you  
17 thinking for your break?

18 MS. RICCIARDELLA: Ten minutes? Fifteen  
19 minutes?

20 SPECIAL MASTER CAMPBELL-SMITH: Fifteen  
21 minutes?

22 MS. RICCIARDELLA: Fifteen? Okay.

23 SPECIAL MASTER CAMPBELL-SMITH: That would  
24 put us back here at roughly 11:25.

25 MS. RICCIARDELLA: Thank you.

RUTTER - DIRECT

3310

1 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
2 We'll take a brief recess.

3 (Whereupon, a short recess was taken.)

4 SPECIAL MASTER CAMPBELL-SMITH: Please be  
5 seated.

6 Respondent's counsel to continue the direct  
7 examination of Sir Rutter.

8 MS. RICCIARDELLA: Sir Michael.

9 SPECIAL MASTER CAMPBELL-SMITH: Sir Michael  
10 Rutter.

11 BY MS. RICCIARDELLA:

12 Q Isn't that right?

13 A Yes. Yes.

14 Q Doctor, before we go on to the next topic  
15 I'd like to just finish up with a discussion of the  
16 epidemiology.

17 Before we broke you were talking about how,  
18 given the proportion of regression in autism, it would  
19 likely have been detected by the epidemiological  
20 studies. What are you basing that statement on?

21 A On the evidence that in the studies overall  
22 the rate is about 25 to 30 percent or sometimes even  
23 up to 40 percent.

24 So it's a big enough number to make a  
25 difference overall. So if one was talking about

RUTTER - DIRECT

3311

1 something that only affected say one percent of the  
2 population that would be quite different.

3 Q Is that based on your understanding of what  
4 you know about autism in general?

5 A Yes. Yes, indeed.

6 Q Now, in your report you state that there is  
7 no good evidence to support the speculative  
8 association- excuse me, speculative suggestion that  
9 thimerosal results in a form of ASD characterized by  
10 regression.

11 Could you please explain what you mean by  
12 that statement in 10 words or less?

13 A Well, the suggestion as far as I can see is  
14 not based on any empirical evidence that that is the  
15 way it happens. If it were it would be quite  
16 different.

17 So it's difficult to know how to comment  
18 further other than that that is just speculation.

19 Q Are there any reliable biomarkers that  
20 represent a measure of susceptibility to thimerosal?

21 A No.

22 Q What evidence would be needed to demonstrate  
23 a susceptible population to thimerosal?

24 A You need some test which would show that in  
25 response to ethyl mercury you are having an unusual

RUTTER - DIRECT

3312

1 reaction so that in theory at least it would be  
2 possible to develop a test of that kind, but so far as  
3 I know there hasn't been such a test that's been  
4 applied to determine whether that is the case.

5 The studies that have been done that we were  
6 referring to earlier of human populations looking at  
7 high doses, what is quite striking is that it does  
8 seem to affect everybody. It's not that you're  
9 finding unusual individuals who are showing a big  
10 response and most individuals no response at all, so  
11 it's not like the nuts example that I gave.

12 And the animal evidence similarly seems to  
13 show something that applies more generally rather than  
14 only in a small subgroup, so although there have been  
15 suggestions that there may be particular susceptible  
16 populations the evidence is singularly unconvincing up  
17 to now.

18 Q Doctor, I'd like to talk now about the  
19 theory that has been espoused by Dr. Marcel Kinsbourne  
20 in this litigation. Did you review the report that he  
21 submitted?

22 A I did.

23 Q And on page 14 of his report he states, and  
24 we will put this on the screen for you: The late  
25 onset of the regressive subtype and subsequent

RUTTER - DIRECT

3313

1 remission or relapses become more understandable if  
2 autism is due to disease than if it is the aftermath  
3 of congenital maldevelopment. Do you agree with his  
4 statement?

5 A No. I mean, it comes back to the point we  
6 were discussing earlier that both prenatal or genetic  
7 influences will affect course, as well as the  
8 occurrence at the time of birth, so it's a non  
9 sequitur. It does not follow logically from what we  
10 know about the way biology works.

11 Q And earlier we put on the screen a quote  
12 from Dr. Kinsbourne's report in which he described  
13 regression as striking and dramatic. Do you -- is  
14 that characteristic of all regression in autism?

15 A No. To the contrary, it's often very  
16 subtle. There are examples where it is very striking  
17 and dramatic, I agree, but they actually are very  
18 unusual rather than the opposite way around.

19 That's to say the usual picture is  
20 reasonably subtle changes that amount to something  
21 that is very worrying, appropriately worrying the  
22 parents, but it doesn't occur dramatically in either  
23 the sense of it was not there on Tuesday, but it is  
24 there on Wednesday, nor is it a question of a loss as  
25 it were that is so severe that it is obviously a total

RUTTER - DIRECT

3314

1 change in the child's behavior.

2 That can occur. I have seen cases like  
3 that, but they are distinctly unusual. It is a more  
4 gradual occurrence of a milder kind, which is the more  
5 typical.

6 Q Now, beginning on page 13 of his report Dr.  
7 Kinsbourne discusses what he believes is a  
8 neuroinflammatory response within the brain due to  
9 accumulated inorganic mercury in the brain. And he  
10 states, and we'll put it on the screen:

11 ASD has traditionally been regarded as a  
12 static neuropathy or encephalopathy that originates  
13 from before birth. If that were so, it would be  
14 unclear how autistic regression can occur as late as  
15 the second year of life and even later in childhood  
16 disintegrative disorder.

17 Is this a correct assumption on the part of  
18 Dr. Kinsbourne?

19 A No.

20 Q Why not?

21 A Let me come back to the schizophrenia  
22 example that I gave where the evidence is strong -- of  
23 a major genetic influence, high heritability -- but  
24 where there are early manifestations but then later  
25 changes and that the follow-up study, for example, by

RUTTER - DIRECT

3315

1 Judy Rapoport and her group at NIH has shown that  
2 schizophrenia has both early manifestations and  
3 changes later.

4 So that what is being described here as very  
5 exceptional and unusual and causing a problem in terms  
6 of understanding is actually something that one sees  
7 in many conditions. I would agree that we don't  
8 understand what is going on in the brain at the time  
9 that happens.

10 An encephalopathy sort of implies  
11 inflammatory process. We don't know that that's what  
12 is happening, so when I say that clearly something  
13 must be happening in the brain, I mean, the workings  
14 of the mind have to be based on what is going on in  
15 the brain, but exactly what those changes are and  
16 whether they're structural or functional we don't know  
17 that.

18 Q Now, Dr. Kinsbourne describes what he terms  
19 his overarousal model as an explanation for autistic  
20 behaviors. Are you familiar with his discussion of  
21 his overarousal model in his report?

22 A Yes, I am. It is of course an old theory so  
23 that I was surprised to see this put forward as novel.

24 So the Tinbergens in a report back in 1972  
25 put forward a closely comparable model in which they

RUTTER - DIRECT

3316

1 were arguing that autism was not a disorder of social  
2 reciprocity. It was a disorder of emotional  
3 overarousal in relation to social situations, which is  
4 pretty similar to what he is suggesting.

5 So it's an old theory. It no longer even  
6 gets referenced in textbooks so that, for example, the  
7 two-volume *Handbook of Autism* edited by Fred Volkmar  
8 and colleagues, you won't find it even in the index,  
9 let alone anywhere else either under Tinbergen, who is  
10 the most prominent proponent of that view, or in terms  
11 of emotional overarousal.

12 So it disappeared simply because of the  
13 contradictory findings which did not really support  
14 the notion.

15 Q Now, Dr. Kinsbourne cites a paper by  
16 Goodwin, which is Petitioners' Master List 496, and a  
17 review paper by Baron, which is Petitioners' Master  
18 List 550, in support of his model. Do these articles  
19 provide reliable support to Dr. Kinsbourne's  
20 overarousal model?

21 A No, I don't think they do actually. The  
22 fuller review is actually in the Goodwin, et al. paper  
23 rather than in the Baron chapter in the textbook. And  
24 in that they review the numerous methodological  
25 problems that there have been over the years assessing

RUTTER - DIRECT

3317

1 arousal and of tying it to anything in particular so  
2 that there are different physiological measures that  
3 one needs to use.

4 Whether somebody looks aroused is not the  
5 same thing as whether from a physiological point of  
6 view they are aroused. Showing whether or not the  
7 arousal is in relation to social situations rather  
8 than more generally becomes another issue, so it's  
9 quite a good review of the multiple difficulties.

10 They then go on to a comparison of five  
11 individuals with autism and five comparison  
12 individuals where they present some quite interesting  
13 findings, but they are based on a tiny number, and  
14 they land up really with the same kind of inconclusive  
15 findings that the earlier research had shown.

16 Q Dr. Kinsbourne also cites a paper he  
17 published with the first author by the name of Liss,  
18 L-I-S-S, which is Petitioners' Master List 373. Have  
19 you reviewed this study?

20 A Yes, I have.

21 Q And do you have any comments with regard to  
22 the validity of this study?

23 A Well, it's a questionnaire study so that  
24 it's looking at what parents have reported about  
25 various phenomenon, some of which are concerned with

RUTTER - DIRECT

3318

1 children's responses to sensations and matters of that  
2 kind.

3 It's something that's been looked at for a  
4 very long time so that the work of Ornitz back in the  
5 1960s and early '70s was trying to do exactly the same  
6 thing.

7 So the questionnaire is new, but is very  
8 similar to earlier ones, but they're based on observed  
9 children's responses and not measuring actual  
10 responses to sensory stimuli so that you're having to  
11 rely on making inferences as to what the observed  
12 behaviors might or might not mean.

13 He refers, for example, somewhere -- I can't  
14 remember where in the report -- to the study by Lovaas  
15 looking at overzeal activity which received a lot of  
16 publicity at the time, but Lovaas' own research, as  
17 well as those of other people, later went on to show  
18 that this was not specifically associated with autism.  
19 It was a function of the low developmental level, and  
20 once you took that into account the association with  
21 autism disappeared.

22 It's another example of in this field of  
23 needing to consider carefully what the possible  
24 confounding factors are and the need also to be  
25 concerned that the behavior which you think is dealing

RUTTER - DIRECT

3319

1 with overarousal is specific to the social situation.

2 So the fact that autistic individuals get  
3 overexcitable sometimes, certainly. That's been  
4 known, from Kanner onwards. The fact that autistic  
5 individuals can sometimes also appear apathetic, again  
6 known from Kanner onwards.

7 So the need is to go beyond that to try and  
8 link it up with what is happening physiologically and  
9 how that relates to the specific social situations,  
10 and that's what is lacking. The Ornitz view of  
11 perceptual inconstancy, which is sort of brought in in  
12 the Liss paper a bit, he abandoned later because the  
13 evidence really didn't support it.

14 Q For the overarousal hypothesis to account  
15 for social abnormalities in autism as Dr. Kinsbourne  
16 suggests, what would have to be shown about the nature  
17 of arousal responses in a social situation?

18 A Well, you'd want to have a physiological  
19 measure of arousal rather than just an account because  
20 we know from animal studies, as well as human studies,  
21 that what you observe and what you can measure in  
22 terms of heartbeat and EEG changes and all the range  
23 of things that measure the physiology of arousal don't  
24 necessarily coincide, so you'd want that.

25 And you'd want to show that the overarousal

RUTTER - DIRECT

3320

1 is something that applies to social situations because  
2 if it doesn't particularly apply to social situations  
3 it's difficult to see how it could account for the  
4 problems in social reciprocity.

5 So one is not trying to explain autism as  
6 something which is generally due to being too  
7 excitable or not excitable enough. It's in relation  
8 to social. That's what's not been shown.

9 Q And in your opinion has Dr. Kinsbourne  
10 explained how overarousal leads to regressive autism  
11 only?

12 A No. In fact it's quite striking by its  
13 absence in his account.

14 That is to say in laying all the emphasis on  
15 regressive autism and applying it particularly to  
16 overarousal, I assumed that he would go on to explain  
17 how the overarousal might lead to this interesting  
18 phenomenon of regression, but as far as I could see  
19 that wasn't present in his report.

20 Q Now, Dr. Kinsbourne has stated that toxins  
21 and viruses and other metals can all operate to  
22 initiate this inflammatory response in the brain that  
23 he is talking about.

24 Do you think that this lack of specificity  
25 supports his hypothesis in this litigation?

RUTTER - DIRECT

3321

1           A     No, it doesn't. One of the famous set of  
2     guidelines for causal inferences put forward by the  
3     British statistician, Bradford Hill, included  
4     specificity as one of the things that didn't prove  
5     causation, but was a pointer in its direction.

6                     So the lack of specificity doesn't disprove  
7     causation, but it certainly is not in support.

8           Q     In your opinion, how would you describe Dr.  
9     Kinsbourne's hypothesis as to what might underlie  
10    regressive autism?

11          A     Interesting, but entirely speculative.

12          Q     Doctor, in your opinion is it more likely  
13    than not that thimerosal causes regressive autism in a  
14    subgroup of genetically susceptible children?

15          A     No. I think the evidence suggests it does  
16    not.

17          Q     And do you hold that opinion to a reasonable  
18    degree of medical certainty?

19          A     I do.

20          Q     And finally just one last question, Doctor.  
21    Why did you agree to fly to the United States and  
22    testify here today for the United States Government?

23          A     Well, because I think the scientific issues  
24    are important ones, and the public health  
25    considerations are very important.

RUTTER - DIRECT

3322

1           And the issue of identifying environmental  
2 causes of disease, including autism, has been a  
3 special interest of mine for a very long time and is  
4 something I know a good deal about so it seemed to me  
5 I had a duty to do that.

6           MS. RICCIARDELLA: Thank you. I have no  
7 further questions.

8           SPECIAL MASTER CAMPBELL-SMITH: Thank you.

9           Petitioners' counsel, are you ready to  
10 commence cross?

11          MR. WILLIAMS: I am.

12                           CROSS-EXAMINATION

13          BY MR. WILLIAMS:

14          Q     Good morning, Dr. Rutter.

15          A     Good morning, sir.

16          Q     I am Michael Williams representing the  
17 Petitioners Steering Committee here today. I want to  
18 start by asking you a kind of general question about  
19 what you think underlies autism in the brain.

20                 In particular, do you think that for all the  
21 children who meet DSM-IV criteria they have the same  
22 underlying brain pathology?

23          A     I think we have no idea, but let me answer  
24 it in a slightly different way that the history of  
25 medicine and of medical genetics indicates that

RUTTER - CROSS

3323

1 heterogeneity rather than homogeneity is the rule.

2           So that one must expect both that there may  
3 be different ways of reaching the same endpoint and  
4 that within a population there may be different  
5 patterns. So now I don't assume that there will be  
6 one, and we have no idea at the moment what the neuro  
7 basis for autism is. A host of interesting ideas, but  
8 that's what they are.

9           Q     Because I think I heard you say at least  
10 once, maybe twice, that you believe it is medically  
11 plausible that a postnatal insult of one kind or  
12 another could trigger or contribute to the development  
13 of symptoms that meet DSM-IV.

14           A     Yes. I followed British rules in preparing  
15 my report, which is that I must be scrupulous in  
16 looking at the evidence against and the evidence for  
17 with equal thoroughness, and that is what I've tried  
18 to do.

19                     I think the evidence on postnatal causes,  
20 and I gave the example of the herpes encephalitis are  
21 weak. There are clinical case studies which I don't  
22 actually find very convincing. I included them though  
23 because they have been claimed to illustrate how a  
24 postnatal course, indeed very late -- one of them was  
25 adolescent -- can cause autism.

RUTTER - CROSS

3324

1           Now, the problem of course is that is one  
2           talking about a cause of autism as we ordinarily  
3           understand it or are we saying there are similarities  
4           in some of the features? I certainly accept there are  
5           similarities in some of the features. I am less  
6           certain that this actually means the same sort of  
7           thing as autism as we ordinarily understand it.

8           I am cautious about saying it couldn't  
9           happen because early postnatal factors could have an  
10          impact. I think the particular example that people  
11          have put forward are not very convincing.

12          Q       Isn't it medically reasonable to think that  
13          if you have two children, one who before the age of 12  
14          months is showing lack of eye contact, failure to  
15          respond to social smiles, no words at all at age one,  
16          compared to a child who seems to develop normally  
17          until 18 or 20 months of age.

18          Isn't it medically reasonable to think that  
19          there may be a different etiology to those two  
20          different patterns of the development of autism?

21          A       That is one possibility, but I don't think  
22          it's medically reasonable if by that you mean that  
23          that would be a strong assumption.

24          I put it the opposite way around that the  
25          issue as to why one child does and one child doesn't

RUTTER - CROSS

3325

1 is an important question for scientists to examine,  
2 and the evidence to date doesn't actually show  
3 systematic differences.

4 I would instantly have to go on to say that  
5 the studies that have been done are really quite few  
6 and quite limited in what they have looked at, so we  
7 are not in a position of being sure that they are due  
8 to the same factors in the same way, but by the same  
9 token there's no evidence that they're due to  
10 different ones.

11 MR. WILLIAMS: Now I want to show you page  
12 11 of your report.

13 If we can pull that up? I want to focus,  
14 Scott, on paragraph 16 at the bottom of the page.

15 THE WITNESS: Yes.

16 MR. WILLIAMS: And if you would highlight  
17 the sentence that begins: First there is a tendency  
18 to assume.

19 THE WITNESS: Yes.

20 MR. WILLIAMS: I'm going to ask you a  
21 question. Just a second, Doctor. I just want to  
22 highlight the sentence I want to ask you about.

23 THE WITNESS: Okay.

24 BY MR. WILLIAMS:

25 Q This sentence says that there is a tendency

RUTTER - CROSS

3326

1 to assume that if the heritability of a liability to  
2 autism is as high as 90 percent this leaves little  
3 room for any major environmental influence, and then  
4 you say: It is crucial to appreciate that this is a  
5 wrong assumption.

6 Now, when you say major environmental  
7 influence what were you referring to?

8 A Well, the example in my evidence earlier was  
9 of height where height is strongly heritable, but yet  
10 improvements of a major kind in nutrition and in  
11 infectious disease were associated with a big increase  
12 in height. There are other examples, but --

13 Q Phenylketonuria, PKU disease, is another  
14 example, isn't it?

15 A Well, that hasn't changed over time, but  
16 that is an example -- you're quite right -- where the  
17 genes actually work through susceptibility to a  
18 particular food substance.

19 MR. WILLIAMS: I want to show you an  
20 announcement of a grant proposal by the Department of  
21 Health and Human Services, the Respondent here. This  
22 was published in the *Federal Register* while this trial  
23 was going on a couple weeks ago on May 23.

24 Let's just show the top first there, Scott.  
25 This was out of the *Federal Register* on May 23, 2008.

RUTTER - CROSS

3327

1 Then go down to the title here, Scott, which is  
2 Disease Disability.

3 It says Disease Disability and Injury  
4 Prevention and Control Special Emphasis Panel  
5 Associations of Vaccine Adverse Events and Human  
6 Genetic Variations Request for Proposal, and it gives  
7 the proposal number.

8 Then lower in the same announcement it says  
9 there's going to be a conference call on June 12, a  
10 couple weeks from now, and the matters to be discussed  
11 -- if you would highlight that, Scott? That's what I  
12 want to ask him about.

13 BY MR. WILLIAMS:

14 Q It says the matters to be discussed include  
15 the review, discussion and evaluation of proposals  
16 already received in response to Associations of  
17 Vaccine Adverse Events and Human Genetic Variations.

18 Now, are you involved in any way in these  
19 proposals, or will you be involved in this discussion?

20 A The reason I'm looking up is to see whether  
21 I've got anything down on June 12.

22 I haven't, so not only do I have no memory  
23 of being involved; I obviously am not involved in that  
24 discussion.

25 Q The Respondent didn't think it needed your

RUTTER - CROSS

3328

1 advice on this yet apparently. Just for the record,  
2 this is from the *Federal Register*, Volume 73, No. 101,  
3 page 30105.

4 Now, Dr. Rutter, you may not remember this,  
5 but you actually attributed autism to an immunization  
6 in one of your papers. Do you recall doing that?

7 A No, I don't.

8 Q Let me show you.

9 A Please remind me.

10 Q Yes. Sure. This is a review paper that you  
11 wrote back in 1994. I guess we're going to make it  
12 trial exhibit next. I've got a copy to show you.

13 A Okay. The one on autism and known medical  
14 conditions, yes?

15 SPECIAL MASTER CAMPBELL-SMITH: That's going  
16 to be Petitioners' Trial Exhibit No. 8.

17 THE WITNESS: Okay.

18 (The document referred to was  
19 marked for identification as  
20 Petitioners' Trial Exhibit  
21 No. 8.)

22 BY MR. WILLIAMS:

23 Q First let me make sure that that is you  
24 that's the first author there.

25 A It is indeed.

RUTTER - CROSS

3329

1 MR. WILLIAMS: Okay. The general subject  
2 here is Autism and Known Medical Conditions: Myth and  
3 Substance.

4 If we turn to page 314 of this paper, which  
5 is the fourth page of the exhibit, down at almost the  
6 end of the column, Scott, where it says: Only eight  
7 of the cases. If you would highlight that?

8 THE WITNESS: Yes.

9 MR. WILLIAMS: There. That's good.

10 BY MR. WILLIAMS:

11 Q Now, you're actually discussing in this  
12 paragraph a review paper that you had published,  
13 actually a study you had published back in 1993 on  
14 Systematic Investigation of 100 Individuals With  
15 Autism.

16 And you say here that only eight of these  
17 cases can be regarded as having probably a causal  
18 medical condition, one being a child with epilepsy and  
19 temporal lobe focus on the EEG who had an onset  
20 following immunization. Do you see that?

21 A (Nonverbal response.)

22 Q I assume that that was a case of regressive  
23 autism, wasn't it?

24 A I have no memory as to whether it was or it  
25 wasn't. I'm sorry. I can't help you on that.

RUTTER - CROSS

3330

1 Q Wouldn't you have checked to see if there  
2 were any signs or symptoms of autism prior to the  
3 immunization before you attributed it to the  
4 immunization?

5 A Well, I'm not attributing it to the  
6 immunization. I'm simply saying that of this group  
7 this is one of a small number with a probably causal  
8 information.

9 Now, we know that there are adverse vaccine  
10 reactions. They are rare, but they are real, so I  
11 don't have any doubt about that. The paper here  
12 doesn't specify what the vaccine was. What is  
13 striking about it, it was associated, however, with  
14 the onset of epilepsy and a temporal lobe focus.

15 So that the fact that that occurred, i.e.  
16 it's not just that autism arose, but that there was a  
17 neurological feature there that plausibly was  
18 connected with the immunization, is the reason I put  
19 it in that probable causal group.

20 Q And in this case where it was probably  
21 caused by the immunization, you don't know whether  
22 there was thimerosal in that vaccine or in the  
23 vaccines that that child received?

24 A Well, it pretty certainly wasn't because of  
25 the time when these cases were seen. These are

RUTTER - CROSS

3331

1 dealing with the twin and family studies in the 1970s,  
2 so that's before MMR and before thimerosal was widely  
3 used. Yes. I don't know is the answer.

4 Q Okay.

5 A But it's not likely to have applied to  
6 either MMR or thimerosal.

7 Q Now just a few questions about head  
8 circumference and head size. You discussed it briefly  
9 in your direct, but is your opinion that head  
10 circumference is a diagnostic tool that you can use to  
11 determine whether a child has autism or not? The  
12 pattern of the head circumference changes?

13 A Putting it as a diagnostic indicator is  
14 putting it more strongly than I would wish to do.

15 The metaanalysis undertaken by Eric  
16 Courchesne going right across studies showed that the  
17 increase in brain size -- because this was a  
18 metaanalysis I think I'm right in saying of structural  
19 brain imaging -- indicates that it is a robust finding  
20 which is distinctive of autism as distinct from other  
21 conditions.

22 Why do I hesitate before saying it's a  
23 diagnostic feature? Well, because of course it  
24 doesn't apply to all autistic individuals so that it  
25 is very different, for example, from the microcephaly

RUTTER - CROSS

3332

1 that you see with Retts syndrome or the microcephaly  
2 that you see with many cases of intellectual  
3 disability.

4 The fact that a particular individual showed  
5 this increase in head size -- let's suppose we got all  
6 the evidence, okay? Showed an increase in head size  
7 or brain size measured by imaging over the preschool  
8 years, which would certainly be a strong pointer for  
9 this being likely to be autism rather than something  
10 else. An absence of that wouldn't necessarily rule  
11 out autism.

12 Q In the studies that have measured head  
13 circumference in association with autism do you know  
14 whether they controlled for the time when the birth  
15 head circumference was taken?

16 A Do you mean which era in time?

17 Q No. Well, does it matter at what point  
18 after birth the first head circumference measurement  
19 is taken for these studies?

20 A Probably not because the changes are quite  
21 small at that time, but usually it is measured at  
22 birth. That certainly in the U.K. would be the  
23 standard way.

24 Q You called Dr. Courchesne, Eric Courchesne  
25 -- is that how he says it?

RUTTER - CROSS

3333

1 A Yes.

2 Q Is that how he says it, or do you know? I  
3 thought maybe you're the authority on autism. You  
4 might actually have met him and know how to pronounce  
5 it.

6 A I have met him. I think that's how he  
7 pronounces it.

8 Q Because some of the defense experts have  
9 referred to him as Courchesne. I just wondered. We'd  
10 like to know how to pronounce it.

11 A I've never heard him called Courchesne, but  
12 I'm open to correction.

13 Q Okay.

14 A For me he's Eric Courchesne.

15 Q Coming into this trial we looked really,  
16 really hard to try to find some kind of an animation  
17 of brain growth from birth to two years of age.

18 Q Could you just summarize the brain growth  
19 that does occur after birth up to two years of age in  
20 the normal child?

21 A That's not something I've personally done so  
22 I hesitate before giving a summary on that. Of  
23 course, the studies are based on not multiple measures  
24 taken over short periods of time. They're putting  
25 together ones taken over a longer period.

RUTTER - CROSS

3334

1 I doubt that the evidence is sufficient to  
2 say precisely when this occurs other than that there  
3 is not an increase at birth. As far as I know, none  
4 of the studies have found an increase at birth. It  
5 develops sometime over that preschool period.

6 Whether the timing is consistent from child  
7 to child I don't know, but I'd be surprised if it was  
8 because so few things in biological development are  
9 consistent from child to child.

10 Q I was trying more to get at the notion of  
11 just the amount of brain growth that would occur in a  
12 normal, healthy child from birth to two in terms of  
13 increase in volume, increase in number of cells,  
14 increase in number of connections.

15 A Oh. Well, there's more evidence on that.  
16 So that there is a time -- let me put it in simple  
17 terms -- where there's an overgrowth of neurons and an  
18 overgrowth of neuronal connections. This is in line  
19 with what I was saying earlier about biological  
20 development being a probabilistic model.

21 So what normally takes place during that  
22 period, but also takes place again in adolescence, is  
23 that there is a pruning so that the connections that  
24 aren't working properly, aren't necessary, are pruned  
25 out.

RUTTER - CROSS

3335

1           So whether the increase that you see in  
2           autism is due to a failure of normal pruning or  
3           whether it is due to an overgrowth we don't know at  
4           the moment. Either is a possibility.

5           Q     Pruning is required though for a healthy,  
6           normal brain?

7           A     Yes.

8           Q     And isn't it likely that environmental  
9           insults during that period of time between birth and  
10          two years of age could affect the pruning, as well as  
11          the overgrowth of neurons?

12          A     It's possible. I think we don't have  
13          evidence whether it is likely, but it's possible.

14          Q     Now, I checked your report again over the  
15          weekend to make sure I was right about this. You  
16          discuss for a couple pages of your report a number of  
17          brain autopsy studies --

18          A     Yes.

19          Q     -- on autistic children.

20          A     Yes.

21          Q     But you do not mention any of the studies  
22          that have found neuroinflammation. For example, you  
23          did not cite the Vargas 2005 paper. Why did you leave  
24          that out?

25          A     No particular reason. I think that I only

RUTTER - CROSS

3336

1 became aware of the Vargas paper after I had done the  
2 report. I have read the Vargas paper now.

3 You will understand that I'm not a  
4 neuropathologist so that the detailed findings of that  
5 go beyond my expertise, but, yes, I am aware of the  
6 paper.

7 Q And in your direct testimony today there  
8 wasn't anything about neuroinflammation as an  
9 explanation of the symptoms of autism. Do you think  
10 that neuroinflammation is irrelevant to the discussion  
11 of autism?

12 A I think we have no idea whether it's  
13 relevant or not.

14 I mean, if one turns to the Pardo paper,  
15 which references are made in Kinsbourne's report, I  
16 think, and one looks carefully at what is said there  
17 they report interesting changes, but they're very  
18 careful to point out the meaning of these remain quite  
19 uncertain at the moment.

20 Insofar as I understand the evidence, I  
21 would be in agreement with that, so as is often the  
22 way when one has got new findings, particularly ones  
23 that are not the same as what have been found earlier,  
24 one needs to be very cautious as to what conclusions  
25 to draw.

RUTTER - CROSS

3337

1           Whether the findings are causal or are  
2           caused by or are due to some incidental thing, we  
3           really don't know that. So of course I pay careful  
4           attention to this evidence. I go along with Dr.  
5           Pardo's portion as to what it means.

6           Q     Dr. Courchesne has written a paper that we  
7           showed several times during this trial called Autism  
8           at the Beginning where he discusses neuroinflammation  
9           as an explanation not just of the symptoms of autism,  
10          but of the brain pathology underlying autism.

11                     You didn't mention that in your report.  
12          That was also published in 2005.

13          A     Right.

14          Q     You don't mention that in your report or in  
15          your direct testimony. Why not?

16          A     It's not an area of my expertise, so I have  
17          noted some of the key findings.

18                     On my reading of the evidence the  
19          neuroinflammation does not show clearly what changes  
20          are happening nor when they're happening so that the  
21          early Kemper and Bauman findings, for example, did not  
22          show evidence of that kind. Were they wrong and the  
23          more recent ones right? I have no idea.

24                     Techniques have improved over time, so I'm  
25          open to be persuaded that the new evidence as it were

RUTTER - CROSS

3338

1 needs to be taken seriously as a real contender, but I  
2 am aware of the uncertainties as to what causal  
3 implications you can draw from it.

4 Q Do you know whether Dr. Kemper and Dr.  
5 Bauman looked for neuroinflammation in those earlier  
6 brain studies?

7 A I don't know. They certainly looked for  
8 glial changes, but that's not quite the same thing.

9 Q You do agree, don't you, that the studies  
10 that have looked at brain function in live autistic  
11 children, as well as the studies that have looked at  
12 brain pathology, seem to imply that there is a system  
13 abnormality in autism as opposed to some focal brain  
14 lesion?

15 A I do agree with that.

16 Q Isn't neuroinflammation throughout the brain  
17 a plausible biological explanation of that systems  
18 abnormality?

19 A The trouble with biology is almost anything  
20 is plausible, so the question that I would want to ask  
21 is is it likely.

22 That the kind of brain wide changes that one  
23 sees, could they cause autism? Well, I suppose so,  
24 but if one looks at what we know about, for example, I  
25 was involved in studies of head injuries where there

RUTTER - CROSS

3339

1 were global effects from closed head injuries, as well  
2 as focal effects.

3 Autism did not appear in any of the cases  
4 that we saw, although because that was a major  
5 interest of mine we certainly looked for them. And so  
6 a brain-wide general thing like inflammation, could it  
7 occur? Yes. Do I think it's likely? No.

8 Q You mentioned a two-volume textbook on  
9 autism by a friend of yours earlier today.

10 A Fred Volkmar.

11 Q Right. If you look in the index to that  
12 two-volume book neuroinflammation is not there yet.  
13 Is that just because the U.K. is behind?

14 A It's an American book.

15 Q Published about 2005, right?

16 A Yes, 2005.

17 Q So it hasn't had time to put this stuff in  
18 there yet.

19 A Okay.

20 Q The word microglia does not appear in the  
21 index of that book.

22 A Okay.

23 Q Does that surprise you?

24 A It's not my book, and I would hesitate to  
25 comment. There are a lot of things that aren't there.



RUTTER - CROSS

3341

1 Were you aware that the NIH was funding studies to  
2 look at regressive autism treated by antibiotics?

3 A No, but it doesn't surprise me. NIH  
4 expected to fund long shots, as well as surefire  
5 applications, so, yes, that's one of the things  
6 they're looking at. It's an open label study. It's  
7 not a very tight study.

8 Q Do you know what Minocycline is?

9 A Not in detail, no.

10 MR. WILLIAMS: Okay. Let's look at what it  
11 says the purpose of this study is. Highlight the  
12 first paragraph there, Scott.

13 BY MR. WILLIAMS:

14 Q It says there is a subgroup of children with  
15 autism that appears to develop typically for a period  
16 of time and then loses social or language skills or  
17 regresses.

18 A recent study by Vargas and co-workers at  
19 Johns Hopkins has demonstrated that this regressive  
20 type of autism is associated with chronic brain  
21 inflammation as shown by an abnormal production of  
22 inflammatory cytokines and other abnormalities.

23 Now, I can represent to you that this grant,  
24 it is the Pardo group that obtained this grant.

25 A Yes.

RUTTER - CROSS

3342

1 MR. WILLIAMS: We thought we were going to  
2 hear from Dr. Pardo today, but we're not going to now  
3 so all we can go by is what the grant says, but I want  
4 to show you what they're trying to treat here and ask  
5 you if it makes sense.

6 In that second paragraph, Scott, highlight  
7 that last sentence where it says: Medicine with  
8 anti-inflammatory properties may be beneficial for  
9 children with regressive autism.

10 BY MR. WILLIAMS:

11 Q Do you agree that's a reasonable study to  
12 undertake, Doctor?

13 A Yes. I think the NIH has funded over the  
14 years a number of studies which were very long shots,  
15 and that's a proper thing for them to be doing. So  
16 that they've funded I've forgotten how many, but a  
17 large number of studies of a claim based on three  
18 cases in UCLA that Fenfluramine made a massive  
19 difference to autism. Fenfluramine, as you probably  
20 know, was later withdrawn because of its toxic  
21 properties, but a lot of money was spent testing this  
22 study.

23 Secretin. A lot of claims were made. A  
24 variety of studies were done to test whether that was  
25 so or not. The studies were consistently negative.

RUTTER - CROSS

3343

1           So over the years NIH, in an entirely proper  
2           fashion, has taken some suggestions of varying degrees  
3           of plausibility and implausibility and considered that  
4           okay, it doesn't sound very likely, but on the other  
5           hand we need to know whether in fact it works.

6           I would see this as one of those. I don't  
7           criticize that. It's obviously not based on very  
8           strong evidence, but it's worth a try.

9           Q     And let me just show you what they believe  
10          the target of the drug is. On the second page let's  
11          pull up this paragraph. It says that the antibiotic  
12          Minocycline is a powerful inhibitor of microglial  
13          activation.

14          A     Yes.

15          Q     Now, what is your understanding of what  
16          happens in the brain when microglia are chronically  
17          activated, Dr. Rutter?

18          A     It's not something I'm expert on so I'd  
19          rather not comment on it.

20          Q     And then I'd like to show you a diagram that  
21          we've used in Court before from the Pardo group. This  
22          is out of the 2005 review paper by this group from  
23          Johns Hopkins.

24                   I need to show you a copy of the paper.  
25          This is Petitioners' Master Reference List Exhibit

RUTTER - CROSS

3344

1 424.

2 A Okay. Thank you.

3 Q Let me get back to the microphone. This is  
4 a review paper written by Dr. Pardo's group at Johns  
5 Hopkins published in 2005. Have you read this before?

6 A Yes, I have.

7 Q You didn't cite it in your report.

8 A No.

9 Q You didn't discuss it on direct.

10 A No.

11 Q Let me show you the diagram that they have  
12 in here that kind of summarizes their theory, and then  
13 I want to ask you a few questions about it. It's on  
14 page 8 of the exhibit up in the left-hand corner.

15 Did I give you the wrong one? Let me give  
16 you the right one.

17 MS. RICCIARDELLA: I think you have the  
18 wrong paper, Dr. Rutter.

19 MR. WILLIAMS: Yes.

20 THE WITNESS: Okay.

21 MR. WILLIAMS: It's not 424. It's 72. Give  
22 us just a minute.

23 I'll give you one that we've highlighted as  
24 long as you give it back to me when we're done.

25 THE WITNESS: Sure thing. Looking at this

RUTTER - CROSS

3345

1 paper I realize this isn't the Pardo paper I've seen,  
2 but I'm interested to see it.

3 BY MR. WILLIAMS:

4 Q I'm sorry? I didn't hear you.

5 A You had asked me whether I had seen this  
6 particular Pardo paper.

7 Q Yes.

8 A And I realize the title is similar to one I  
9 have seen, but that isn't the one that I had seen.

10 Q Okay. So you have not looked at 424 before?

11 A No.

12 Q All right. Now let's look at Exhibit 72,  
13 which is the one I intended to show you.

14 A Right.

15 Q I'll ask you first have you read that paper  
16 by Pardo, et al.?

17 A Yes, I have.

18 Q Okay. But again it's not in your report.  
19 It's not cited in your report, is it?

20 A No.

21 Q Let's look at the diagram on page 8 then in  
22 the upper left-hand corner. Now, over in the left-  
23 hand top circle or oval they have Environmental  
24 Infections and Toxins. Do you see that?

25 A Yes.

RUTTER - CROSS

3346

1 Q And then they have arrows going Interacting  
2 with Genetic Factors, and you've agreed that's a  
3 reasonable hypothesis that environmental toxins would  
4 react with genetic susceptibilities?

5 A I'm not quite sure what you mean. React  
6 with? If you mean that there will be both, certainly.  
7 Whether you're implying a gene/environment  
8 interaction, I don't know that. There's no evidence I  
9 know of in support of that.

10 Q Is it reasonable to think that there could  
11 well be people who are more susceptible to the toxic  
12 effects of mercury than other people because of their  
13 genetic makeup?

14 A It's possible, but it has not been  
15 demonstrated.

16 Q Then the diagram also points over to the  
17 CNS. That's central nervous system, correct?

18 A Yes.

19 Q And it has neuro organizations, synapses and  
20 neurotransmitters, and then it points down to  
21 neuroglial activation. Do you see that?

22 A Yes.

23 Q And that points over to the release of  
24 cytokines, oxidative stress, systemic cytokines. Do  
25 you see that?

RUTTER - CROSS

3347

1 A Yes.

2 Q And then eventually it comes down to the  
3 autistic phenotype of regression. They list some  
4 other ones there.

5 Now, do you think this is a reasonable model  
6 of how some autistic children could develop autism;  
7 that environmental toxins could activate their  
8 microglia and lead to autistic symptoms of regression?

9 A Well, if one looks at the subtitle it's  
10 Hypothetical Interactions, and that's exactly what it  
11 is. It's a speculative portrayal of what there might  
12 be.

13 Some of those arrows are better  
14 substantiated than others. I mean, let me focus on  
15 one that you emphasized, neurotransmitters. One of  
16 the very striking things about autism is that unlike  
17 all other psychiatric disorders there is no consistent  
18 response to drugs that have been at least used so far  
19 that affect neurotransmitters.

20 So that it is very unusual with a disorder  
21 which we've agreed is likely to be a systems disorder  
22 of one kind or another that features such as  
23 neurotransmitters that operate throughout the brain  
24 are not beneficially affected by the drugs that alter  
25 those neurotransmitters, so that would be one aspect

RUTTER - CROSS

3348

1 of this diagram where you have to put a major query.  
2 Many of the other arrows, the same sort of thing.

3 So scientists quite commonly follow the  
4 pattern of telling stories about how things might be.  
5 That's a legitimate way of beginning in science. You  
6 tell a story, and then you undertake the systematic  
7 research to tell you whether that story is correct or  
8 incorrect.

9 So as a speculative story that might apply  
10 it's a reasonable starting point, but as the paper  
11 goes on if you look at the conclusions it is evident  
12 that they are putting it forward in a very cautious  
13 way, quite properly so. They're not saying it's  
14 wrong. They're saying these are some ideas that we  
15 think are worth testing. I would agree with that.

16 Q But you didn't think it was worth discussing  
17 in your report?

18 A I hadn't come across it at that time.

19 Q I would like to turn to page 17 of your  
20 report where you discuss --

21 A Okay.

22 Q Paragraph 25 specifically is what I want to  
23 blow up on page 17.

24 You talk about two concepts here.

25 Biological plausibility we've already discussed, but

RUTTER - CROSS

3349

1 what do you mean by biological coherence? That's an  
2 additional requirement you would impose on an  
3 explanatory theory.

4 A It's not my terminology. It is a way of  
5 restating Bradford Hill's guidelines in which what he  
6 is meaning by this is that if one looks at what we  
7 understand from empirical studies of the way systems  
8 work is there a coherence in the evidence coming  
9 together to indicate pathways that might be relevant?

10 It is a guideline. He's quite explicit in  
11 these guidelines. These are not rules, but it is  
12 saying you need to look at the biological evidence as  
13 a whole. Is there a coherence in coming together to  
14 the same sort of answer?

15 Where it is then that makes it a bit more  
16 likely. Where it's leading all over the place in  
17 different directions then that makes it a lot less  
18 likely.

19 MR. WILLIAMS: What I'd like to show you now  
20 is sort of five or six pieces of what our experts'  
21 theory has been and ask you if it looks like it's more  
22 coherent than not.

23 This is a slide that we've prepared called  
24 Biological Plausibility and Coherence of Thimerosal-  
25 Containing Vaccines Regressive Autism Link, and the

RUTTER - CROSS

3350

1 first point is this.

2 If you could pull it in, Scott?

3 BY MR. WILLIAMS:

4 Q We've seen evidence that thimerosal-  
5 containing vaccines deliver inorganic mercury to the  
6 brain of infant monkeys. You cite that infant monkey  
7 study in your report.

8 A I do.

9 Q In fact, you state that it's interesting  
10 enough it should be followed up on, don't you?

11 A Yes.

12 Q Now, who should be doing the following up on  
13 it? Do you think, for example, that the manufacturers  
14 of the vaccines that delivered mercury to the brains  
15 of these infants have any responsibility to do studies  
16 to follow up on that Burbacher infant monkey result?

17 A Oh, I think I'd rather not comment on who  
18 should be doing it. What I said in the report I stick  
19 by. That's to say it's an interesting finding, and  
20 therefore it's certainly worthwhile to be followed  
21 through.

22 Now, in terms of the issue of a highly  
23 unusual, susceptible subgroup, the comment that I  
24 would make is a twofold one. The first is that as I  
25 understand the animal data what one is seeing is not

RUTTER - CROSS

3351

1 very unusual responses in a few animals. One is  
2 seeing a response which is broadly comparable across  
3 the group.

4 So that in terms of evidence that mercury is  
5 doing things to the brain, fine. I have no quarrel  
6 with that. Of course, there are other studies that  
7 show the same. In terms of an unusually susceptible  
8 subgroup, I find this insofar as it goes rather  
9 against that.

10 The second problem is that as the study and  
11 other studies bring out, interesting things happen to  
12 both ethyl mercury and methyl mercury and the  
13 breakdown to inorganic mercury and that one, in  
14 looking for specificity of effects, the minute you are  
15 looking to things that come up from all sorts of  
16 products other than thimerosal it becomes much more  
17 difficult to say what is causing what.

18 So it is an interesting study. Yes, I do  
19 think it's worth following through. At the moment I  
20 don't find that it helps me very much other than an  
21 interesting bit of good science in knowing about  
22 thimerosal.

23 Q Well, if you can't say who should do the  
24 follow-up can you say what kind of follow-up you would  
25 recommend?

RUTTER - CROSS

3352

1           If the vaccine manufacturers on their own  
2           came to you and said we're concerned about the fact  
3           that our vaccines probably delivered inorganic mercury  
4           to the infant brains in a lot of kids and what should  
5           we do to investigate that, what would you tell them?

6           A     I don't do consultancies to drug companies  
7           partly because I'm not a toxicologist. That's not  
8           what I do.

9           Q     Okay. So when you said in your report it  
10          should be followed up what did you mean? Did you have  
11          something in mind?

12          A     There are a whole series of ways in which  
13          one might follow things through, but I think you're  
14          taking me down a road where I have ideas on the sorts  
15          of approaches, but I'm not a toxicologist and I don't  
16          wish to get involved in saying it's this strategy  
17          rather than that strategy that would be preferred.

18          Q     Now, the next step in our coherence that I'm  
19          positing to you is that --

20                 MR. WILLIAMS: It should say, Scott, that  
21          mercury persists in the brain. I think that got left  
22          out.

23                 BY MR. WILLIAMS:

24          Q     In the Burbacher infant monkey study, and I  
25          meant to have the third point be the second point, but

RUTTER - CROSS

3353

1 in any event --

2 A I can deal with both of them.

3 Q In the adult monkey studies that are  
4 referred to in the Burbacher infant monkey studies  
5 there was a series of papers that found inorganic  
6 mercury persisted in the brains of adult monkeys for  
7 years, and it provoked neuroinflammation.

8 Now, you don't cite any of those papers in  
9 your report. Did you go and look at them when you  
10 read the infant monkey study?

11 A No, I didn't because, as I say, these are  
12 studies which are at an early point of indicating that  
13 there are aspects of the way mercury operates which  
14 require further study.

15 I agree with that, but as they stand at the  
16 moment they don't help very much in relation to the  
17 particular hypothesis of thimerosal and autism.

18 Q You do agree, don't you, that there is wide  
19 individual variability in the blood and brain levels  
20 of mercury in both the human and the primate studies  
21 that we've seen?

22 A There's wide individual variability in  
23 almost any biological measure one cares to think  
24 about.

25 Q And there is with mercury brain blood levels

RUTTER - CROSS

3354

1 from thimerosal vaccines, right?

2 A Yes.

3 MR. WILLIAMS: The next point, Scott?

4 //

5 BY MR. WILLIAMS:

6 Q The Burbacher paper says that inorganic  
7 mercury at doses only five times higher than shown in  
8 the infant monkeys ignited neuroinflammation in the  
9 brain of the monkeys. You don't disagree that that  
10 happened, do you?

11 A I haven't looked at that particular paper,  
12 but I see no reason to disagree.

13 What I would not have the expert knowledge  
14 to know is whether the five times higher is a  
15 sufficiently big difference to make one not wish to  
16 extrapolate or not. I can't answer that one.

17 MR. WILLIAMS: Let's pull the other points  
18 up, Scott.

19 BY MR. WILLIAMS:

20 Q Neuroinflammation has been found in almost  
21 all the brains of human autistics when it's looked  
22 for. Do you agree with that?

23 A No, but the point is that the number of  
24 brains that have been looked at is very small.  
25 Moreover, the brains that have been looked at are

RUTTER - CROSS

3355

1 highly atypical.

2 That's not meant as a criticism of the  
3 research. It's simply you can only look at the brains  
4 of the people who have died, and the people who have  
5 died are much more likely to have epilepsy and to have  
6 profound mental retardation or intellectual disability  
7 because those are the ones who die.

8 So it's not that they've chosen the wrong  
9 groups. It's the only groups that are available. So  
10 we have a small number of brains looked at from an  
11 atypical group.

12 Now, whether the findings that are found are  
13 related more to the epilepsy than the autism we have  
14 no idea. With the number of brains available at the  
15 moment, it would be pretty well impossible to sort  
16 that out statistically, but clearly that will have to  
17 be done.

18 As I'm sure you know, there are studies both  
19 sides of the Atlantic trying to accumulate larger  
20 number of brains so that issues such as the one you  
21 mention here, but umpteen others as well, can be  
22 looked at in order to determine can they be found by  
23 independent investigators, because that's the golden  
24 rule of science.

25 And can they be related to the particular

RUTTER - CROSS

3356

1 aspect looked at, i.e. not the mental handicap, not  
2 the epilepsy, but the autism, because the groups have  
3 mostly had all three of those, and have the right  
4 checks been done to determine whether it is a cause or  
5 whether it is an effect of the changes that take  
6 place.

7 So as an area where more research is needed,  
8 absolutely I agree. In terms of what can be concluded  
9 so far, I think very little.

10 Q You seem to suggest that you were aware of  
11 autopsy studies on autistics where --

12 A Yes.

13 Q -- the investigators had looked for  
14 neuroinflammation and failed to find it. What study  
15 are you talking about?

16 A Well, Kemper -- they were focusing  
17 particularly on glial changes, which are the sort of  
18 characteristic changes of injury that you get in  
19 postnatal brains. They did not find that. I'm not  
20 sufficiently expert on the techniques that they used  
21 to know how sensitive they were to that.

22 The study by Bailey and his colleagues  
23 similarly looked and found some evidence in some  
24 individuals that were compatible with that and again  
25 left open as it were the meaning of it.

RUTTER - CROSS

3357

1           So based on a very small number of brains  
2           investigated in slightly different ways by different  
3           investigators that don't as yet end up with a coherent  
4           story, I'm optimistic that in the goodness of time  
5           they will, but until we're there it's premature to  
6           build much of a theory on it.

7           Q     I thought you had already told us that you  
8           didn't know whether Kemper had looked for  
9           neuroinflammation.

10           I'm asking you to tell me what study you're  
11           referring to where they looked for neuroinflammation  
12           in the brain and didn't find it.

13           A     I said she looked for glial changes. I  
14           don't know what range of techniques she used. I'd  
15           have to relook at the paper. Again, I'm not a  
16           neuropathologist.

17           MR. WILLIAMS: And then finally, Scott, pull  
18           in the last point there.

19           BY MR. WILLIAMS:

20           Q     This is the point that Dr. Courchesne and  
21           the Vargas and Pardo group have made in their review  
22           papers that persistent neuroinflammation can explain  
23           the symptoms of autism.

24           Do you agree with that particular point;  
25           that it can explain the symptoms of autism?

RUTTER - CROSS

3358

1 A It's a speculative notion.

2 Q Now, every one of these points which come  
3 out of the published literature appeared in 2005 or  
4 later.

5 You were first retained by the vaccine  
6 manufacturers on the thimerosal question, according to  
7 your report, sometime in early 2004. Is that right?

8 A Yes.

9 Q So when you wrote the first draft of your  
10 report none of this information was available to you?

11 A True.

12 Q But when you wrote your report in this case  
13 all of that was available to you, and yet you didn't  
14 even discuss it, did you?

15 MR. MATANOSKI: I object at this point.

16 This line of questioning, Your Honor, has gone on time  
17 and again. I've let it go on, but it deserves to be  
18 commented on.

19 The inference here is Dr. Rutter didn't  
20 mention this because it was part of the Petitioners'  
21 case that he couldn't address. This was not part of  
22 the Petitioners' case when he wrote his report.  
23 Neuroinflammation was not their case.

24 MR. WILLIAMS: I don't think this is the  
25 time for argument.

RUTTER - CROSS

3359

1 MR. MATANOSKI: Dr. Deth made his theory  
2 present and known back at the time that Dr. Rutter was  
3 answering and gave his report.

4 This three week old theory of  
5 neuroinflammation, I don't think that it's proper for  
6 this line of questioning to keep faulting Professor  
7 Rutter for not addressing something that he had to be  
8 somehow cognizant of before it was even presented by  
9 the Petitioners.

10 SPECIAL MASTER CAMPBELL-SMITH: Petitioners'  
11 counsel, how much further are we going with this line  
12 of questioning?

13 MR. WILLIAMS: I just want to ask him if he  
14 agrees that that is a coherent theory.

15 BY MR. WILLIAMS:

16 Q Even if you say it's not proven yet, isn't  
17 it a biologically coherent theory?

18 A It's a highly speculative theory, and it's  
19 not one that had been drawn to my attention at all in  
20 the case at the time I wrote my report.

21 So that if I was redoing a new report I  
22 would look at these papers, but I would have to, as I  
23 indicated, be very careful in indicating this is not a  
24 particular area of science on which I'm expert so I  
25 would comment on it in terms of a causal inference.

RUTTER - CROSS

3360

1 I would not be prepared to comment on the  
2 details of the laboratory features. That's not my  
3 area of expertise.

4 Q Are you saying you can't say whether it's  
5 coherent or incoherent?

6 A It's so general that it's difficult to say  
7 anything other than it's a speculative attempt to  
8 bring a general mechanism together in terms of  
9 accounting for a specific phenomenon.

10 Q Coherent or incoherent? What's your answer?

11 A It's so vague that it's neither.

12 Q Let's talk about regression for a minute.  
13 You agreed I think that there have been cases --  
14 you've said you've seen them -- where there is clear  
15 and even dramatic regression into autism of children  
16 who developed normally until they were 18 months of  
17 age, correct?

18 A Yes. The dramatic is unusual, but I've  
19 certainly seen many cases of regression, yes.

20 Q Now, you said that you thought regression  
21 was on average about a quarter of the cases?

22 A Yes.

23 Q Are you aware of the study that was done in  
24 California called the CHARGE study? It's an  
25 epidemiological study of regressive autism.

RUTTER - CROSS

3361

1 A I'm not quite sure I recognize it by that.

2 MR. WILLIAMS: Let me show it to you. This  
3 is Petitioners' Master Reference List Exhibit 562.

4 Scott, if you would just pull up the title  
5 of the paper? We've already discussed this briefly  
6 before with another witness.

7 BY MR. WILLIAMS:

8 Q The title is Regression in Autism,  
9 Prevalence and Associated Factors in the CHARGE Study.  
10 Have you not seen this paper before, Dr. Rutter?

11 A I think I probably have, but I need to look  
12 through it properly to check.

13 MR. WILLIAMS: If you would just blow up the  
14 abstract?

15 I don't want to go into the details. I just  
16 want to ask him about the conclusion of the abstract  
17 here for now. Highlight the Results section if you  
18 would.

19 BY MR. WILLIAMS:

20 Q In the Results section they say that 15  
21 percent of the combined autism ASD group lost both  
22 language and social skills, 41 percent lost one or the  
23 other, and no differences were found between the two  
24 samples of children with regression.

25 But do you agree that this epidemiological

RUTTER - CROSS

3362

1 study conducted in California probably is the best  
2 measure we have right now of the percentage of  
3 autistic children who have both language and social  
4 skills regression?

5 A Well, there are other studies. I'd have to  
6 read it more carefully to say that it's the best.

7 It does of course come up with a combined  
8 figure of whatever it is, 56 percent, so it's actually  
9 saying over half have regressive autism.

10 Q But the children we're talking about in this  
11 case lost both social skills and language, and the  
12 study found that those type of children only occurred  
13 in 15 percent of the cases, correct?

14 A Where does the fact that we're referring  
15 only to those who lost both come from?

16 Q The two cases that are at issue here today.

17 A Oh, I see. Well, I have not looked at the  
18 individual cases so I can't comment on that.

19 But in the general evidence that I have seen  
20 it's not been specified in that particular way. It's  
21 talked about definite regression. It's not said that  
22 it has to be in both language and social.

23 Q You made a general comment on epidemiology  
24 that you thought if it was 25 percent of the  
25 population, of the autism population, that the

RUTTER - CROSS

3363

1 ecological studies that have been conducted on autism  
2 rates over time compared to thimerosal vaccines would  
3 have picked it up.

4 Are you aware that both Dr. Greenland and  
5 Dr. Goodman for the defense have said that if it's 15  
6 percent those studies would not have been able to pick  
7 it up?

8 MR. MATANOSKI: I think that's an unfair  
9 characterization of either witness. I'm certainly  
10 sure that it isn't Dr. Goodman's statement.

11 MR. WILLIAMS: Well, let me ask the witness  
12 another question.

13 BY MR. WILLIAMS:

14 Q Do you know what percentage of all autism  
15 they said would not be able to be picked up if it were  
16 a certain size? Do you know what numbers they used?

17 A No. I have read Dr. Greenland's statement,  
18 his report. He doesn't deal with what the proportion  
19 is, but he does assume a very low rate.

20 But he does so without reference to the  
21 literature on the reported studies looking at  
22 regression so that he ends up with the perfectly  
23 legitimate point that if it is a very low rate it  
24 wouldn't be picked up.

25 Now, what rate would be picked up would

RUTTER - CROSS

3364

1 depend on which study one is talking about. Obviously  
2 the smaller the proportion the less likely would it  
3 have been to be picked up. I mean, that is a general  
4 epidemiological finding, and of course I agree with  
5 that.

6 I have not looked at the evidence  
7 sufficiently in relation to knowing which percentage  
8 would have been picked up and which wouldn't.

9 Q Do you know what Dr. Rust said about this  
10 issue as to what percent of his patients he thought  
11 were truly regressive?

12 A I don't think I do, no.

13 Q You don't know that he said that of the  
14 patients that he has in his own clinic that were  
15 apparently regressive that when he went back and  
16 looked carefully at them only 20 percent of those  
17 cases were truly regressive? You're not aware of  
18 that?

19 A No, but I would question the basic  
20 assumption.

21 The evidence to date I think suggests that  
22 regression isn't an either/or phenomenon so that Dr.  
23 Kinsbourne in his report talks about in biology  
24 continuing the usual. I don't remember the exact  
25 words he used, but something of that kind. I agree

RUTTER - CROSS

3365

1 with that statement.

2 My clinical experience over some half a  
3 century goes along with that in relation to  
4 regression. That's to say there are some cases that  
5 are indeed severe and dramatic. There are others  
6 where much less so and all the way along the line.

7 The evidence as to which cutoff you should  
8 use to identify a distinctive subgroup, I don't think  
9 we have the faintest idea where that should be. But  
10 the study here, for example, just eyeballing it  
11 because I haven't had time to read it properly,  
12 indicates that they found no differences between the  
13 two samples with regression or the children without  
14 loss of skills so that the notion that there is a  
15 distinctive group I query.

16 I'm not saying it's impossible, but what I  
17 am saying is it certainly has not been demonstrated,  
18 and it certainly has not been demonstrated that any  
19 group of that kind is medically different. It's a  
20 possibility worth studying, but hasn't been shown.

21 MR. WILLIAMS: You can take that down,  
22 Scott.

23 BY MR. WILLIAMS:

24 Q Now let me ask you this squarely. What is  
25 your opinion as to whether there has been any

RUTTER - CROSS

3366

1 measurable increase in the incidence of DSM-IV autism  
2 over the last 20 years?

3 A I don't know. As a careful, rigorous  
4 scientist it bothers me that I have to say something  
5 as vague as that.

6 Let me put it this way. There is no doubt,  
7 and this would be generally agreed, that there is  
8 better ascertainment now than there used to be and  
9 that that will have certainly played a part in the  
10 rise.

11 It's also the case, and again as far as I  
12 know nobody has disputed it, that the broadening of  
13 the concept is for real and has played a part. So the  
14 question comes then does better ascertainment and a  
15 broadening of the concept fully account for the rise?  
16 I know of no evidence that can rule that in or rule  
17 that out.

18 But one of the studies that I am involved  
19 with, which is the Norwegian so-called MOBAS study,  
20 mothers and babies study, following 100,000 children  
21 and mothers from pregnancy onward is looking at  
22 whether there are environmental risk factors that  
23 could be involved with autism.

24 So I am very heavily committed to the need  
25 to study not just genetic influences, but also

RUTTER - CROSS

3367

1 possible environmental causes, but I do so on the  
2 grounds that it is reasonable with a multifactorial  
3 disorder like autism to suppose there are nongenetic  
4 factors, and it is the job of scientists like myself  
5 to strive to find them.

6 I think that the evidence as to whether  
7 there is or is not a real rise I don't think is worth  
8 investigating at the present time because I don't see  
9 how you would ever know. You can't go back in history  
10 with measures that were not existent at the time.

11 I am in favor of research that says here is  
12 a hypothesis about something that might have caused a  
13 real rise. Let us investigate it. That was done with  
14 MMR and it was done with thimerosal, and I think it  
15 was reasonable in both cases to look at the  
16 epidemiological evidence that it was associated with a  
17 real rise.

18 In both cases I think the evidence is  
19 against that having been responsible for a real rise,  
20 but clearly when the suggestion was put forward it  
21 needed to be investigated, and one of the key features  
22 that is most decisive is what happens when the risk  
23 factor -- MMR in the one case, thimerosal and vaccines  
24 in the other -- are removed.

25 So there is a need to look at this

Heritage Reporting Corporation  
(202) 628-4888

RUTTER - CROSS

3368

1 possibility. I don't know whether there's been a real  
2 rise.

3 Q Okay. You said that you thought in the  
4 modern era that the prevalence estimates now are  
5 reasonably accurate?

6 A Yes, I do.

7 Q I assume that's post DSM-IV, is that right,  
8 the modern era?

9 A Yes. I'm not a great adherence to official  
10 classification systems despite the fact I was involved  
11 with both.

12 Q But what I was hearing you say is that we  
13 can reasonably rely on the prevalence estimates in  
14 more recent years of autism.

15 A Yes. Yes. Not because they rely on DSM-IV  
16 or ICD-10.

17 Q Okay.

18 A But because they use standardized  
19 instruments. They look carefully at confounding  
20 factors. They use good general population samples. I  
21 mean, they as it were remedied many of the problems of  
22 the earlier research.

23 Whether they were helped or hindered by  
24 DSM-IV and ICD-10 is really neither here nor there.  
25 They were good epidemiology.

RUTTER - CROSS

3369

1 Q And when did we enter the modern era?

2 A That's a bit like regression. It happened  
3 gradually over time.

4 Q Okay. We're there now. You don't know when  
5 the studies were published that we can trust and rely  
6 on their prevalence estimates?

7 Studies published after 1995? Can we rely  
8 on studies published after 1995 as giving us accurate  
9 prevalence estimates?

10 A I as always, as any good scientist does, do  
11 not rely on the year. It looks at the quality of the  
12 research. The quality of the research in the studies  
13 done in the last decade or so are definitely higher  
14 than those.

15 I know Dr. Fombonne has done analyses  
16 looking at particular year cutoffs. I think that's a  
17 sensible thing to be doing, but I actually don't have  
18 much faith that that actually gets you very far. I  
19 think looking at the quality of the research is the  
20 key thing.

21 Q Well, I think in your report you cite to the  
22 two studies done in Atlanta, actually in the United  
23 States, that estimated population rates of DSM-IV  
24 autism, and it came out to roughly 60 or 70 per  
25 10,000.

RUTTER - CROSS

3370

1           Is that what you believe is the current  
2 reasonably accurate prevalence estimate of autism in  
3 at least the United States?

4           A     Well, I also pointed out that the variation  
5 in prevalence rates even in the recent studies that  
6 rely on administrative figures vary from state to  
7 state in a puzzling fashion. I concluded in my report  
8 that I therefore don't place a lot of credence on  
9 administrative figures for true rates of incidence of  
10 autism.

11           So whether the true figure is higher than  
12 that -- or I doubt that it's much lower; the study by  
13 Gillian Baird put it actually higher than that -- it  
14 certainly is somewhere between the half a percent to  
15 one percent, which is way higher than the estimates of  
16 50 years ago.

17           Q     And the good epidemiological studies done in  
18 the last 10 years --

19           A     Yes.

20           Q     -- have been able to reasonably and  
21 accurately measure the prevalence rate using those  
22 instruments you talked about?

23           A     Yes.

24           MR. WILLIAMS: Okay. I need to spend some  
25 time with him on the epidemiological studies. It's

RUTTER - CROSS

3371

1 1:00. I assume this would be a good time to think  
2 about breaking.

3 BY MR. WILLIAMS:

4 Q However, I want to ask you about Dr. Young's  
5 study that was published a couple weeks ago, and I  
6 want to make sure you have a copy now. Have you read  
7 the Young study?

8 A No.

9 MR. WILLIAMS: Let me give you a copy then  
10 that you can have over the lunch hour. This is  
11 Petitioners' Master Exhibit -- no. Is this a trial  
12 exhibit? We marked it though, didn't we? No? Yes,  
13 we did. We gave it a number --

14 SPECIAL MASTER VOWELL: 665. Petitioners'  
15 Master Reference List 0665.

16 MR. WILLIAMS: 665. I'll write that on here  
17 for you.

18 SPECIAL MASTER VOWELL: It's the Young and  
19 Geier study.

20 MR. MATANOSKI: With respect to that, Your  
21 Honor, obviously we'll see what we can do over the  
22 lunch hour, but I would like to have Professor Rutter  
23 have a chance to eat too.

24 MR. WILLIAMS: I don't mind taking a longer  
25 lunch. We've lost two other witnesses today. We have

RUTTER - CROSS

3372

1 plenty of time.

2 MR. MATANOSKI: The other characterization  
3 of this study as it being out for a couple weeks I  
4 think would not be accurate. I think it's been out  
5 for a week now.

6 Maybe Petitioners' counsel have been aware  
7 of it much longer than that, but as far as in front of  
8 the Court I think it was on Friday the first week.  
9 That was the first time we saw this study from Young,  
10 Geier and Geier, I believe.

11 SPECIAL MASTER CAMPBELL-SMITH: Right. With  
12 these representations, how long is counsel proposing  
13 for lunch? How much longer do you anticipate going?

14 MR. WILLIAMS: Well, it depends on how long  
15 it takes to go through this study. I think it will  
16 take a lot less time if he has a chance to read it  
17 first. I think I've probably got 45 more minutes.

18 SPECIAL MASTER CAMPBELL-SMITH: An hour for  
19 lunch?

20 MR. WILLIAMS: I'm happy to take an hour and  
21 a half for lunch to give him more time to read it.

22 MR. MATANOSKI: I think an hour should be  
23 sufficient.

24 SPECIAL MASTER CAMPBELL-SMITH: An hour? I  
25 have 1:00 at this point, so we will take a lunch break

RUTTER - CROSS

3373

1 and return and resume at 2:00.

2 MR. WILLIAMS: Okay.

3 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

4 (Whereupon, at 1:00 p.m., the hearing in the  
5 above-entitled matter was recessed, to reconvene at  
6 2:00 p.m. this same day, Tuesday, May 27, 2008.)

7 //

8 //

9 //

10 //

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //



1 of that in advance.

2 It was in light of those representations  
3 that Dr. Pardo is not here today.

4 MR. WILLIAMS: I just wish they could have  
5 told us that on Friday because when we left here  
6 Friday we were under the impression that we were not  
7 allowed to contact Dr. Pardo because they had retained  
8 him and that he was going to show up today and  
9 testify.

10 So we actually did a lot of work over the  
11 weekend to prepare to cross-examine Dr. Pardo, and it  
12 was only yesterday that they told us they had decided  
13 not to call him.

14 MR. MATANOSKI: I'm not sure what kind of  
15 work would be necessary if all he was going to be  
16 discussing was his article, which has been referenced  
17 numerous times by Petitioners' counsel and their  
18 experts, and his letter, which is a page and a half.

19 SPECIAL MASTER CAMPBELL-SMITH: Any further  
20 comment, Mr. Williams?

21 MR. WILLIAMS: No.

22 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
23 To continue the cross, please.

24 //

25 //

RUTTER - CROSS

3376

1           Whereupon,

2                           MICHAEL L. RUTTER

3           having been previously duly sworn, was  
4           recalled as a witness herein and was examined and  
5           testified further as follows:

6                           CROSS-EXAMINATION RESUMED

7                           BY MR. WILLIAMS:

8           Q     Dr. Rutter, before we get into the  
9           ecological studies that you cite in your report and a  
10          couple controlled epidemiological studies of a cohort  
11          nature, I wonder. Do you know why we don't have any  
12          randomized control trial data on thimerosal vaccines  
13          and outcomes?

14          A     As far as I know it's not been proposed so  
15          that --

16          Q     You didn't know that there actually was a  
17          randomized trial in Italy that was done where a few  
18          thousand kids got thimerosal-containing DPT vaccines  
19          and several thousand kids didn't; they got  
20          nonthimerosal-containing vaccines?

21                   Other than that trial, are you aware of any  
22          other randomized trial on thimerosal?

23          A     No, I'm not aware.

24          Q     In your opinion, would it be ethical today  
25          to do a randomized control trial on American children

RUTTER - CROSS

3377

1 with thimerosal-containing vaccines for half of them  
2 and thimerosal-free vaccines for the other half?

3 A Well, I think it would be ethical in the  
4 sense that there are no demonstrated risks associated  
5 with thimerosal.

6 Whether it would be sensible given the lack  
7 of evidence to spend time and money and resources to  
8 do a randomized control trial I doubt.

9 Q There was never any suggestion that  
10 thimerosal improved the immunization effectiveness of  
11 the vaccines, was there?

12 A No, no, no. It was a preservative.

13 Q Are you aware of any epidemiological study  
14 done to look at the association between thimerosal-  
15 containing vaccines and regressive autism?

16 A Not as such.

17 Q Let's talk about the Verstraeten study. You  
18 mentioned it in your direct, and you discuss it in  
19 your report.

20 I think you said one of the strengths of  
21 that Verstraeten study was the large numbers of  
22 children that were --

23 A Sure.

24 Q About 140,000 children in that study?

25 A Yes.

RUTTER - CROSS

3378

1 Q Do you know what Dr. Verstraeten himself has  
2 said about that study in the published literature?

3 A Yes. He has said that he regarded it as  
4 inconclusive.

5 Q Well, let's see if that's exactly what he  
6 said. Let me show you Petitioners' Master Reference  
7 No. 19. I'll give you a copy.

8 A Okay. Thank you.

9 Q Now, this is the letter that Dr. Verstraeten  
10 wrote to the journal in which his study had been  
11 published, correct?

12 A Yes.

13 MR. WILLIAMS: I don't know if we know the  
14 date of this letter.

15 Scott, do you know the date of this? It  
16 doesn't have a date on this page.

17 THE WITNESS: It followed shortly after the  
18 article.

19 MR. WILLIAMS: Yes.

20 THE WITNESS: I don't remember the exact  
21 date.

22 MALE VOICE: April 2004.

23 MR. WILLIAMS: April 2004 is the reference.  
24 If you would highlight the top right-hand column, the  
25 top of the right-hand column, Scott? Yes. Maybe a

RUTTER - CROSS

3379

1 little bit further down there. Blow that up.

2 BY MR. WILLIAMS:

3 Q Do you see where he says: Surprisingly,  
4 however, the study is being interpreted now as  
5 negative by many, including the antivaccine lobbyists.  
6 Now, is your characterization of this study as  
7 negative?

8 A As I said, he describes it as inconclusive,  
9 and he does so because of the wide confidence  
10 interval.

11 Q No. I'm asking what your characterization  
12 of it is. Do you think it's a negative study, or is  
13 it an inconclusive study?

14 A The studies can't be divided up quite like  
15 that. What you have to ask is is there any evidence  
16 from this study and others using a range of strategies  
17 that is in support, and the answer is no. This is not  
18 in support.

19 Q He goes on to say: A neutral study carries  
20 a very distinct message. The investigators could  
21 neither confirm nor exclude an association, and  
22 therefore more study is required.

23 Do you agree with that; that more study in  
24 this Vaccine Safety Datalink database is required?

25 A At the time that that statement was made

RUTTER - CROSS

3380

1 that might be correct, but since then we've got a  
2 number of other studies, all of which failed to show  
3 any association, so I would no longer regard that as  
4 appropriate. This was four years ago, remember.

5 Q That's right. Did you know that in 2006 the  
6 NIH convened a panel of experts on autism and  
7 epidemiology to consider whether additional studies  
8 within the Vaccine Safety Datalink could and should be  
9 done that would be informative on the question of the  
10 association between thimerosal vaccines and autism?

11 A No, I didn't know that.

12 MR. WILLIAMS: You didn't know that? Well,  
13 let me show you that briefly and ask you if you agree  
14 with their recommendations. This is Petitioners'  
15 Master Reference List 553.

16 The rest of us have seen this before,  
17 Doctor, so let me just represent to you that that is  
18 the signature on the first page of the Director of  
19 NIH, and it was in October of 2006 when this was  
20 released.

21 If you could just pull up, Scott, the  
22 highlights that we had in there on what the committee  
23 recommended be done?

24 BY MR. WILLIAMS:

25 Q You haven't seen this report before, Dr.

RUTTER - CROSS

3381

1 Rutter?

2 A No. No, I haven't.

3 Q It says that one possibility that generated  
4 support by the panel, and they're talking about  
5 possible studies that could be done, was an expansion  
6 of the VSD study published by Verstraeten.

7 By expansion I think it's fair to say they  
8 were talking about both an expansion of time forward  
9 to the point where a lot of the children had not been  
10 exposed to thimerosal, as well as an expansion  
11 geographically to additional HMOs within the system.

12 Because I think even one of the criticisms  
13 you made of the Verstraeten original study was that it  
14 only had three HMOs in it, and one of them was very  
15 small, right?

16 A Right.

17 Q So would you agree with this expert panel in  
18 October of '06 that it would be a good thing to do to  
19 expand this Verstraeten study timewise and  
20 geographically?

21 A You've got to remember I come from the U.K.,  
22 and with the availability of funds in the U.K. I would  
23 have to say there is not sufficient evidence in my  
24 view to justify spending British money doing an  
25 expanded study.

RUTTER - CROSS

3382

1 I realize the U.S. has much more money and  
2 if in its wisdom wished to expand, fine, but the  
3 situation now I think is where there are sufficient  
4 studies with different strategies coming to the same  
5 conclusion that I wouldn't want my taxpayers' money  
6 used in that way.

7 MR. WILLIAMS: You can take that down,  
8 Scott.

9 BY MR. WILLIAMS:

10 Q Let's turn to your discussion of some of  
11 these ecological studies you mentioned. Now, the  
12 Heron study you discuss on page 44 of your report.

13 A Yes.

14 Q That was one of these prospective cohort  
15 studies, correct?

16 A Yes. Correct.

17 Q Now, you said in your report, and isn't this  
18 a fair criticism of the study, that it didn't have  
19 autism as an endpoint, right?

20 A Uh-huh. Correct. Correct.

21 Q We have to have an audible answer for the  
22 record.

23 A Oh, I'm sorry. I'm sorry.

24 Q I knew what you were doing. The audience  
25 didn't.

RUTTER - CROSS

3383

1           It also was a fairly small study, right?

2           Only 14,000 children.

3           A     Yes.

4           Q     You wouldn't be reasonably able to detect a  
5           change in the autism rates among that small group of  
6           children, would you?

7           A     Well, in that it's a single cohort you  
8           couldn't look at change anyway. You could only look  
9           at associations here.

10          Q     But the confidence intervals would be  
11          enormous, wouldn't they?

12          A     Yes.

13          Q     Right. And yet you think that you can take  
14          that study and add it to the rest of them and it gives  
15          weight to them nevertheless, right?

16          A     I didn't give much weight to it, as you will  
17          realize from what I've put in the report. There are  
18          too many limitations on it for me to wish to place  
19          much weight.

20                 I note that it is a good epidemiological  
21          study. I have no criticisms on that, but the reasons  
22          you've given -- that there isn't a specific focus on  
23          autism and its sample size is on the small size,  
24          studies of this kind -- I wouldn't place much weight  
25          on it and I didn't.

RUTTER - CROSS

3384

1 Q Now, on page 44 of your report in discussing  
2 the ecological studies in general in paragraph 75, and  
3 let's just pull up paragraph 75 of this report and  
4 discuss it for a second.

5 You're talking about one of the limitations  
6 in the cohort studies is that there is little  
7 variation in the total amount of thimerosal received.

8 A Right.

9 Q Why is that a weakness in the cohort  
10 studies?

11 A Well, because the opportunity to find an  
12 effect is of course very much related to the degree of  
13 variation in what is your independent variable so that  
14 to go to an extreme you can't look at the effects of  
15 thimerosal if everybody gets the same dose at the same  
16 time.

17 By extending that argument a little bit  
18 further if the variation either in the timing or in  
19 the dose is very small the chance of detecting an  
20 effect is equally limited.

21 Q Now, if you tried to solve that problem by  
22 combining a group of children who were exposed to  
23 thimerosal in say years one, two and three and then  
24 thimerosal is removed and now in years five, six and  
25 seven you have no exposure, don't you still have a

RUTTER - CROSS

3385

1 problem because you're not measuring the rates at the  
2 same point in time? Isn't that also a weakness in the  
3 study?

4 A I'm not quite sure what study you're  
5 referring to, so I'm not -- I mean, has anybody done  
6 that?

7 Q I thought you cited several studies that had  
8 done that in your report. The Scandinavian studies  
9 that looked at a point in time when thimerosal was in  
10 the vaccines and another point in time when it was  
11 out.

12 A Yes.

13 Q My question is doesn't that though add some  
14 potential confounders that wouldn't be there if you  
15 could look at different doses at the same point in  
16 time?

17 A No. But as I tried to point out, each of  
18 the designs has got its own particular strengths and  
19 limitations.

20 The advantages of the ecological designs  
21 looking at time/trends comes especially because their  
22 one big strength is that there is a firm prediction of  
23 what should happen when thimerosal is discontinued.  
24 That's its strength.

25 Its limitation is that you can't look at it

RUTTER - CROSS

3386

1 on an individual case basis. If you look at the  
2 cohort studies you have the opposite set of strengths  
3 and limitations. There you can look at it in terms of  
4 what the individual has received and you can control  
5 for confounders much better because you have  
6 individual data, but you can't look at changes over  
7 time.

8 So this comes back to the main point I was  
9 trying to make in my report, which is that you're  
10 foolish always to rely on one single type of design.  
11 The strength comes from looking at a number of  
12 different designs, each of which has particular  
13 strengths, but equally each has particular weaknesses.

14 Now, if a varied range of designs give you a  
15 varied set of answers then you are in difficulty in  
16 knowing what to conclude. If, however, despite their  
17 variations in strategies they come up with a broadly  
18 similar answer that gives one confidence that the  
19 positive or negative conclusion as the case may be is  
20 more likely to be solid.

21 Q Let's turn to the Young-Geier study.

22 A Okay.

23 Q Which is Petitioners' Exhibit 665.

24 A Yes.

25 Q Now, this study has 278,000 children in it,

RUTTER - CROSS

3387

1 correct? Right?

2 A Something like that, yes.

3 Q Much larger than the Verstraeten study?

4 A Yes.

5 Q And much larger than any of the other  
6 ecological studies that you cited?

7 A Yes.

8 Q Isn't that a strength of the study?

9 A No. Let me talk about the study in a bit  
10 more detail. Quite frankly I think it's a poor study,  
11 and it's a poor study for several different reasons.

12 To begin with, it starts off with a cohort  
13 design so that, as I understand it, they have records  
14 on individuals that they could follow forward, but  
15 they don't actually analyze the data that way. What  
16 they do is that they analyze it in terms of  
17 time/trends.

18 In order to do that they have to make  
19 various adjustments with the first cohort and the last  
20 cohort so that you're dealing with a strange design  
21 which is putting together chalk and cheese in the hope  
22 of gazpacho soup coming out, to use a rather mixed  
23 analogy.

24 Q Well, let me ask you. Do you know whether  
25 they were allowed to look at individual --

RUTTER - CROSS

3388

1           A     Of course not. I haven't discussed it with  
2     them.

3           Q     What?

4           A     No, of course I don't know that because I  
5     haven't discussed it with them. All I've got is in  
6     the paper. So it is poor from that point of view. I  
7     think that their analytic design and strategy was not  
8     a satisfactory one.

9                     In terms of conclusions, if one turns to  
10    Table 3 the thing that is really striking is that you  
11    have a significant effect using effect now not in a  
12    causal effect, but in a statistical effect --

13          Q     Yes.

14          A     -- with a really quite heterogeneous range  
15    of disorders.

16                     So that let's take the neuroinflammation  
17    hypothesis as the one that we were talking about  
18    before the break is correct. It is dealing with the  
19    most significant effect on tics and on disturbances of  
20    emotions.

21                     So one would have to suppose that if this is  
22    seen as supportive you're getting a neural effect that  
23    is going across a range of disorders of an extremely  
24    heterogeneous kind with different ages of onset, with  
25    different genetic factors involved, with different

RUTTER - CROSS

3389

1 courses, so that the very major lack of specificity  
2 would make me immediately skeptical as to what it  
3 shows.

4 Q But they did use control disorders of  
5 pneumonia, congenital anomalies and failure to thrive,  
6 didn't they?

7 A Yes, they did, but why are they there and  
8 disturbance of emotion is not there?

9 Q Well, the data is the data.

10 A Exactly. The disturbance of emotions should  
11 have been a control disorder.

12 Q Well, even if it had been in the controls if  
13 they found an association they would have to report an  
14 association.

15 A Exactly.

16 Q And they reported what they found.

17 A Exactly.

18 Q What's wrong with recording what you find?

19 A The inferences you draw from it. I mean, I  
20 don't know what the basis of the control disorders  
21 choice was, but I would have thought that anybody who  
22 knows anything about the field at all would have put  
23 disturbances of emotions as a control disorder.

24 Q But even if they put it down there, if  
25 they've got the data it would come out the way it is.

RUTTER - CROSS

3390

1 They've got to report what they've found.

2 A Yes. Exactly. And what you have to show  
3 then is that you have an effect that is even more  
4 significant for the control disorder than you do with  
5 the neurodevelopmental disorder. Disturbance of  
6 emotions is not by anybody that I know of regarded as  
7 a neurodevelopmental disorder.

8 Q Well, whether they classify it as a  
9 neurodevelopmental disorder or not it's an ICD-9 code.

10 They look and see whether it's associated  
11 statistically with this difference in exposure, and  
12 they found that it was. What's wrong with finding  
13 that and reporting it?

14 A Because their postulate is that it is found  
15 with neurodevelopmental disorders and it is not  
16 classified by ICD-10 or DSM-IV or any psychiatrist  
17 either side of the Atlantic that I'm aware of as a  
18 neurodevelopmental disorder.

19 Q So you're not quibbling with the data that  
20 they found. You're just quibbling with how they  
21 characterized it before they started the study, right?

22 A Well, I quibble with both. I think changing  
23 it from what could have been a cohort design into a  
24 somewhat artificial time/trends design, I mean that  
25 doesn't seem to be a scientifically sensible thing to

RUTTER - CROSS

3391

1 do.

2 Q You think that they should not have looked  
3 at emotion disorders at all? They should have just  
4 left that out?

5 A That's not what I'm saying.

6 Q Well, then what are you saying?

7 A I'm saying that the control disorders which  
8 are defined as nonneurodevelopmental should include  
9 all the nonneurodevelopmental disorders.

10 Emotional disorders by the opinion of  
11 anybody that I have ever heard of either side of the  
12 Atlantic and the official classifications and the  
13 empirical research evidence is not a  
14 neurodevelopmental disorder, and therefore to include  
15 it as supportive rather than contradictory is against  
16 the strategy.

17 Q You're not disputing right now that that's  
18 what the data show. However they categorize it, if  
19 they look at that ISD-9 code and find these statistics  
20 they have to report it, don't they?

21 A That's not the point I'm making. I'll make  
22 it once more, and then I really refuse to answer any  
23 more questions on it.

24 The point is that they have created two  
25 groups, one of neurodevelopmental disorders and one of

RUTTER - CROSS

3392

1 nonneurodevelopmental disorders. What is wrong is  
2 that in the neurodevelopmental disorders they have  
3 included a condition that nobody but nobody would  
4 regard as neurodevelopmental. Therefore, the  
5 comparison between these two groups has to be invalid.

6 Q There are a lot of neurodevelopmental  
7 disorders that they don't have and they didn't look  
8 at, right? They couldn't possibly have looked at all  
9 of them, could they?

10 I mean, realistically in ICD-9 aren't there  
11 just pages and pages and pages of neurodevelopmental  
12 disorders?

13 A This is not what they've left out. It's  
14 what they've put in.

15 Q Do you agree that the fact that they have  
16 large groups of children with a 100 microgram exposure  
17 difference is a strength of the study?

18 A I don't know why they took that particular  
19 cutoff. That's not explained.

20 MR. WILLIAMS: Yes, I think it is if you  
21 look on page 5 of the paper in the right-hand column.  
22 Let's go through this just so we can understand this.

23 Just above the figure, Scott, if you would  
24 highlight the Finally paragraph?

25 THE WITNESS: That describes what has

RUTTER - CROSS

3393

1 happened over time. Yes.

2 BY MR. WILLIAMS:

3 Q Well, what they say here, Doctor, just to  
4 summarize it, is that there was a period of time in  
5 '92 and '93 in this country when there were two types  
6 of DTP vaccines being used.

7 Some of them were combined with the Hib  
8 vaccine in such a way that a lot of children only got  
9 four shots because they were combined and therefore  
10 only got 100 micrograms, 25 per shot, whereas another  
11 large group got separate shots and got eight shots and  
12 got 200 micrograms.

13 They were able to take advantage of that  
14 large difference to see if there was any association.  
15 Isn't that a strength of the study that isn't present  
16 in any of the other ecological studies that we have?

17 A No, I don't see it as a strength. I mean,  
18 the problem is that unless you've got a hypothesis  
19 which says something testable about what level of  
20 exposure the effects come it is entirely arbitrary to  
21 change it in terms of what particular mix happens so,  
22 no, I don't regard that as a strength.

23 Q You don't think it's reasonable to look to  
24 see in a database that allows it if there's a  
25 difference in association between neurodevelopmental

RUTTER - CROSS

3394

1 disorders and a 100 microgram difference in exposure?

2 A What I'm saying is that it's arbitrary in  
3 the absence of a hypothesis as to what sort of level  
4 of difference matters.

5 So that one of the real problems in trying  
6 to look at the literature as a whole here is that  
7 there is a complete lack of specificity as to whether,  
8 for example, the European studies are relevant or not  
9 relevant because the dosage of thimerosal is lower in  
10 the European vaccines than it has been in the American  
11 vaccines, so it keeps changing as it were as to what  
12 seems to suit the case being made.

13 Q All right. Have you looked at the  
14 Terbutaline papers that we've discussed in this trial  
15 for the last two weeks?

16 A I'm sorry. The what papers?

17 Q Do you know about the Connors twin study  
18 done at Johns Hopkins on twins and siblings exposed to  
19 Terbutaline in preterm labor?

20 A I don't think I do know that.

21 Q You're not familiar with that at all?

22 A I don't think so.

23 Q And you're not familiar with the follow-up  
24 animal study they did that found that in animals  
25 Terbutaline provoked neuroinflammation in the brains

RUTTER - CROSS

3395

1 of the animals?

2 A I think that's not a literature I've looked  
3 at.

4 Q I want to ask you about a study that you did  
5 cite. You cite on page 41 of your report a study from  
6 Hong Kong by Ip, et al.

7 A Yes. Yes.

8 MR. WILLIAMS: If you could pull up  
9 paragraph 71, Scott, and blow up the first six or  
10 seven lines of that paragraph 71? It's coming up.

11 BY MR. WILLIAMS:

12 Q This is you discussing Ip. You say: More  
13 importantly, the basic findings with respect to a  
14 lower level of mercury in the hair of children with  
15 autism have not been confirmed in the study from Hong  
16 Kong.

17 A cross sectional study of both hair and  
18 blood mercury levels of 82 children with an ASD and a  
19 mean age of about seven years were compared with a  
20 normal group of children, a control group of normal  
21 children. No differences were found between either  
22 the blood or hair mercury levels of the two groups,  
23 and therefore this evidence runs counter to the  
24 suggestion of a causal relationship between mercury  
25 and ASD.

RUTTER - CROSS

3396

1                   Now, are you aware that this study has been  
2 reanalyzed?

3           A     I am.

4           Q     You just didn't catch that before you wrote  
5 the report?

6           A     Correct.

7           Q     Do you agree now that based on the  
8 reanalysis which found a positive statistical  
9 association between blood levels and autism that this  
10 study now points toward a causal association rather  
11 than away from it?

12          A     No, I don't. There are two key things.  
13 Firstly -- I don't think I've got that paper with me.  
14 No, I haven't. Okay. If you would fish it out?

15                   To begin with, the reanalysis by the group  
16 shows a significance level of .056, and the critique  
17 argues that they should have said that's nearly  
18 significant.

19                   That actually of course isn't the way things  
20 work in statistics. If you're going to take a cutoff  
21 then whether it's just above the cutoff is not  
22 relevant. That is why statisticians nowadays tend to  
23 prefer confidence intervals rather than a set  
24 statistical level.

25                   But the other problem is that the critique

RUTTER - CROSS

3397

1 argues that a one-tail test should have been employed.  
2 Now, a one-tail test means that you are looking at a  
3 finding only in one direction and that if it comes in  
4 the opposite direction you ignore it.

5 But the problem here is that that isn't the  
6 case so that the literature now dealing with a range  
7 of studies here sometimes point in one direction and  
8 sometimes another, so to have done a one-tail test  
9 would have been statistically quite inappropriate.

10 So what we end up with is a study that fails  
11 to show an association, but you could argue that the  
12 significance level comes close if you like, but it  
13 doesn't point in the opposite direction.

14 Q Let me show you the Results section here,  
15 the first paragraph of the Results section, because I  
16 think there may be a misunderstanding. This is  
17 Petitioners' Master Reference List 423.

18 A Right.

19 Q This is the paper by DeSoto and Hitlan  
20 entitled Blood Levels of Mercury Are Related to  
21 Diagnosis of Autism: A Reanalysis of an Important  
22 Data Set.

23 A Yes.

24 Q By the way, this reanalysis was published in  
25 the year 2007.

RUTTER - CROSS

3398

1 A Yes.

2 Q And you wrote your report in 2008. You just  
3 failed to detect this?

4 A Well, I have read the paper. I hadn't read  
5 it at the time I wrote my report. That's quite true.

6 Q Well, let's look at what the P value is in  
7 the relationship between blood and mercury -- excuse  
8 me; mercury blood levels -- in autism in the Results  
9 section.

10 The first paragraph says: Logistic  
11 regression was performed using blood mercury level as  
12 the predictor and the autistic control group as the  
13 criterion. Results of this reanalysis indicate that  
14 blood mercury level can be used to predict autism  
15 diagnosis with a P value of .017.

16 Now, that's a statistically significant  
17 association, isn't it, Doctor?

18 A Yes, it is, but if we go on -- let me find  
19 it.

20 The original authors have now currently  
21 calculated -- this is the bottom of page 1310. The  
22 obtained difference suggests probably a real  
23 difference with a probability that this count is true  
24 of 94 percent, i.e. a P value of .06, misses the  
25 conventional mark.

RUTTER - CROSS

3399

1           Given the close value, most researchers  
2           would not call this a firm rejection of the  
3           hypothesis, but might say it was marginally  
4           significant.

5           Q     I've lost you. Where are you reading from?

6           A     The bottom of page 1310, the top of page  
7           1311.

8           Q     That's about hair, isn't it? I was asking  
9           you about blood levels.

10           There's another exchange that I didn't want  
11           to take the time to go into about the hair levels.

12           A     Right.

13           Q     Dr. Aschner wrote a paper or wrote a letter  
14           criticizing this paper for not analyzing the hair  
15           levels properly, and then DeSoto and Hitlan responded  
16           to Aschner and said no, you misunderstood us. Even  
17           the hair data supports this.

18           I didn't want to go into this. Have you  
19           read that exchange of letters?

20           A     No, I haven't.

21           Q     Okay. Then let me ask you about one other  
22           study you cited in your report.

23           On page 40, paragraph 69, you talk about  
24           these studies of autism rates in relation to coal-  
25           fired power plants that release mercury into the air,

RUTTER - CROSS

3400

1 and you criticize the Palmer paper in paragraph 69 by  
2 saying:

3 There are no data on how environmental  
4 release of mercury actually gets into the body, and  
5 hence there is no way of telling whether the mercury  
6 effects should be considered likely to be restricted  
7 to the county within which the industrial output  
8 existed.

9 Now, do you know that Palmer has published  
10 an updated study of this same effect --

11 A No.

12 Q -- where he takes into account the distance  
13 from the power plant?

14 A No, I don't know that, but I will come back  
15 to similar studies in relation to lead which I was  
16 concerned with -- where are we -- 30 years ago.

17 The point made then was that if toxins -- in  
18 this case they were talking about lead rather than  
19 mercury -- are released into the atmosphere the  
20 question as to how they get into the body is a key  
21 feature and that if they are getting into the body  
22 through being deposited on food the effect is much  
23 broader that you'd expect from where they live.

24 So that doing the analysis by area actually  
25 is not a very good way of doing it, but apart from the

RUTTER - CROSS

3401

1 fact that you're dealing here with a dispersal which  
2 has got really nothing to do with thimerosal.

3 But my main point here is that you've got to  
4 know the route into the body to know whether the  
5 effect is area specific or not, and they haven't done  
6 that.

7 Q Let me show you the updated study.

8 A Okay.

9 Q It's Petitioners' Master Reference List 560.  
10 This is what they call a preedited final edited  
11 publication.

12 That happens with some of your papers too,  
13 doesn't it, sometimes where they release the  
14 prepublication version even before you've finally  
15 edited all the copy, and then you have a chance to  
16 correct it before it actually appears in the final  
17 journal?

18 A That is unusual if it hasn't gone through  
19 review before that.

20 There is now in many journals  
21 internationally journals that are put on line after  
22 they have gone through full review and correction  
23 before they're printed on paper. Is that what you're  
24 talking about here?

25 Q Well, let me just show you the paragraph at

RUTTER - CROSS

3402

1 the bottom of this first page. This study has gone  
2 through peer review.

3 A Okay.

4 Q It's been accepted for publication. Then  
5 they say: As a service to our customers, we are  
6 providing this early version of the manuscript. The  
7 manuscript will undergo copy editing, typesetting and  
8 review of the resulting galley proof before it's  
9 published in its final citable form.

10 A Okay.

11 Q But the paper has gone through peer review  
12 and has been accepted, right?

13 A Okay.

14 MR. WILLIAMS: And just quickly if you go  
15 above the Methods section, Scott, on page 5 of this  
16 exhibit? Just pull up this part of the paragraph if  
17 you would.

18 BY MR. WILLIAMS:

19 Q Now, Dr. Palmer is discussing here the  
20 various papers that you discuss, the Windham study  
21 from California --

22 A Yes.

23 Q -- and Palmer's previous paper of 2006 that  
24 you cite.

25 A Yes.

RUTTER - CROSS

3403

1 Q And he says: The Windham study and my study  
2 demonstrated that environmental mercury pollution was  
3 associated with point prevalence estimates of autism  
4 using EPA reported mercury release data from 254  
5 counties in Texas.

6 A major limitation to this study was that  
7 the cross sectional design precluded any causal  
8 inferences. In addition, exposure was inferred from  
9 total pounds of environmentally released mercury  
10 aggregated at the county level at a specific point in  
11 time.

12 Using distance to potential exposure sources  
13 may be a more reasonable proxy for exposure than one  
14 defined by amount totals contained within the  
15 artificial county boundaries.

16 So the criticism that you made in your  
17 paragraph 69 where it says that the mercury effects  
18 should be considered likely to be restricted to the  
19 county within which the industrial output existed,  
20 Palmer's group is now trying to fix that problem by  
21 measuring proximity to the source as a new variable in  
22 the study.

23 A Okay.

24 Q Do you agree?

25 A It seems so.

RUTTER - CROSS

3404

1 MR. WILLIAMS: In fact, he says in the  
2 bottom line of that same paragraph, Scott, or the next  
3 paragraph if you can pull it up just a little bit and  
4 highlight that?

5 BY MR. WILLIAMS:

6 Q Right above Methods it says: The objective  
7 of the current study is to determine if proximity to  
8 major sources of mercury pollution are related to  
9 autism prevalence rates.

10 A Yes.

11 MR. WILLIAMS: Now let's go to the Results  
12 section, which is on page 8 of this exhibit. Excuse  
13 me. Page 7, Scott. It starts at the very bottom of  
14 page 7. I just want to blow up that paragraph there  
15 of the results for a second.

16 BY MR. WILLIAMS:

17 Q He's talking about different models that he  
18 used, but he says right here: Model 1-A shows that  
19 environmentally released mercury in 1998 is  
20 significantly associated with autism rates in 2002.  
21 Do you see that?

22 A Uh-huh.

23 Q Is that a reasonable timeframe? Assuming  
24 that the exposure is by inhalation of mercury vapor  
25 from these plants by infants in 1998, would it be

RUTTER - CROSS

3405

1 reasonable that you would be able to pick up diagnoses  
2 of autism four years later?

3 A Yes, probably.

4 MR. WILLIAMS: Then he says that they worked  
5 with this coefficient to come up with an incident/risk  
6 ratio.

7 The last sentence of this page, Scott, and  
8 then carry over.

9 BY MR. WILLIAMS:

10 Q It says: The coefficient yields an  
11 incident/risk ratio of 1.026 indicating that for every  
12 1,000 pounds -- now we're at the top of the next page.  
13 Yes, there we go. That for every 1,000 pounds of  
14 release in 1998 there is a corresponding two percent  
15 increase in 2002 autism rates.

16 Then they try to take into account the  
17 number of pounds, and then finally they add distance  
18 in Model 1-C. This is the point I want to make and  
19 then ask you about. It says: Adding distance to the  
20 equation in Model 1-C shows that for every 10 miles  
21 away from the source there is a decreased autism  
22 incident risk of 1.4 percent.

23 Now, doesn't that fix the county limitation  
24 that you were criticizing in the first version of this  
25 study?

RUTTER - CROSS

3406

1           A     Not really.  If we turn to page 10 where the  
2     limitations of the study are outlined, you see several  
3     important features.  To begin with, the conclusions  
4     about exposure are not based on the distance from  
5     individual homes, but from school district centroids  
6     of various sizes so that it's not an accurate  
7     distance.

8                     The further point I made is that you don't  
9     know about the route by which the mercury gets into  
10    the body, and that obviously depends on all sorts of  
11    things and matters.  What it says is the study should  
12    be viewed as hypothesis generating, not as proving  
13    anything one way or the other.

14           Q     Isn't virtually every study hypothesis  
15    generated?

16           A     Not at all.

17           Q     Some studies just end the question with no  
18    further study needed?

19           A     No.  That's not the point.  That's not  
20    what's meant by hypothesis generating.  There are  
21    studies which as it were raise a possibility.

22                     Let me come back to the Fenfluramine and  
23    Secretin examples I used earlier so we stick within  
24    the area of autism.  So Fenfluramine was based on a  
25    hypothesis generating study which suggested that

RUTTER - CROSS

3407

1 Fenfluoramine, because it was known to lower serotonin  
2 levels, was a reasonable candidate for doing further  
3 studies.

4 That was then followed by hypothesis testing  
5 studies that were different in the sense that they  
6 first of all looked to see whether Fenfluoramine had  
7 effects on autism symptoms, so this was done in a way  
8 in which these were quantified.

9 And, secondly, it was done by relating  
10 whether insofar as there were benefits, and there were  
11 very few. Insofar as there were benefits, was it  
12 associated with a degree to which serotonin levels had  
13 formed, and the answer is they were not.

14 So this was a hypothesis testing study which  
15 used an earlier hypothesis generating study in order  
16 to do it in a way which could either confirm the  
17 hypothesis or it could refute the hypothesis in the  
18 event it refuted the hypothesis, but it could have  
19 worked either way.

20 So it's a quite different form of study.  
21 Hypothesis generating is what comes first. Hypothesis  
22 testing is what comes next.

23 Q So if someone is trying to decide whether  
24 it's biologically plausible that mercury exposure can  
25 lead to autism in some children would you have them

RUTTER - CROSS

3408

1 just ignore this Palmer study, or would you have them  
2 put some weight on it?

3 A I wouldn't put much weight on it. I think  
4 that when you're planning a new study you look very  
5 broadly and you pay attention to all sorts of things.

6 No, I wouldn't put much weight on it, but  
7 would I put some? Well, yes. It's an interesting  
8 finding insofar as it goes, but doesn't take one very  
9 far, I think.

10 Q Do you recall there was a point in your  
11 report where you said that on the question of whether  
12 thimerosal-containing vaccines are associated with  
13 regressive autism that that question is susceptible to  
14 being studied in a rigorous way?

15 A Yes.

16 Q What did you mean? How could you study that  
17 in a rigorous way?

18 A Can you direct me to --

19 Q Well, I thought I could. I frankly can't  
20 now find -- let me see if I can find the quote.

21 A Here we are. Paragraph 92.

22 Q Okay.

23 A So what I say -- let me read it out because  
24 it's quite short. I say: It would have been possible  
25 to test the regression hypothesis in a vigorous way.

RUTTER - CROSS

3409

1 Actually that should mean rigorous. A typo that has  
2 escaped my attention.

3 Q I read it as rigorous too.

4 A For example, cases involving regression and  
5 those apparently without regression could be compared  
6 blind to the knowledge on regression on the presence  
7 of multiple congenital physical anomalies because they  
8 will have had to have arisen prenatally.

9 The advantage of such an approach is that it  
10 would not be reliant on anyone's recognition of the  
11 behavioral changes in the first year of life. The  
12 same thing could be done in relation to head size.

13 So those are two strategies. They're not  
14 the only ones, but the point is that having had an  
15 exploratory approach put forward that suggested  
16 something what you need to do is to think what design  
17 can I use that could either prove or refute that  
18 hypothesis, and that's what singularly has not been  
19 done, but it could have been done.

20 Q And are you critical of the families that  
21 have brought these claims for not having done such  
22 studies?

23 A I'm never blaming the families because when  
24 orthodox medicine doesn't have answers that will bring  
25 cures for their children they look around for possible

RUTTER - CROSS

3410

1 explanations. They look around for people who have  
2 things to offer. No, I don't blame the parents at  
3 all. It's the scientists.

4 Q Just one last quick topic, Dr. Rutter. You  
5 started working with the vaccine manufacturers on the  
6 thimerosal litigation you said about four years ago?

7 A Yes.

8 Q And then you say in your report that you  
9 started working on the MMR litigation a year before  
10 that?

11 A Yes.

12 Q Is that about right?

13 A Yes.

14 Q So probably sometime in 2003?

15 A Probably. It must be something like that,  
16 yes. It may have been earlier.

17 Q Now, in 2005 you published a paper in the  
18 *Journal of Child Psychology and Psychiatry* on the  
19 effect of MMR withdrawal in a population in Japan.

20 A Yes.

21 Q Do you remember that paper?

22 A Yes.

23 Q Now, I don't know what you did, but there is  
24 no disclosure on the paper that you had already been  
25 retained by vaccine manufacturers to work on the MMR

RUTTER - CROSS

3411

1 litigation, even though the subject of the paper is  
2 MMR. Did you make a disclosure about that?

3 A Presumably I didn't as it isn't in the  
4 paper, but of course I hadn't completed a report at  
5 all, and the data were all collected and analyzed by  
6 Honda, not by myself.

7 Q Didn't you just testify recently in a  
8 hearing in the U.K. against Andy Wakefield where the  
9 issue is whether he had made a proper disclosure of  
10 his conflict of interest?

11 A I did indeed, but that is in somewhat  
12 different circumstances in that he was presenting  
13 results of his analysis on his cases and claiming a  
14 particular causal effect.

15 MR. WILLIAMS: Let's introduce the paper  
16 into evidence. It will be Trial Exhibit No. 10.

17 (The document referred to was  
18 marked for identification as  
19 Petitioners's Trial Exhibit  
20 No. 10.)

21 THE WITNESS: It's a much more indirect  
22 connection, but if you're suggesting that it would  
23 have been reasonable that I had made that explicit I  
24 wouldn't have any objection to that.

25 I mean, it didn't occur to me at the time

RUTTER - CROSS

3412

1 and there are reasons why I think it wasn't directly  
2 relevant, but there was certainly no attempt to  
3 conceal it.

4 BY MR. WILLIAMS:

5 Q You think you're not as tempted by conflicts  
6 of interest as other scientists?

7 A Some are. Some aren't.

8 MR. WILLIAMS: Thank you.

9 SPECIAL MASTER CAMPBELL-SMITH: Any  
10 redirect?

11 MS. RICCIARDELLA: Yes.

12 SPECIAL MASTER CAMPBELL-SMITH: Let me  
13 clarify. Did Petitioner intend to introduce that last  
14 document as an exhibit?

15 MR. WILLIAMS: Yes.

16 SPECIAL MASTER CAMPBELL-SMITH: Okay.

17 MR. WILLIAMS: As Exhibit No. 10.

18 SPECIAL MASTER CAMPBELL-SMITH: No. 10.

19 MR. WILLIAMS: Yes.

20 SPECIAL MASTER CAMPBELL-SMITH: Okay.

21 MS. RICCIARDELLA: Can we take a 10 minute  
22 break, ma'am?

23 MR. MATANOSKI: The only reason for our  
24 asking for that is there are two papers that Professor  
25 Rutter was asked to look at, and we just want to have

RUTTER - REDIRECT

3413

1 him have a chance to look through them -- he hasn't  
2 seen them before -- in case he has any comments that  
3 might be enlightening for the Court.

4 SPECIAL MASTER CAMPBELL-SMITH: Let me take  
5 a look and see what time we're actually at just for  
6 the record.

7 We are just about at 3:00, so let's go until  
8 3:10.

9 MR. MATANOSKI: Thank you.

10 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
11 we'll take a brief recess.

12 (Whereupon, a short recess was taken.)

13 SPECIAL MASTER CAMPBELL-SMITH: Please be  
14 seated. We are back on the record for the redirect of  
15 Sir Michael Rutter.

16 MS. RICCIARDELLA: Thank you.

17 REDIRECT EXAMINATION

18 BY MS. RICCIARDELLA:

19 Q Professor Rutter, at the beginning of your  
20 cross-examination Mr. Williams put up a Power Point  
21 slide that had listed six or seven points that  
22 Petitioners' experts have made in this litigation, and  
23 I believe he had it under the title Biologic  
24 Coherence. I can't recall the exact language.

25 Did any one of those points, the six or

RUTTER - REDIRECT

3414

1 seven points that were listed, change your opinion in  
2 this case?

3 A No.

4 Q And one point stated that there is a wide  
5 variability in individual blood and brain metals of  
6 mercury. Is this indicative of anything?

7 A No. As I mentioned at the time I think,  
8 huge individual variability is a feature of almost  
9 anything that one looks at with human beings.

10 So that, for example, the range of when  
11 children's teeth come through is very variable. The  
12 age at which people reach puberty is very variable,  
13 but that doesn't mean that there is some interaction  
14 with an environmental factor. Variation is part of  
15 the biology.

16 Q Now, Mr. Williams was also asking you about  
17 inorganic mercury persisting in the brain. Is  
18 inorganic mercury specific to vaccinations?

19 A Not at all. It applies to a wide range of  
20 things like dental amalgam, for example, so that once  
21 one moves to aspects of mercury that are not specific  
22 to thimerosal then one is moving into a range of  
23 studies that are concerned with mercury as a possible  
24 risk factor, but not necessarily thimerosal.

25 Q Now, you were asked a lot of questions about

RUTTER - REDIRECT

3415

1 studies that have been done pertaining to  
2 neuroinflammation and its purported role or  
3 association with autism.

4 What causal inferences can be drawn from any  
5 of these studies that were discussed here today  
6 pertaining to neuroinflammation?

7 A Well, none. They are hypothesis generating,  
8 if you like, so they are putting forward speculative  
9 suggestions.

10 As I indicated, a beginning of much science  
11 comes from telling an imaginative story as to what  
12 might be the case so they do that, but they don't  
13 demonstrate causation at any sort of level at the  
14 moment.

15 Q You were also asked some questions  
16 pertaining to head circumference and autism. What are  
17 the head circumference findings that are unique to  
18 autism?

19 A It is the normal head circumference at birth  
20 and the increase that takes place during the preschool  
21 years. It is a very characteristic feature.

22 As I indicated, it does vary from child to  
23 child, but it is something which is quite unusual in  
24 relation to other neurodevelopmental disorders.

25 Q Now, you were also shown a study by Mr.

RUTTER - REDIRECT

3416

1 Williams. It's a future or current study. They're  
2 currently recruiting participants that are looking at  
3 minocycline to treat childhood regressive autism. Do  
4 you recall that line of questioning?

5 A Yes.

6 Q Does this study establish causation at all?

7 A No. I mean, the study hasn't been done for  
8 starters, but it falls into the group of things I  
9 think I drew a parallel with Fenfluramine and  
10 secretin that might result in something of interest,  
11 but hasn't been done.

12 It is in any case an open study so that even  
13 at completion it will still be rather inconclusive,  
14 so, no, it doesn't take us one stage further at all.

15 Q You were also asked whether it's possible  
16 that individuals could be susceptible to mercury, and  
17 I think you said it was possible, but not established.  
18 Is that correct?

19 A That is correct.

20 Q Based on what's known about exposure to  
21 mercury, have we seen any evidence of a  
22 hypersusceptibility to mercury?

23 A No. I mean, the experimental studies that  
24 have been done have tended to show results that apply  
25 to a group as a whole rather than, if you like,

RUTTER - REDIRECT

3417

1 outliers with a very unusual response.

2 The studies have not been sufficient in  
3 number or the subjects sufficient in number to rule  
4 out the possibility of a hypersusceptible group, but  
5 they certainly don't point to that being an issue.

6 Q You were also asked a question with regard  
7 to whether in your opinion you think that further  
8 resources should be used to conduct a follow-up study  
9 of the Verstraeten study, and you said no.

10 Is it just an economic consideration, or are  
11 there other considerations at work as to why you would  
12 not recommend any further such studies?

13 A No, it's not just the economics. It's a  
14 question of one wanting to put one's resources into  
15 things that are likely to pay off, so let me answer it  
16 a somewhat different way around.

17 We've talked primarily for obvious reasons  
18 about the hypothesis that thimerosal is a causative  
19 factor, but in the course of doing that we've touched  
20 on various studies that have looked at mercury as  
21 distinct from thimerosal.

22 The evidence that is worthwhile doing  
23 further research on thimerosal I find unconvincing. I  
24 wouldn't put much money in that direction. I'm much  
25 more neutral or positive, however you like to look at

RUTTER - REDIRECT

3418

1 it, about the effects of mercury coming in other ways.

2 So we know that mercury in high dosage is a  
3 neurotoxin. For example, I mentioned the Norwegian  
4 study. I'm not one of the principal investigators,  
5 but I am on the advisory group for that study, and it  
6 is looking at a range of variables during the prenatal  
7 and early postnatal phase that might be relevant, and  
8 obviously mercury in fish is one of the things that is  
9 being looked at, so I definitely don't rule out the  
10 possibility that mercury might play a role.

11 The evidence is weak. On the other hand,  
12 it's not so weak that it isn't worthwhile taking it  
13 further forward. It's not the only hypothesis being  
14 examined. Indeed, there are quite a range of them.  
15 There are a range of biological measures being taken  
16 to try and get a tight hold on this.

17 But we do need to be concerned with possible  
18 environmental causes of disease and so I would put  
19 that on the list of possibility.

20 Q You were also asked a couple questions or  
21 more than a couple questions about the individual  
22 epidemiological studies that you cited in your report  
23 and discussed during your direct testimony.

24 Now, you were asked about the individual  
25 studies, but is that a proper way to look at the

RUTTER - REDIRECT

3419

1 epidemiology that has arisen in this area?

2 A No. One needs to put them together. I was  
3 explaining referring I think to my Academy of Medical  
4 Sciences report that in science you need to not only  
5 combine multiple studies, but you need to combine  
6 multiple research strategies and that the strength of  
7 findings is very much influenced by doing that.

8 It's very rare to find a study that on its  
9 own changes things completely either for or against.  
10 It has to be taken as a whole.

11 Q You were asked a couple questions about the  
12 DeSoto paper, which is Petitioners' Master List 423.  
13 Do you have any further comments about that paper?

14 A Yes. I was taken to task in referring to  
15 differences where I was told we're dealing with hair  
16 mercury and I should have been focusing on blood, but  
17 as far as I can see, reading the paper carefully, what  
18 I was talking about is what I said I was talking  
19 about, i.e. findings on blood levels.

20 Q Okay. You were also asked a series of  
21 questions about the Palmer study.

22 A Yes.

23 Q A recent study. Does that study speak at  
24 all to the issue of whether or not thimerosal in  
25 vaccines causes autism?

RUTTER - REDIRECT

3420

1           A     No, because again I draw the parallel with  
2     the Norwegian study. It is dealing with a more  
3     general issue as to whether mercury in its various  
4     forms through various routes may be causing risks.

5           At the present time we don't really know  
6     enough to know whether they do or they don't. I do  
7     see that as worthwhile, but because it is looking at  
8     pollutants from factories the connection with  
9     thimerosal is indirect to put it mildly.

10           A further issue in relation to the question  
11     of the tightness of the association is that I note now  
12     looking at the paper more carefully that they make the  
13     point about you really need to take account of wind  
14     patterns and rainfall and so on, and they weren't able  
15     to do that at that time.

16           So it's an interesting hypothesis generating  
17     study, but in itself it doesn't take us very far on  
18     mercury generally, and it doesn't really take us  
19     anywhere in relation to thimerosal.

20           Q     Finally, Doctor, Mr. Williams asked you  
21     about your participation in the Honda paper --

22           A     Yes.

23           Q     -- which I refer to as the Honda study.

24           A     Yes.

25           Q     The study in Japan looking at MMR. He drew

RUTTER - REDIRECT

3421

1 the analogy to your testimony in the United Kingdom  
2 that you've given in the General Medical Council with  
3 regard to Dr. Andrew Wakefield.

4 Do you have any comments on the analogy that  
5 Mr. Williams was drawing?

6 A Yes. The situation is really a very  
7 different one. With the benefit of hindsight I can  
8 quite see that it might have been prudent to have made  
9 that overt, although it is well known that I had  
10 played that role.

11 The difference is as follows: The British  
12 law is that the responsibility of an expert witness is  
13 to the Court. It is not to whoever has called you.  
14 That is a difference, I realize, from the American  
15 system.

16 So that it is not a conflict in that sort of  
17 sense, and indeed to get back to the lead situation  
18 that wasn't a Court case, but actually I came out  
19 saying there was sufficient evidence that lead was  
20 damaging, that it should be withdrawn.

21 So with Wakefield the situation was that he  
22 was funded to do the study. He was funded in relation  
23 to litigants. Many of the cases involved in his study  
24 were involved in the litigation, so there was a very  
25 direct involvement which he concealed.

RUTTER - RE-CROSS

3422

1 My involvement with the Honda study, first  
2 of all I wasn't funded to do it. It was not my  
3 analyses, and I was an expert witness who was never  
4 called. So certainly I had no intention of concealing  
5 it.

6 Perhaps I should have made it overt, but it  
7 is fundamentally different from the Wakefield  
8 situation where there were direct financial issues  
9 involved and direct involvement of litigation, direct  
10 involvement of the cases in the litigation with the  
11 study.

12 MS. RICCIARDELLA: Thank you. I have no  
13 further questions.

14 SPECIAL MASTER CAMPBELL-SMITH: Re-cross?

15 MR. WILLIAMS: Just one.

16 RE-CROSS-EXAMINATION

17 BY MR. WILLIAMS:

18 Q In your work on the MMR vaccine starting in  
19 2003, it was the British Government that was paying  
20 you?

21 A No.

22 Q Who was paying you?

23 A The drug company was paying me. So that the  
24 way that it works is that obviously somebody has to be  
25 paying. There are situations where the Court pays

RUTTER - RE-CROSS

3423

1 directly, and I have campaigned for some years,  
2 unsuccessfully regrettably, that all expert witnesses  
3 should be called by the Court and not by one or the  
4 other side.

5 At the moment they have to be called by and  
6 therefore paid by, but you have to abide by the rules  
7 that you actually are not responsible to the lawyers  
8 who call you. You are responsible to the Court.

9 Q But your bills were submitted to Glaxo?

10 A Yes.

11 MR. WILLIAMS: Thank you.

12 SPECIAL MASTER CAMPBELL-SMITH: Anything  
13 further?

14 MS. RICCIARDELLA: No.

15 SPECIAL MASTER CAMPBELL-SMITH: Do my  
16 colleagues have any questions?

17 SPECIAL MASTER VOWELL: No.

18 SPECIAL MASTER HASTINGS: Yes, I do have a  
19 couple.

20 Doctor, I wondered if you had any more  
21 comments to make on the Young, Geier & Geier study  
22 that you were given before the break. Did you have a  
23 chance during the lunch break to read the full  
24 article?

25 THE WITNESS: Yes, I did. Not a lot to add.

RUTTER - RE-CROSS

3424

1 As I say, I think it's a poor study.

2 It used a database that could have been used  
3 for a conventional cohort study, but it was analyzed  
4 on a time/trends basis, and they put cases in  
5 inappropriate groups.

6 SPECIAL MASTER HASTINGS: All right.

7 THE WITNESS: So there are a lot of other  
8 things that could be said, and doubtless Dr. Fombonne  
9 will go into some of those details, but on those  
10 grounds alone I do not see that as a study worth very  
11 much.

12 SPECIAL MASTER HASTINGS: All right. And  
13 just one other perhaps it's a short series of  
14 questions, but you were asked by Mr. Williams about  
15 the Petitioners' theory of neuroinflammation being  
16 caused by inorganic mercury as a potential cause of  
17 autism, and you indicated your view that that was  
18 basically a speculative theory.

19 Now, as I look at that theory there are  
20 really two parts of it. First, that inorganic mercury  
21 can cause neuroinflammation, and, second, that  
22 neuroinflammation can cause autism.

23 Do you see either of those two parts as more  
24 potentially meritorious than the other, or are they  
25 both equally speculative in your mind?

RUTTER - RE-CROSS

3425

1 THE WITNESS: Well, good question. Let me  
2 think for just a moment how I can most helpfully  
3 respond on that.

4 Information is a very nonspecific sort of  
5 process, so it's a bit like a fever. So the number of  
6 medical conditions that cause fever are enormous,  
7 anything from an infection to cancer, and so there it  
8 is indicating a nonspecific response to something  
9 going wrong.

10 So the question in terms of inflammation  
11 here is is it more than that? So the notion that  
12 inorganic mercury might cause neuroinflammation I  
13 don't find a particularly startling theory because  
14 it's at the very general level.

15 In terms of application to thimerosal, one  
16 has to move beyond looking at a general bodily defense  
17 mechanism, which is what inflammation is about, so  
18 that again if one takes fever and infections as an  
19 example you need the inflammation as it were to gear  
20 up the body defenses to deal with the infection.

21 So it's a good aspect, if you like, because  
22 it's part of the body defense processes, but once one  
23 moves to the situation as to whether thimerosal is  
24 causing this you've got a series of different  
25 propositions that have to be added in.

RUTTER - RE-CROSS

3426

1           To begin with, is thimerosal having an  
2           equivalent to the inorganic mercury that is being  
3           shown in some of these more basic science studies?  
4           The answer is yes, it might be, but the minute you do  
5           that you of course have to recognize that mercury  
6           comes from many different sources, and therefore there  
7           would be the additional requirement of showing that in  
8           this case it did come from the thimerosal, not from  
9           the factory up the road or the amalgam in the teeth or  
10          so on.

11           Then you've got the further problem that if  
12          you are dealing with something which is occurring  
13          throughout the brain you've then got to explain why it  
14          leads to the particular kind of pattern that you find  
15          with autism.

16           And by that I mean not just the symptoms --  
17          that's one important part -- but also the increase of  
18          head size during the preschool years, the particular  
19          kind of social cognitive abnormalities that are  
20          encapsulated by theory of mind and so on. There are a  
21          whole range of things.

22           So the notion that neuroinflammation or  
23          oxidative stress plays a role, you are picking a  
24          mechanism that we know is very widespread and so the  
25          challenge really is it's not that the idea itself is

RUTTER - RE-CROSS

3427

1 ridiculous, but does it apply in these circumstances  
2 to this outcome. That's where the speculation comes  
3 in.

4 SPECIAL MASTER HASTINGS: All right. Thank  
5 you. Nothing further from me.

6 SPECIAL MASTER CAMPBELL-SMITH: Have these  
7 questions provoked any questions from counsel?

8 MR. WILLIAMS: Not from Petitioners.

9 MS. RICCIARDELLA: No, ma'am.

10 SPECIAL MASTER CAMPBELL-SMITH: I think that  
11 concludes our testimony for the day. Thank you.  
12 You're excused from the witness stand.

13 THE WITNESS: Thank you.

14 (Witness excused.)

15 SPECIAL MASTER CAMPBELL-SMITH: And as  
16 currently advised, we are to resume hearing from  
17 Respondent's witnesses tomorrow at 9 a.m.

18 Are there any further matters from counsel  
19 that you believe we need to address this afternoon  
20 before we go off the record?

21 MR. POWERS: Not from the Petitioners.

22 MR. MATANOSKI: No, ma'am.

23 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
24 We are adjourned until tomorrow.

25 (Whereupon, at 3:35 p.m., the hearing in the

1 above-entitled matter was adjourned, to reconvene at  
2 9:00 a.m. on Wednesday, May 28, 2008.)  
3 //  
4 //  
5 //  
6 //  
7 //  
8 //  
9 //  
10 //  
11 //  
12 //  
13 //  
14 //  
15 //  
16 //  
17 //  
18 //  
19 //  
20 //  
21 //  
22 //  
23 //  
24 //  
25 //

3429/3530

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584-V, 03-215V  
CASE TITLE: In Re: Claims for Autism  
HEARING DATE: May 27, 2008  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 27, 2008

---

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018