

UNITED STATES
COURT OF FEDERAL CLAIMS

TIMOTHY AND MARIA DWYER,)	
PARENTS OF COLIN DWYER,)	
A MINOR,)	
)	
Petitioners,)	
)	
v.)	Docket No.: 03-1202V
)	
SECRETARY OF HEALTH AND)	
HUMAN SERVICES,)	
)	
Respondent.)	

REVISED AND CORRECTED COPY

Pages: 89 through 337
Place: Washington, D.C.
Date: July 22, 2008

HERITAGE REPORTING CORPORATION

Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
contracts@hrccourtreporters.com

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

TIMOTHY AND MARIA DWYER,)
PARENTS OF COLIN DWYER,)
A MINOR,)
)
Petitioners,)

v.)

Docket No.: 03-1202V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
)
Respondent.)

Courtroom 6, Room 507
National Courts Building
717 Madison Place NW
Washington, D.C.

Tuesday,
July 22, 2008

The parties met, pursuant to notice of the
Court, at 8:00 a.m.

BEFORE: HONORABLE DENISE VOWELL
Special Master

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire
MICHAEL L. WILLIAMS, Esquire
Williams Love O'Leary & Powers, P.C.
9755 S.W. Barnes Road, Suite 450
Portland, Oregon 97225-6681
(503) 295-2924

APPEARANCES: (Cont'd.)

Also for the Petitioners:

JAMES C. FERRELL, Esquire
R.G. Taylor II, P.C.
One Allen Center
3400 Penthouse
500 Dallas Street
Houston, Texas 77002
(713) 654-7799

For the Defendant:

LYNN RICCIARDELLA, Esquire
VORIS E. JOHNSON, JR., Esquire
VINCE MATANOSKI, Esquire
U.S. Department of Justice
Civil Division
Torts Branch
P.O. Box 146
Ben Franklin Station
Washington, D.C. 20044
(202) 616-4356

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Petitioners:</u>					
Elizabeth Mumper	96	155	192	201	--
<u>For the Respondent:</u>					
Bennett Leventhal	205	243	284	287	--

E X H I B I T S

PETITIONERS'

<u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
20	251	--	Article, An Open Label Trial of Esataloprine In Pervasive Developmental Disorders
21	281	--	Mapping Autism Risk Loci Using Genetic Linkage and Chromosomal Rearrangements

E X H I B I T S

RESPONDENT'S <u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
13	158	--	Immunosciences Lab data re testing for autism
14	165	--	7-17-07 letter from Vojdani re Immunosciences Lab
15	167	--	6-16-06 letter from Vojdani re Immunosciences Lab
16	169	--	1-6-06 notice of sanction action against Immunosciences Lab
17	170	--	CLIA Annual Laboratory Registry, 2005
18	171	--	6-7-05 letter from California Department of Health Services re conditions not met
19	175	--	Immunosciences Lab settlement agreement

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(8:00 a.m.)

THE COURT: We're back on the record in the matter of Dwyer v. Secretary of HHS.

Mr. Powers, you have the floor this morning.

MR. POWERS: Yes. Good morning, Special Master. The Petitioner at this point would like to call to the stand Dr. Elizabeth Mumper, M.D.

Special Master, being on the record and as Dr. Mumper approaches the stand, just one perhaps a little bit more than a housekeeping matter, but I conferred with counsel for Respondent, and understanding the time and the scheduling pressure that we are under today the parties have agreed explicitly that from Dr. Mumper's testimony in the King and the Mead cases there was approximately 50 to 55 minutes of testimony that was non case-specific, background testimony.

I have identified the pages in the transcript that would capture that testimony. The agreement is, and again I think it has been implied that the transcripts would be filed in the Dwyer case, but I wanted to designate those pages specifically so that we can cover some of that material in five minutes here rather than doing it again for 55 minutes.

1 THE COURT: Exactly. And since I was
2 present during that testimony in the Mead and King
3 cases, I've heard it before.

4 MR. POWERS: Yes. And if there is a beauty
5 to doing the omnibus proceeding and having all three
6 of you sit in each of the test cases, this is an
7 example of how that should work.

8 And so just for the record then if I could,
9 Special Master?

10 THE COURT: Please.

11 MR. POWERS: The pages we would be looking
12 at would be from Day 4 of those proceedings. It would
13 be Day 4, which was May 15, and the transcript pages
14 are 1187 through 1228.

15 We would just again notify the Court and the
16 parties that we'll be designating those pages and
17 filing them as an exhibit here and incorporate that
18 testimony by reference in Dr. Mumper's comments today.

19 THE COURT: And it is possible that those
20 page numbers themselves may change as you all go
21 through the process of making corrections to those
22 transcripts, but based on the transcripts that are
23 currently filed we'll use those page numbers.

24 MR. POWERS: Thank you, Special Master.

25 Good morning, Dr. Mumper.

MUMPER - DIRECT

96

1 DR. MUMPER: Good morning.

2 THE COURT: Let's go ahead and swear her in
3 this case, even though she was sworn in the omnibus
4 proceeding.

5 Dr. Mumper, you've got your right hand up.

6 Whereupon,

7 ELIZABETH MUMPER

8 having been duly sworn, was called as a
9 witness and was examined and testified as follows:

10 DIRECT EXAMINATION

11 BY MR. POWERS:

12 Q Now I'll say good morning, Dr. Mumper.

13 A Good morning.

14 Q And for the sake of the record and the court
15 reporter, could you spell and say your full name for
16 the record?

17 A Elizabeth Mumper, E-L-I-Z-A-B-E-T-H,
18 M-U-M-P-E-R.

19 Q Are you a doctor?

20 A Yes. I'm a pediatrician.

21 Q How long have you been a pediatrician?

22 A Since 1983.

23 Q Now, you heard the discussion just a moment
24 ago about the testimony that you gave in the King and
25 Mead matters. Do you recall that testimony in May of

MUMPER - DIRECT

97

1 this year?

2 A Yes.

3 Q Do you recall at the beginning of that
4 testimony spending a fair amount of time talking about
5 your background and skills and training?

6 A Yes, I do.

7 Q To summarize, I just wanted to have you
8 describe in this case your professional experience and
9 take us all the way from medical school to today, your
10 professional experience.

11 A Medical school at Medical College of
12 Virginia in Richmond, internship at the University of
13 Massachusetts, residency at the University of
14 Virginia. I was asked to be chief resident, which
15 involved an extra year, in pediatrics.

16 I spent five years in private practice, 11
17 years teaching in a residency program, and since 2000
18 I have had a private practice that is partially
19 general pediatrics and partially dealing with children
20 who have developmental and behavioral problems.

21 I'm also the medical director of the Autism
22 Research Institute and the clinician in charge of the
23 physicians' training, the clinicians' seminars for
24 Defeat Autism Now.

25 Q What's the name of your private practice?

Heritage Reporting Corporation
(202) 628-4888

MUMPER - DIRECT

98

1 A The Rimland Center.

2 Q So your three professional positions right
3 now would be director of the Rimland Center, correct?

4 A Yes.

5 Q And director of the Autism Research
6 Institute?

7 A Yes.

8 Q And then the third would be the medical
9 director for Defeat Autism Now?

10 A Right. And that very much goes with the
11 Autism Research Institute responsibilities.

12 Q So the responsibilities in those two
13 positions do overlap?

14 A Yes. That's correct.

15 Q Did you prepare an expert report in this
16 case, Colin Dwyer's case that you're here to testify
17 about?

18 A Yes, I did.

19 Q When you prepared that expert report, what
20 materials did you have available to you that you
21 relied on to prepare that report?

22 A At that time I had a number of medical
23 records, not the actual complete medical records, and
24 at that time I also had information from various labs
25 and physicians.

MUMPER - DIRECT

99

1 I had a rough draft of the mother's
2 affidavit and other materials as forwarded by your law
3 firm.

4 Q Did you also have available to you the
5 materials that you relied on to prepare a report and
6 offer testimony in the King and Mead cases?

7 A In that I had done them I did not physically
8 have them present with me at the time I prepared the
9 report.

10 Q Would it be fair to say that you relied on
11 those materials in preparing your report?

12 A Yes.

13 Q Since preparing your report and appearing
14 here today, is there any additional information that
15 you have available that you used that you're going to
16 rely on in your testimony?

17 A Several things. One is that I received more
18 medical records after the filing of my report.
19 Specifically of interest were some even-number pages
20 from Dr. Bock that I did not have the first time due
21 to a scanning error.

22 I also had the opportunity last night to
23 listen to the parents' testimony on audio and to meet
24 Mrs. Dwyer.

25 Q When you say you listened to the parents'

MUMPER - DIRECT

100

1 testimony on audio, you're speaking about Maria and
2 Timothy Dwyer's testimony?

3 A That's correct.

4 Q So you were not here in person to hear their
5 testimony, but you heard the audio download?

6 A That's correct.

7 Q Also since you testified in May, are there
8 any additions to your curriculum vitae that would be
9 relevant to your skills and qualifications to testify?

10 A Other than being invited to attend an autism
11 think tank in mid June and several lectures in Italy,
12 no.

13 Q And when you say several lectures in Italy,
14 are those lectures that you've already done?

15 A Yes.

16 Q What was the subject of those lectures in
17 Italy?

18 A Essentially I was talking about the use of
19 assessment of medical problems in children with autism
20 to guide therapeutic decision making.

21 Q Were you invited to give those to medical
22 professionals?

23 A Yes. I was invited by a medical
24 professional. The audience was medical professionals
25 and also parents.

MUMPER - DIRECT

101

1 Q Finally then, since your testimony in King
2 and Mead you mentioned that you were invited to a
3 think tank. What think tank is that, and what's the
4 subject matter that's going to be discussed at the
5 think tank?

6 A Well, actually we already were at the think
7 tank. They invited 30 leading clinicians in the
8 autism field and researchers in autism from around the
9 world to participate at a think tank at Ratinaling in
10 California.

11 The subject of it was to get an update on
12 the advances in the research and try to coordinate
13 that with the clinicians' work in order to move the
14 autism treatment and research agendas forward because
15 we face such an overwhelming number of these children.

16 Q So what you're describing and certainly what
17 you testified to in the King and Mead cases, would it
18 be fair to say that a significant portion of your
19 practice is devoted specifically to neurodevelopmental
20 disorders, including autism?

21 A That's true.

22 Q Approximately how many children do you see
23 in a given year in your pediatric practice? Just
24 approximately.

25 A Oh, gosh. About 1,750, 1,700.

MUMPER - DIRECT

102

1 Q And do you have a rough sense of what
2 percentage of those children that you see in your
3 practice are children with autism spectrum disorders?

4 A The percentage in numbers is lower than the
5 amount of time I spend with them because they're more
6 time consuming. About half of my time is spent taking
7 care of children with autism spectrum disorders.

8 Q So it would be fair to say that's a
9 significant focus of your professional practice and
10 your professional training and professional
11 background?

12 A That's true.

13 Q Now let's shift gears a little bit and start
14 speaking specifically about Colin Dwyer's case.

15 A Okay.

16 Q You did mention that you saw his medical
17 records in the course of preparing your report. Is
18 that correct?

19 A That's correct.

20 Q Could you describe in general the impression
21 you had of Colin Dwyer's overall physical health from
22 birth through the age of one year based on your review
23 of his medical records?

24 A It seemed that he was a healthy baby who was
25 the product of an uncomplicated pregnancy. He did not

MUMPER - DIRECT

103

1 have a difficult birth. He was healthy initially and
2 had an uncomplicated newborn stay.

3 From reviewing his well-baby checkups, it
4 seemed that he was actually quite healthy with only a
5 few things that are typical in children in the first
6 year of life like a little bit of a pink eye or eye
7 infection, but nothing that would lead me to
8 characterize him as a chronically ill child.

9 He seemed to be meeting his developmental
10 milestones as recorded by his pediatricians on his
11 well-baby checkups.

12 Q When you say recorded by his physicians on
13 the well-baby checkups, what specific developmental
14 milestones do you recall seeing in the medical record
15 that lead you to conclude that he was meeting his
16 developmental milestones?

17 A Well, at each of his well-baby visits the
18 pediatricians ask questions about development in
19 several domains -- gross motor skills, fine motor
20 skills and language typically.

21 As we went through the first year of his
22 life, the pediatrician documented that he was doing
23 things such as rolling over, sitting up, crawling,
24 cruising and walking, and actually in terms of gross
25 motor development he was actually a little bit

MUMPER - DIRECT

104

1 precocious in that he was walking by nine months and
2 walking very well by a year.

3 In terms of his language development, they
4 talked about him initially smiling and being
5 responsive and making eye contact as early as seven
6 weeks of age. They talked about him having babbling
7 and then developing three to five words by his one-
8 year checkup.

9 Three to five words is really very good for
10 a child at one year. We typically expect them to have
11 momma or dada, usually dada, plus one or two other
12 words and so that seemed to me to imply very normal
13 language development at that time.

14 Q In fact, let's take a quick look at one of
15 the medical records. This would be Exhibit 1, page
16 70.

17 A I have it.

18 Q We'll pause, Dr. Mumper, because we want to
19 get it up on the screen here so that the Special
20 Master and counsel can be able to see this too.

21 Take a look at your monitor there, Doctor,
22 and let's make sure that the paper you have in front
23 of you is the same thing you see on the screen. Is
24 that the same thing?

25 A Yes.

MUMPER - DIRECT

105

1 Q Do you recognize that document?

2 A Yes. It appears to be the one-year checkup
3 for Colin Dwyer.

4 Q There is a highlighted section about one-
5 fourth of the way down on that page. Can you describe
6 for the Special Master what that highlight is and why
7 it's significant to you?

8 A The highlight is looking at the classic
9 developmental milestones. The first is language, and
10 the pediatrician recorded that he was saying three to
11 five words, which is normal for age.

12 The second word, which is pincer, refers to
13 pincer, being able to pick up objects or bring the
14 thumb and index finger together. That's also a
15 classic one-year-old milestone that you would like to
16 see the child exhibit at that time.

17 And then they wrote exploring, which means
18 that this is a child who had gross motor skills enough
19 that he was able to actually explore his environment.

20 Q So based on this chart note from his one-
21 year well-baby visit, do you see anything at all on
22 that chart indicating that there were any delays or
23 problems with his health or development?

24 A No, I don't. In fact, the pediatrician
25 actually documented that he or she heard babbling and

MUMPER - DIRECT

106

1 an occasional word, so this wasn't just based on the
2 parents' history.

3 Q And speaking of the parents' history, you
4 did hear, you mentioned earlier, via the audio
5 download the testimony of Mr. and Mrs. Dwyer that was
6 given yesterday, correct?

7 A Yes, I did.

8 Q Did you hear anything in their testimony
9 that was inconsistent with the description of Colin's
10 health, well-being and development up to the first
11 year of life from the parents?

12 A No, I did not.

13 Q Let's start moving into the second year of
14 life. We're going to put another exhibit up for you,
15 Doctor. This would be Exhibit 1, page 67.

16 A I have it.

17 Q And we'll get it up on the screen. I think
18 we will quickly have a routine for handling the
19 exhibits.

20 I want you to take a look at your monitor
21 and confirm that what you see there is the same thing
22 on the paper. Is that correct?

23 A Yes.

24 Q Do you recognize that document?

25 A The 15-month well-baby checkup for Colin

MUMPER - DIRECT

107

1 Dwyer.

2 Q What on that well-baby checkup doctor's note
3 do you feel is significant? If you could explain that
4 to the Special Master?

5 A The pediatrician documents developmental
6 milestones which includes the phrase: Talking some,
7 running, climbing, problems sleeping like brother.

8 Q You mentioned talking some. Is that note
9 significant to you as a pediatrician?

10 A My interpretation is that the pediatrician
11 was documentating that the child was talking and that
12 that implied that he was having normal talking at that
13 time.

14 I would expect if there were concerns that
15 he or she would have said something like not talking
16 enough or language delayed or words less than
17 expected. So the fact that he was talking some I view
18 as a recording of a normal milestone.

19 Q Again, anything in this record that would
20 indicate that Colin Dwyer was developmentally delayed?

21 A No. I do not see evidence for that.

22 Q Also again referring to the parents'
23 testimony, you recall there was testimony about his
24 development up through 15 months.

25 Is there anything in the parents' testimony

MUMPER - DIRECT

108

1 that you listened to that's inconsistent with this
2 history of meeting milestones and generally being in
3 good health?

4 A No, there was not.

5 Q In your review of the medical records and
6 review of the other material specific to Colin's case,
7 did you see anything in those records before the age
8 of 20 months indicating that he was failing to meet
9 any developmental milestones?

10 A No, I did not.

11 Q Did you hear anything in the parents'
12 testimony that indicated to you as a medical doctor
13 that Colin was missing developmental milestones before
14 the age of 20 months?

15 A No, I did not.

16 Q At some point did you see anything in the
17 record indicating that Colin might not be meeting a
18 developmental milestone?

19 A The well-baby visit of 20 months on July 10,
20 2000.

21 Q Let's go ahead and take a look at that. I
22 assume there is a medical chart note on that, Doctor?

23 A Yes.

24 Q That would be Exhibit 1, page 63.

25 A That's correct.

MUMPER - DIRECT

109

1 Q That's on the computer monitor there on the
2 witness stand. Take a look at the monitor, and let's
3 just make sure we're all looking at the same paper.

4 A Yes.

5 Q Do you recognize the document that's on the
6 screen?

7 A Yes.

8 Q What is that document?

9 A The 20 month well-baby checkup for Colin
10 Dwyer.

11 Q Now, you mentioned this was the first
12 indication that you saw in the record of a possible
13 developmental delay. Can you point that out in the
14 note to the Special Master and explain the
15 significance?

16 A There are a couple of notations. One is
17 about a fourth of the way down the page where the
18 record denotes that he says a few words, and in
19 parentheses it says three to five.

20 That would be the expected language for a
21 child somewhere in the range of one year to 14 months
22 of age, so the quantification of the words there
23 suggests a delay.

24 Then under the Impression there is a
25 notation about the speech, and in the Plan they

MUMPER - DIRECT

110

1 suggest a follow-up at two years, but make the
2 notation to check the speech at two years.

3 Q What is the significance of those notes to
4 you? What does that tell you as a medical doctor who
5 treats children? What does that tell you about
6 Colin's developmental course at the age of 20 months?

7 A It suggests that the pediatrician is
8 starting to be concerned about the child's language,
9 but that they were not panicked at his lack of
10 language such that they instituted any kind of
11 emergency evaluations.

12 Q Again, similar questions to what we covered
13 before. You did listen to the parents' testimony
14 yesterday. Anything in their testimony that is
15 inconsistent or at odds with the information you see
16 in the exhibit that you just described?

17 A No, there was not.

18 Q So in summary then, Doctor, from birth up to
19 20 months do you have an opinion about Colin's
20 developmental progress?

21 A It seems that he was meeting developmental
22 milestones at least as documented by the 15-month
23 checkup.

24 At the 20-month checkup, even though gross
25 motor skills and fine motor skills such as eating were

MUMPER - DIRECT

111

1 normal, there was an initial concern about speech. So
2 it is difficult for me to pinpoint the exact time that
3 the speech may have become a problem, but by 20 months
4 of age it's raising a flag for the pediatrician.

5 Q And there is certainly nothing before 15
6 months? In fact, up through 15 months it would be
7 fair to say the record reflects more likely than not
8 that he was meeting his language milestones?

9 A That is correct.

10 Q I want to back up for just a moment, back up
11 chronologically. You talked about Colin's birth.

12 A Yes.

13 Q Did you also have a chance to review his
14 birth records? If you recall, these were records that
15 only became available fairly late in the proceeding
16 and certainly after your report. Did you get a chance
17 to look at the birth records in particular?

18 A Yes, I did.

19 Q You touched on your review of his birth and
20 delivery earlier. I want to go back to that topic.

21 Did you see anything in his birth record
22 indicating that he was unhealthy or in distress during
23 labor, delivery and birth?

24 A No, I did not, but I did see one important
25 finding when I got the birth records that I did not

MUMPER - DIRECT

112

1 include in my report. It appears from the records
2 that he actually received a Hepatitis B vaccine at
3 birth, which was not reflected on my initial report.

4 I would not have expected that because in
5 the report that I had there was documentation that he
6 had gotten a Hepatitis B vaccine at 13 days of age and
7 then another one at seven weeks and then another one
8 at about six and a half months.

9 The initial series for Hepatitis B is
10 typically three vaccines. Some hospitals give
11 Hepatitis B on day one. Our hospital many years ago
12 decided not to do that and so our local pediatricians
13 typically give Hepatitis B either at one or two months
14 and start the three shot series then.

15 The reason that I'm concerned about this is
16 that it actually means that he got three injections of
17 Hepatitis B vaccine prior to two months of age, which
18 is a time that I perceive as special vulnerability,
19 particularly with regards to handling potential toxic
20 insults.

21 And so the thimerosal that he received on
22 day one of birth plus what he received at 13 days and
23 seven weeks makes me relatively more concerned than I
24 initially reflected in my first report.

25 Q Do you have the report handy with you, Dr.

Heritage Reporting Corporation
(202) 628-4888

MUMPER - DIRECT

113

1 Mumper?

2 A Yes.

3 Q Because I just want to be as clear as we can
4 in discussing the facts in your report. If you would
5 turn to page 3?

6 A Right.

7 Q You'll see that the shots that Colin
8 received are listed, and the ethyl mercury content per
9 shot is listed. Do you see that sort of in the middle
10 of the page?

11 A Yes.

12 Q I want to make sure we capture what you're
13 saying. You're saying that the Hepatitis B in your
14 report shows three different immunizations, and you're
15 saying there would actually be one additional
16 immunization that was given on November 10?

17 A That's correct.

18 Q And that would then change the total mercury
19 exposure from 237.5 micrograms to 250? Is that
20 correct?

21 A That's correct.

22 Q Okay. Did you see anything in the medical
23 record indicating that Colin's mother, during the time
24 that she was pregnant with Colin, was exposed to
25 anything that might be associated with the appearance

MUMPER - DIRECT

114

1 of autism in a child that a woman would be carrying to
2 term?

3 A No, I did not see it in the record, and I
4 actually asked about that also last night and did not
5 perceive any risk factors based on both the written
6 record and her report.

7 Q What risk factors were you considering and
8 looking at and then ruling out? Can you describe
9 those for the Special Master?

10 A We're concerned about potential illnesses of
11 the mother during pregnancy, especially viral
12 illnesses such as influenza or rubella.

13 We're concerned about potential exposure
14 through medications, specifically valproic acid,
15 thalidomide, terbutaline, and in asking her about
16 those medications last night and reviewing the records
17 there was no history that she received any of those.

18 She also did not give a history of having an
19 unhealthy pregnancy. In fact, her husband referred to
20 her several times as a healthy girl during the
21 pregnancy.

22 Q And from your review of the medical records,
23 listening to the testimony and speaking with Mrs.
24 Dwyer in person, is there anything to indicate that
25 she was smoking cigarettes during her pregnancy?

MUMPER - DIRECT

115

1 A No. She specifically told me she did not.

2 Q That she was consuming alcohol during her
3 pregnancy?

4 A No.

5 Q Now we're going to go back in time and catch
6 up with where we were chronologically. We spoke about
7 the chart notes at 20 months. I want to then start
8 moving forward in time from that 20 months.

9 What was the next thing that you saw in the
10 medical record that you recall that indicated that
11 Colin Dwyer may have developmental delays of some
12 sort?

13 A At a visit marked 3-22-01 -- it was marked
14 as a two-year checkup; he was actually a little more
15 than two years at that time -- I initially thought
16 this was just a routine checkup, but I realized last
17 night that the parents actually had concerns at that
18 time that they brought to the attention of the
19 pediatrician.

20 Q Let's go ahead and get that medical record
21 on the computer monitor. I'm going to ask you a
22 couple of questions about that. This is going to be
23 Exhibit 1, page 60.

24 A I actually think it's page 61 maybe.

25 Q Let's try page 61. My apologies. What you

MUMPER - DIRECT

116

1 see on the monitor now, is that what you have on the
2 page in front of you that you were describing?

3 A That's correct.

4 Q Okay. If you could explain for the Special
5 Master what this document is and what you find
6 significant?

7 A This is the record of his two-year checkup,
8 and in the record it's reflected under Neurologic Exam
9 speech/language delay, and there appears to be an
10 exclamation point after that.

11 The pediatrician goes on to form an
12 impression that the child had a speech/language delay,
13 and under the Plan said to EI, which is an
14 abbreviation for early intervention, for speech.

15 They go on to say: I stress the importance
16 of the evaluation or I stress the importance. I'm
17 adding of the evaluation.

18 Q Now, you're describing that language
19 specifically. I'm assuming it's significant language
20 to you as a professional pediatrician. Is that
21 correct?

22 A Yes. That's correct.

23 Q Why is that language significant,
24 particularly compared to the note at 20 months?

25 A To be stressing the importance of an early

MUMPER - DIRECT

117

1 intervention evaluation and to put an exclamation
2 point after the words speech and language delay imply
3 to me that this person was very concerned about the
4 lack of speech.

5 And I suspect that they looked back in the
6 record and saw normal language at a year and then
7 listened to the parents' history where the child was
8 actually losing language milestones and losing words
9 in addition to not continuing to progress. That to us
10 is a very big red flag to look for problems.

11 Q Among the problems that one would look for
12 when you see this kind of red flag, is regressive
13 autism one of those problems?

14 A Yes, it is.

15 Q In your review, and we can pull this down
16 unless, Doctor, is there anything else in that note
17 that you wanted to describe for the Court?

18 A No.

19 Q Okay. In your review of the records and
20 talking to Mrs. Dwyer, listening to the parents'
21 testimony, do you have an opinion about whether Colin
22 Dwyer regressed into autism at some point in his life?

23 A I do believe that he regressed into autism.

24 Q Can you tell the Court what that opinion is
25 based on just in general now that we've gone through

MUMPER - DIRECT

118

1 the chart details?

2 A Finding numerous documentation, both in the
3 medical record and by parent report, of normal
4 developmental milestones and then finding clear
5 documentation in the medical record and parental
6 concern about a loss specifically of language
7 milestones and also documentation of behavioral
8 changes.

9 Q Do you recall what behavioral changes stuck
10 out for you that were significant that inform your
11 opinion that Colin regressed?

12 A It was quite dramatic to listen to the
13 parents describing this child, who would go around the
14 streets of New York in a stroller and be very
15 interested in his environment and have play with his
16 brother and appropriate social interactions and then
17 change into a child who did not want to sit in the
18 stroller, who started having tantrums, who withdrew
19 from social interactions that he had particularly
20 enjoyed previously;

21 Who went from doing very creative play with
22 blocks where he would enjoy building structures and
23 then knocking them down, which is very age appropriate
24 behavior for a toddler, to very rigid play where he
25 took the blocks and lined them up and became very

MUMPER - DIRECT

119

1 upset if the organizational structure was disrupted.

2 He went from a child who responded
3 positively to seeing his mother come home from work
4 and expressing distress when she would leave to
5 someone who wanted to withdraw and sit in the corner.

6 He went from a child who at his 13-month age
7 at Christmas was interacting as you would expect a
8 13-month old baby to do and expressing interest in his
9 environment to a baby who the next Christmas was
10 withdrawing from interaction and ended up being a
11 spectator to Christmas essentially.

12 So that very vivid clinical description as
13 well-articulated by both parents in conjunction with a
14 pediatric record that reflected normal development and
15 then red flags makes me very concerned about a
16 regressive picture.

17 Q And this regressive picture that you
18 described in Colin, is that something that you've seen
19 before in your professional practice?

20 A It is.

21 Q Is that pattern something that you term
22 regressive autism?

23 A It is.

24 Q Is that a pattern of progress and regression
25 something that you see reflected in the medical

MUMPER - DIRECT

120

1 literature as regressive autism?

2 A Yes. There have been several documentations
3 in the medical literature trying to determine what
4 percentage of children have regressive autism, how to
5 clearly document that, but it is documented to occur.

6 Q In reviewing Colin's medical history and
7 listening to his parents' testimony and speaking with
8 Mrs. Dwyer, did you notice anything in the record
9 suggesting that he had behavioral problems that began
10 at about six months of age?

11 A I did not.

12 Q Do you also recall looking at his growth
13 chart from birth up through the age of two?

14 A Yes, I do.

15 Q Do you recall that at around six months his
16 weight was about the 25th percentile?

17 A Actually, I have at about six months that --
18 let me make sure I'm looking at the right one. That
19 his weight was at the 50th percentile at six months.

20 Q And then as you move forward does his weight
21 percentile change from 50 percent, sort of the very
22 middle of the bell curve?

23 A Yes, it does. At both 12, 15, 20 and 29
24 months it is around the 25th percentile, but clearly
25 following that curve and not showing any further

MUMPER - DIRECT

121

1 drop-off.

2 Q And at the 25th percentile, is that within
3 one standard deviation of the 50 percent mean?

4 A Yes, and it basically means that he weighs
5 more than 25 percent of babies his age.

6 Q Would that be considered a normal weight for
7 a child of his height and age?

8 A Yes, it would be.

9 Q And it would be because it's within that
10 first standard deviation of the mean, correct?

11 A Right. When you look at where he initially
12 started out on his growth chart, which was just a
13 little bit above the 50th percentile, looking at the
14 growth pattern overall it is within what I would
15 consider normal.

16 Now, having said that, whenever we see a
17 child starting to cross percentiles we try to evaluate
18 possible reasons for that. Things could include not
19 eating enough or being chronically ill or having
20 chronic diarrhea and malabsorbing, so one would
21 consider the potential medical problems and be alert
22 to the possibility of either gastrointestinal problems
23 or central nervous system problems or a wide variety
24 of metabolic problems.

25 Q But certainly nothing in the medical record

MUMPER - DIRECT

122

1 indicating that during the period where he went from
2 50 percent to 25 percent, there's nothing indicating
3 that he had behavioral problems in that period of
4 time, is there?

5 A No. I did not see that. Now, at 12 months
6 it was mentioned that he was having some sleeping
7 problems similar to that which his brother had, and
8 sleeping problems are very common in all children,
9 including children with autism. And so that itself I
10 would not consider a behavioral problem, but that was
11 noted in the record.

12 Q Is there anything from the testimony of the
13 parents that you heard indicating that Colin Dwyer had
14 behavioral problems beginning at about the age of six
15 months?

16 A No. I did not see any documentation of
17 that.

18 Q So you've now described Colin up through the
19 age of two years old.

20 A That's correct.

21 Q Now, at some point Colin did receive a
22 medical diagnosis indicating that he might have an
23 autistic spectrum disorder. Do you recall that?

24 A That's correct.

25 Q Okay. We're going to go ahead and put

MUMPER - DIRECT

123

1 Exhibit 1, page 55 to 56, on the computer screen there
2 for you. Do you recognize that document on the
3 screen?

4 A Yes. That is a neurologic consultation by
5 Dr. Irving Fish, who was a pediatric neurologist.

6 Q How old was Colin at the time that he was
7 examined?

8 A Well, the record shows two and a half. He
9 was born in November, so he was two years and seven
10 months.

11 Q Just over two and a half years old. The
12 record speaks for itself here, but what's the
13 significance of this document as you would explain it
14 to the Special Master?

15 A The pediatric neurologist diagnosed him with
16 a pervasive developmental disorder with significant
17 autistic features.

18 Q Why is that significant to you?

19 A Because someone who is trained with
20 expertise in pediatric neurology diagnosed him with an
21 autism spectrum disorder at this time.

22 Q Is that the first point in the medical
23 record that you saw any indication that he had an
24 autistic spectrum disorder as a diagnostic suggestion?

25 A Yes, it is.

MUMPER - DIRECT

124

1 Q Okay. We can pull that document down and
2 move forward a little bit in time.

3 Now, do you recall in your review of the
4 medical records and from the parents' testimony that
5 Colin was ultimately taken to a Dr. Bock?

6 A Yes, I do.

7 Q Are you familiar with Dr. Bock?

8 A Yes. Dr. Bock is an integrative physician
9 who practices in New York, and I have co-lectured with
10 him on a number of occasions, been at think tanks with
11 him, had the opportunity to have personal
12 conversations with him about medical problems in
13 children with autism.

14 Q Now, in preparing your expert report there's
15 a section that begins on page 4 of your report where
16 you describe and ultimately rely on a series of
17 laboratory tests and analyses, correct?

18 A That's correct.

19 Q These laboratory tests that we're going to
20 talk about here in some detail, these were all ordered
21 and supervised by Dr. Bock? Is that right?

22 A I think they all were. It's possible that
23 there are one or two labs that were ordered by Dr.
24 Russell. The labs came from both of those sources.

25 Q Okay. Let's go ahead and walk through some

MUMPER - DIRECT

125

1 of these labs. What I'm going to do, just so that you
2 know, Doctor, is we will put specific pages up here
3 that you referenced in your report, and I would like
4 you to explain to the Special Master what those pages
5 describe and why they're significant to you. That's
6 going to be our general approach.

7 A Okay.

8 Q We'll try to move through these in a fairly
9 brisk manner because they are captured in the report
10 here. Let's start off with Petitioners' Exhibit No.
11 4, page 100.

12 A I have it.

13 Q And on the computer screen is that the
14 document on the monitor that you have in front of you?

15 A Yes, it is.

16 Q What is that document, and why is it
17 significant to you?

18 A This is a laboratory evaluation that is
19 based on a blood test that is looking for antibodies
20 against neurofilament. The reason that this is
21 significant to me is that Colin at this time showed an
22 elevation in neurofilament antibodies.

23 The other name for neurofilament antibodies
24 has to do with glial fibrillary acidic protein, and
25 with regard to our understanding of potential

MUMPER - DIRECT

126

1 causation based on thimerosal toxicity, this lab
2 result lends credence to our concern because it's
3 demonstrating these glial fibrillary acidic protein
4 antibodies.

5 The GFAP is a way in which structure and
6 integrity is provided to astrocytes, and with
7 antibodies against those I am concerned about loss of
8 structural integrity of the astrocytes and ongoing
9 neuroinflammatory processes.

10 The significance of the test is to make us
11 consider in the differential diagnosis of his problems
12 that there may have been a toxic insult that is
13 affecting the glial fibrillary acidic protein that
14 suggests neuroinflammation or reactive gliosis.

15 That ties in to Dr. Kinsbourne's testimony
16 about the neuroinflammation in children with autism
17 and the pathology in the astrocytes and problems with
18 the glial cells, et cetera.

19 Q Now, the reference range on this is given as
20 I think it's zero to 50, and the level that's recorded
21 here is 53.

22 A Right.

23 Q As somebody who looks at these results, how
24 elevated is that, in your opinion?

25 A It's very mildly elevated because it's only

MUMPER - DIRECT

127

1 three units above the upper limit of normal.

2 I have seen children with this value as high
3 as 63 I think, so I don't want to overstate that this
4 is a huge elevation. I just want to use it as part of
5 our composite picture that it is abnormal and that you
6 would not expect a normal child without
7 neuroinflammation to be showing these antibodies
8 suggestive of neuroinflammation and reactive gliosis.

9 Q Let's go ahead then and move to page 102 of
10 Petitioners' Exhibit 4.

11 A I have it.

12 Q Can you describe for the Special Master what
13 that is and again why it's significant to your opinion
14 in this case?

15 A This again is a blood test, and here the lab
16 was looking for antibodies against myelin basic
17 protein. This is significant to me because myelin is
18 like an insulation in our nerve cells that's very
19 important for speeding transmission of neurologic
20 impulses. To be making antibodies against that
21 potentially would lead to degeneration of the myelin
22 sheath.

23 The most classic example of this is a
24 disease called multiple sclerosis. Again, I would not
25 expect a normal child without an autoimmune or

MUMPER - DIRECT

128

1 neuroinflammatory process to be making antibodies to
2 his myelin basic protein in the first few years of
3 life.

4 Q Now, again it's a level of 57, and the
5 normal reference range, the upper limit of normal,
6 would be 50. Can you describe as somebody who has
7 reviewed these types of lab reports before how
8 elevated that is?

9 A This is more elevated than the other test we
10 just looked at. I do think this is a significant
11 elevation. It's about 15 percent elevation I believe,
12 if I did my math right, so I would take that very
13 seriously.

14 Q We're going to move ahead then and look at
15 Petitioners' Exhibit 4, page 96. Do you see that on
16 the monitor there?

17 A Yes, I do.

18 Q Okay. Again, can you describe what this is
19 and what it tells you and why it's significant?

20 A This is a blood test looking at oxidative
21 stress. Oxidative stress is a big part of our theory
22 of causation in that when children or adults are under
23 oxidative stress it impacts their ability to handle
24 heavy metal toxicity.

25 This is showing that his levels of reduced

MUMPER - DIRECT

129

1 glutathione, which is the good form of glutathione
2 that is expected to help a person with detoxification,
3 immune function, mitochondrial function, acting as an
4 intracellular antioxidant and helping the gut
5 epithelium was low, in the red zone if this happened
6 to be in color.

7 The test also shows a quite elevated lipid
8 peroxide measure. Lipid peroxides take it a step
9 further and actually look at lipid damage by oxidants
10 and excessive free radical activity. Free radicals
11 are a cause of cellular damage, a cause of aging, and
12 excess free radicals or loose electrons are a marker
13 for oxidative stress.

14 So not only do we have low levels of the
15 glutathione that has all those important functions
16 that I mentioned, but we also have high levels of the
17 lipid peroxides showing this actual damage by
18 oxidants.

19 Q This is a lab result of July 16, 2002. Is
20 that correct?

21 A That's correct.

22 Q Okay. We also have a lab result from
23 December 17, 2002, from later in that same year. This
24 would be page 78 of Exhibit No. 4.

25 A That's correct. This is essentially the

MUMPER - DIRECT

130

1 same test with very similar results, so I don't think
2 I need to explain it all again.

3 Q We're now going to move into pages 96 and 97
4 of Petitioners' Exhibit 4, and we'll start with page
5 96. Is that what you have there on paper and on the
6 screen?

7 THE COURT: Page 96?

8 MR. POWERS: Yes, Special Master.

9 THE COURT: That's the one we started with
10 on oxidative stress.

11 THE WITNESS: Yes. I think we've already
12 done this one.

13 MR. POWERS: Yes.

14 THE WITNESS: Sorry.

15 MR. POWERS: So it would be page 97, the
16 plasma cysteine panel.

17 THE WITNESS: Okay.

18 MR. POWERS: Okay. I'm sorry. It would be
19 page 97. Thank you, Special Master.

20 THE WITNESS: Okay.

21 BY MR. POWERS:

22 Q Okay. And this is testing that again was
23 done in July of 2002 at the same time that the testing
24 you just described was done? Is that correct?

25 A That's correct.

MUMPER - DIRECT

131

1 Q And what does page 97 tell you, and why is
2 it significant?

3 A This is a blood test looking at cysteine.
4 Cysteine is the amino acid that is the prerequisite
5 for glutathione formation and has a crucial role in
6 neuroprotection. It is showing that it is outside the
7 normal range in the low range.

8 By inference, if you have a low cysteine you
9 would expect a low glutathione as we documented, and
10 so this is another confirming laboratory value to
11 document abnormalities in this methylation pathway and
12 therefore a reduced ability for the child to handle
13 heavy metal toxicity.

14 Q And let's then go to page 80.

15 A That is a blood test looking at plasma
16 sulphate. Sulphate is part of the detoxification
17 mechanisms by which we all are able to excrete toxins,
18 and this is demonstrating that it is below the normal
19 range.

20 This is to be expected, given what we know
21 about the child's glutathione status and cysteine
22 status, but is more specific documentation of that
23 detoxification function of that whole metabolic
24 pathway showing that it would be functioning
25 suboptimally.

MUMPER - DIRECT

132

1 Q We're going to move on then to Petitioners'
2 Exhibit 4, page 93. Do you see that on the screen?

3 A Yes, I do.

4 Q Okay. Could you describe for the Special
5 Master what this document is, what it tells you and
6 why you believe it's significant?

7 A This is a urine looking at toxic metals. It
8 was obtained on September 20, 2002.

9 It is crucial to note that according to both
10 the mother and the treating physician, who I spoke to
11 personally about this, this was not actually a post-
12 provocative urine with DMSA. It was actually the
13 baseline urine.

14 A common practice is to initially do a urine
15 for toxic metals in the natural resting state of the
16 child and then to give a chelating agent to try to
17 look at body burden of various toxic metals.

18 In this specimen, which is actually a
19 baseline specimen, mercury is essentially
20 nondetectible where the reference range would be less
21 than three micrograms per gram of creatinine. That is
22 significant, particularly once taken in context with
23 the post-provocative specimen.

24 Q Let's take a look at the post-provocative
25 specimen, and this I believe would be page 90 of

MUMPER - DIRECT

133

1 Exhibit 4.

2 A That's correct.

3 Q Okay. So what you see on the monitor, is
4 that the post-provocative test?

5 A Yes.

6 Q Again, if you could describe for the Special
7 Master what you see on this test and why it's
8 significant for you?

9 A What I see here is that after receiving an
10 agent called DMSA, which is a chelating agent that
11 will potentially help the body get rid of lead and
12 mercury, that the mercury rises to 17 micrograms per
13 gram of creatinine, where normal would be less than
14 three, and the bar essentially goes off the chart into
15 the very elevated range.

16 This to me suggests that through the use of
17 the DMSA, which acts by complexing in the
18 metallothionein complex and displacing the heavy
19 metals, this child excreted a dramatic amount of
20 mercury. This implies that he had a significant body
21 burden of mercury.

22 Q Now, you say it implies he had a significant
23 body burden. Is it possible that it simply reflects
24 ongoing exposure to sources of mercury?

25 A One would not be able to answer that

MUMPER - DIRECT

134

1 question purely on the basis of this lab test, but
2 there are other tests in his record which lead me to
3 believe that he did not have any ongoing sources of
4 mercury exposure.

5 Q Okay. Let's go ahead and take a look at
6 some of those. We'd be looking at Petitioners'
7 Exhibit 4, page 131.

8 A This is a test on red blood cell elements.

9 Q And let me interrupt. So the tests we just
10 looked at were urine tests?

11 A Yes. That's correct.

12 Q Okay. And so this is a test that's based on
13 drawing blood from Colin?

14 A That's correct.

15 Q Okay. Please go ahead.

16 A The red blood cell elements test is
17 frequently used to make sure that children have
18 adequate amounts of essential nutrients in their
19 blood.

20 So the first part of the test that's marked
21 Nutrient Elements is giving an idea about the amounts
22 of essential elements like calcium and zinc and
23 magnesium and selenium in this child compared to a
24 normal range, and it's given in the range of
25 percentiles.

MUMPER - DIRECT

135

1 So, for example, this child, who was on a
2 gluten-, casein-free diet, was having a calcium level
3 that was around the 75th to 80th percentile, so that
4 gives you evidence that his calcium was being
5 adequately replenished by his supplements.

6 We particularly want to look at nutrient
7 elements in children where either chelation is
8 anticipated or ongoing because the biggest morbidities
9 associated with chelation are when the chelating agent
10 grabs an essential element instead of a toxic element.
11 So Dr. Bock was being conscientious I believe, trying
12 to make sure that he had adequate zinc and adequate
13 selenium and other essential nutrients.

14 The bottom half of the test reflects
15 potentially toxic elements, and the reason that this
16 is very important to me is that the very first step
17 when you're trying to deal with anyone who may have
18 evidence of toxic exposures is to ensure that they're
19 not having ongoing toxic exposures. Otherwise you
20 just end up chasing your tail as you try to get rid of
21 something as they continue to be exposed. So in
22 looking at this, we see that with regards to mercury
23 he did not have evidence of ongoing toxic exposures.

24 I had the opportunity to ask the mother if
25 they had moved to a different environment between the

MUMPER - DIRECT

136

1 time he was a baby and the time this test was taken
2 such that perhaps there was an environmental component
3 at the time of his infancy that might explain this big
4 mercury burden, but she explained that no, they were
5 in the same house, that there wasn't any change in
6 industry in their neighborhood that would have been
7 providing an environmental source of mercury.

8 And so I thought this was very interesting
9 in that it showed evidence against ongoing exposures
10 that had happened within the previous 120 days.

11 Q Let me interrupt. Why is that 120 days
12 significant?

13 A Because your red blood cells turn over
14 quickly, and on average you've replaced them within
15 120 days.

16 So if you're going to look for evidence of
17 lead or mercury in the blood, you essentially are
18 looking for acute exposures and so by not having any
19 mercury present here in the red blood cells I do not
20 see any evidence that he had ongoing exposures, and
21 that I believe argues that we have to at least
22 consider that his body burden could be coming from his
23 inability to have handled the thimerosal in his
24 vaccines.

25 Q Now, it's not that it's proof positive that

MUMPER - DIRECT

137

1 that's the case, but would it be your testimony that
2 it's consistent with that possibility?

3 A That's correct.

4 Q Okay. Let's look at Petitioners' Exhibit 4,
5 page 75. Do you see that?

6 A Yes. That's another red blood cell element
7 test that was done in December of '02. Two potential
8 things of importance here to me.

9 One is that once again the mercury level in
10 the red blood cells is not high, suggesting no ongoing
11 exposures to mercury. The other thing that is
12 important to me is that the selenium level is low.

13 Selenium is one of the mechanisms that the
14 body uses for glutathione function and to help get rid
15 of mercury and so it will be used up when the body is
16 trying to do that process.

17 We frequently supplement children with
18 selenium. It may be in their multiple vitamin, or
19 sometimes we give them extra selenium. It's one of
20 the nutritional ways of helping the body itself to
21 mobilize heavy metals the way that nature intended.

22 Q Now, I want to pause here for a moment on
23 this idea of mercury exposures.

24 Do you recall testimony from the Mead and
25 the King cases that one source of mercury exposure in

MUMPER - DIRECT

138

1 infants is through the mother's breast milk?

2 A Yes. That's correct.

3 Q And that would be the methyl mercury that
4 would be passed that the mom is exposed to in the
5 breast milk and goes into the child. Do you recall
6 that sort of testimony?

7 A That's correct. Yes.

8 Q So it's true then that one potential source
9 of mercury exposure for Colin Dwyer would have been
10 breast milk, correct?

11 A That's correct, but in this case the mother
12 explained to me that she did not breast feed; that the
13 baby was formula fed from the beginning.

14 Q So in terms of exposures to mercury, can you
15 see anything in the family's testimony, conversations,
16 medical record indicating any exposures to mercury
17 other than the thimerosal-containing vaccines
18 administered to Colin?

19 A No, I did not detect evidence of other
20 exposures.

21 Q I'm going to move ahead to Petitioners'
22 Exhibit 4, page 67. What is that document, and can
23 you explain what you see there and why it's
24 significant to the Special Master?

25 A This is a urine test done at Metamatrix

MUMPER - DIRECT

139

1 Laboratories that is looking at a wide variety of
2 markers in the urine that are essentially breakdown
3 products of metabolism that are excreted in the urine.

4 We use these tests in order to get
5 biochemical footprints that might give us some insight
6 into what is going on on a cellular level with these
7 children.

8 The things that I found interesting and
9 informative in this record included the lactate and
10 then some of the citric acid cycle markers. The
11 reason that the high lactate was significant to me,
12 lactate is an anaerobic breakdown product of glucose,
13 and it tends to be higher under anaerobic, nonoxygen
14 environments.

15 One of the things that can lead to an
16 increase in lactic acid is lack of mitochondrial ATP,
17 implying potential for mitochondrial function. Now, I
18 need to be very clear for the Special Masters that
19 there is a very long differential diagnosis of what
20 can cause a lactic acidosis. It could be something
21 like recent vigorous exercise or septic shock or
22 significant vomiting and diarrhea that includes
23 dehydration.

24 So I am not in any way suggesting that a
25 high urine lactate is the biomarker to prove

MUMPER - DIRECT

140

1 mitochondrial dysfunction and abnormalities in
2 anaerobic metabolism specifically. I'm saying that
3 with that high marker once again it reflects the
4 potential for an ongoing metabolic acidosis and is
5 consistent with our concerns about the low glutathione
6 in this child and therefore poor mitochondrial
7 function and all the other things I elaborated.

8 The other thing that is significant to me as
9 a clinician is the citric acid cycle markers, many of
10 which were elevated. A pattern that is seen in people
11 who have heavy metal toxicity is that each of the
12 heavy metals can have potential impacts on that citric
13 acid or Krebs cycle. That cycle is how we produce
14 cellular energy in the form of ATP.

15 So when you have so many markers that are
16 high, one of the things in your differential diagnosis
17 is heavy metal toxicity. Specifically an elevated
18 succinate is one of the markers for an increased
19 requirement of CoQ10, which is important in
20 mitochondrial oxidative phosphorylation.

21 Another important thing is that the fumarate
22 and malate that are elevated can be markers of CoQ10
23 deficiency, and also the malate can be elevated with
24 B₁₂ deficiencies, so again as a piece of evidence, even
25 though these isolated markers cannot be over

MUMPER - DIRECT

141

1 interpreted, looking at the pattern I see a pattern
2 that suggests interference with the citric acid cycle,
3 which is a very fundamental biochemical mechanism by
4 which we produce cellular energy and therefore allow
5 our cells to do our jobs.

6 Q Let's go ahead and look at page 126, and
7 we're going to quickly have page 81 after that, but
8 we'll start with page 126 of Exhibit 4.

9 A This is a lab test that is a pretty
10 traditional lab test. It's basically a chemistry
11 screen.

12 I mentioned in my report the fact that a
13 number of Colin's CO₂ measurements in his blood were
14 minimally decreased. When I got extra records, I
15 actually found that there were some that were
16 decreased as low as 19.

17 I need to be very clear to the Special
18 Master that a low CO₂ also has a huge differential
19 diagnosis, and it can be low in acute illness and
20 dehydration and any number of things that would cause
21 metabolic acidosis.

22 It also can be low if the child is screaming
23 for a long time before the blood draw, and so I only
24 offer this as consistent evidence that this child may
25 have had a mild ongoing metabolic acidosis.

MUMPER - DIRECT

142

1 In the records that I received after I wrote
2 my report I actually did see a normal value, which I
3 had not seen at the time that I wrote the report.
4 This I would characterize as a soft marker consistent
5 with our concerns, but not diagnostic in any way.

6 Q And when you say this, you're referring to
7 really the entire sequence of results that would show
8 reduced carbon dioxide levels in the blood?

9 A Right. There are several. It just
10 documents that this was present over time on a number
11 of different occasions.

12 Q Okay. And then we're going to look at page
13 116 of Exhibit 4 also.

14 A Okay.

15 Q And do you see that on the screen?

16 A I do.

17 Q All right. What is that, and why is it
18 significant?

19 A This test is a urine for amino acids, and
20 this is one of the tests that we can use to assess the
21 child's ability to build body tissue since amino acids
22 are the fundamental building blocks by which we build
23 our bodies.

24 There is a pattern here that he has
25 extremely low levels of a number of different amino

MUMPER - DIRECT

143

1 acids. The ones I was particularly struck by were
2 initially ones that are in that methylation pathway,
3 including methionine, which is the essential amino
4 acid that's at the beginning of the methylation
5 sequence; taurine, which is an amino acid that's very
6 important in the function of bile and also in
7 detoxification, as well as some neuroprotection; and
8 abnormalities in cystathionine and cystine.

9 The taurine in particular you'll notice has
10 a value of 14 where the reference range is 110 to 700,
11 so this is an extraordinarily low amount of taurine,
12 again telling me that he was at a relative
13 disadvantage in terms of his methylation biochemistry
14 and in terms of his detoxification biochemistry.

15 Other things that are important to me is
16 that his arginine, which is the first marker, was low.
17 That's important for leukocytes and immune function.
18 In general, glutamine was also low, and that's very
19 important as a nourishing cell for the gut epithelium.

20 The overall pattern of very low amino acids
21 is concerning about his nutritional status and
22 therefore his ability to do normal metabolic processes
23 as I would like.

24 Q Now, we've covered in going through these
25 exhibits the issues that you discuss in your report.

MUMPER - DIRECT

144

1 You do describe getting some additional
2 medical records between the time that you wrote and
3 filed your report and your appearance here today. Is
4 that correct?

5 A That's correct.

6 Q And among those were some additional records
7 basically from Exhibit 4? Excuse me. Additional
8 pages from Exhibit 4 that were the even-numbered pages
9 that were not included in some of the scanning,
10 correct?

11 A That's correct.

12 Q Are there any pages within that set of
13 documents that were of particular significance to you?

14 A Yes. One that I found very interesting was
15 the Metamatrix urine organics profile. I think it's
16 Exhibit 4, page 68.

17 Q Page 68? Okay. Let's go ahead and put that
18 up.

19 Again, this is not discussed in your report,
20 but you have something to offer to the Special Master
21 in describing this part of the evidence? Is that
22 correct?

23 A That's correct.

24 Q Okay. If you could go ahead and let the
25 Court know exactly what this document is, what it

MUMPER - DIRECT

145

1 tells you and why it's significant to your opinion?

2 A The first thing that I looked at were the
3 neurotransmitter metabolism markers. Analytes Nos. 23
4 and 24 are oxidation products of epinephrine,
5 norepinephrine and dopamine, and if they accumulate
6 they can actually act as cumulative neurotoxins if
7 they're not appropriately removed.

8 When these levels are high the increased
9 rate implies that there's either increased synthesis
10 or increased synthesis and degradation of those
11 products. These are products of catecholamine
12 biochemistry.

13 The catecholamines are those things that put
14 us into fight or flight response, and so I view these
15 as again contributing evidence that this is a child
16 who may have been in ongoing sympathetic overdrive.
17 The sympathetic nervous system keeps you agitated.
18 The parasympathetic nervous system is the one that
19 would keep you calm, relaxing, digesting your food, et
20 cetera.

21 It seems to me that these metabolites are
22 consistent with the parents' story that Colin was
23 severely hyperactive, referred to as having severe
24 attention problems, hyperactivity and climbing the
25 walls was one of the phrases that was repeatedly used

MUMPER - DIRECT

146

1 by the teachers. So again, in isolation nothing that
2 I would point to as a marker, but in concert with the
3 rest of the evidence supporting evidence for our
4 concerns.

5 He also had an elevation in Analyte No. 25.
6 This reflects serotonin biochemistry. This marker can
7 be elevated if a child is on SSRIs, or it can imply
8 that there's an increased release of serotonin from
9 either the central nervous system, the platelets or
10 the intestine. Again, in an isolation not a big deal.
11 In concert, more potentially supporting evidence.

12 The thing I was most interested in were
13 Markers Nos. 26 and 27, kynurenate and quinolinate.
14 The reason that I found this particularly interesting
15 is that when I was lecturing in Australia once I had a
16 long conversation with Dr. Richard Lord about
17 quinolinate as a marker linking the immune system and
18 the brain and the fact that it interacts with NMDA
19 receptors and glutaminergic neurons, which those are
20 items of interest in this particular trial because of
21 our theory of causation.

22 Overstimulated neurons can degenerate, and
23 this is called glutamate neurotoxicity. So one of the
24 things that's been looked at is the ratio where a high
25 quinolinate and a low kynurenate would lead you to

MUMPER - DIRECT

147

1 suspect neurotoxicity, and it's a matter of some
2 research interest because any agent that would change
3 the balance of the synthesis of these two, of the
4 substances of kynurenate and quinolitic acid away from
5 this excitotoxicity potential and towards the
6 neuroprotective potential is of therapeutic interest.

7 So you'll see that this is comparing the
8 child on a percentile basis, and his quinolinate is
9 above the 80th percentile and his kynurenate, which is
10 the neuroprotective factor, is low, below the 20th
11 percentile, so that I thought was interesting in terms
12 of the impact on neurotoxicity and the way that this
13 ratio seemed to be saying that at least at this point
14 in time the child was tipped in the balance toward
15 neurotoxicity and away from the balance of
16 neuroprotective.

17 Q And when you say neurotoxicity, are you
18 using that as a corollary to neuroinflammation?

19 A Yes. Neurotoxicity or neuroinflammation. I
20 need to be very clear that that marker does not in any
21 way say what the neuroinflammation might be from.

22 Q And when you say the brain's immune system,
23 are you talking about the brain's innate immune
24 system?

25 A You know, I don't know that I have enough

MUMPER - DIRECT

148

1 biochemistry to answer that question with certainty,
2 so I'd like to say that I don't know for sure.

3 Q Okay. But certainly with neuroinflammation?

4 A Yes.

5 Q Okay.

6 A And then the other two markers that I'd like
7 to mention in passing is No. 32, which is glucarate.
8 That's a biomarker for a process called
9 glucuronidation, and that's compatible with induction
10 of enzymes to try to handle either toxic episodes or
11 pesticide exposure or fungicides.

12 It's actually not, to the best of my
13 knowledge, what's used for mercury, but it is a marker
14 that he may be also trying to detoxify other things by
15 other measures.

16 And then the pyroglutamate, No. 33, is
17 significant to me because that's a marker for either
18 glycine deficiency or glutathione deficiency. Glycine
19 is one of the components of glutathione. Glutathione,
20 I think we've made clear our belief at how important
21 that particular substance is.

22 So what I'm trying to show here is a
23 constellation of how we've shown glutathione as low
24 directly. We've shown that cystine, the precursor, is
25 low. We've shown that another urinary marker also

MUMPER - DIRECT

149

1 reflects the glutathione deficiency, so from multiple
2 labs at multiple times I believe it shows a consistent
3 pattern.

4 Q Now, having described this last exhibit,
5 page 68, and described all of the lab results you
6 talked about in your report, are there any other
7 laboratory results that you feel are significant to
8 draw the Court's attention to, or does this exhaust
9 the laboratory result portion of your opinion?

10 A Well, I wouldn't say it exhausts it, but it
11 was what I prepared as the most illustrative for the
12 Special Master.

13 Q Now we're going to stop talking about the
14 lab results, and as we come to a conclusion here I
15 want to talk a little bit specifically about Colin
16 Dwyer's course of care and his overall health.

17 Now, you've described how he developed
18 normally. Do you recall that?

19 A Yes.

20 Q And you described how he regressed, and you
21 offered your opinion that it was regressive autism,
22 correct?

23 A That's correct.

24 Q Based on your review of the medical records
25 and listening to the parents' testimony, what's your

MUMPER - DIRECT

150

1 impression of Colin's course of care just generally
2 from the point of his diagnosis at two and a half
3 years of age moving forward?

4 A First, it became very apparent that the
5 parents moved heaven and earth to get all the help
6 they could get for their child. They went into debt,
7 they changed career paths, and they paid a huge amount
8 in terms of time, travel and money to try to get him
9 the services that he needed.

10 They sought out the best resources available
11 to them geographically and the best schools, paying
12 very high prices for good behavioral interventions for
13 him. They traveled to meet a doctor that would try to
14 address his medical needs.

15 I would say that looking at his pattern in
16 general over time, he has clearly exhibited some
17 periods where he seemed to be progressing well, some
18 periods where he had challenges, some periods where he
19 plateaued and then other periods where he made
20 progress again.

21 So at this time he is in a much better place
22 than he was at the age of two when the father couldn't
23 take him out in a stroller or they couldn't
24 interrelate with him. Now he is able to do some
25 things socially and be involved in some family

MUMPER - DIRECT

151

1 outings, but I understood the father to say that they
2 still couldn't take this child to a restaurant, which
3 would be something that I feel bad about because he's
4 old enough that they should have been able to do that
5 for many years now.

6 So I'm very impressed by their dedication,
7 and I am very concerned that he is likely to have some
8 residual problems in his future, as the father so well
9 articulated yesterday.

10 Q Now, based on everything that you've
11 reviewed in preparing for your testimony, in preparing
12 your report, ultimately did you reach a medical
13 opinion about what might have caused or contributed to
14 Colin Dwyer's autism?

15 A After looking at the records carefully, I
16 came to the opinion that thimerosal-containing
17 vaccines must be on the list of differential diagnoses
18 of what could have caused this problem; that I
19 reasonably looked for and did not find other
20 alternative sources of mercury; that I looked for and
21 did not find other alternative diagnoses for his
22 pattern of regressive autism.

23 Because his laboratory data and clinical
24 course showed evidence of so many of the medical
25 problems I would expect to be in a child with autism

MUMPER - DIRECT

152

1 who had difficulty excreting toxins, it is my best
2 professional judgment, based on what I know as of this
3 date, that thimerosal-containing vaccines
4 substantially contributed to his medical problems and
5 his regressive autism.

6 Q Anywhere in the medical record did you see
7 any evidence that Colin Dwyer is mentally retarded?

8 A I did not.

9 Q Is there anything in your review of the
10 parents' testimony, in conversations with treating
11 doctors, in conversations with the parents, supporting
12 the conclusion that Colin Dwyer is mentally retarded?

13 A I did not see any evidence of that, and in
14 talking with the mother she shared a story that I
15 believe was also in her testimony about very
16 experienced clinicians, including a Ph.D. psychologist
17 at the McCarton Center, explicitly telling her that
18 Colin was a good problem solver, that he had good
19 cognitive abilities and that he was progressing well
20 intellectually.

21 Q And do you recall that his receptive
22 language skills have improved over time, while his
23 expressive language has lagged behind?

24 A That's true.

25 Q And the improvements in his receptive

MUMPER - DIRECT

153

1 language, would that be consistent with the conclusion
2 that he is not mentally retarded?

3 A Yes. I do not find evidence that he's
4 mentally retarded, although I have not personally
5 examined the child.

6 Q Correct. In your differential diagnosis
7 would you look for potential genetic causes of autism?
8 Are there identifiable genetic causes of autism in
9 your experience?

10 A There are definitely identifiable genetic
11 causes of autism. There are several ways you can look
12 for that.

13 One of the most important things is to do a
14 careful physical exam for what we would call
15 dysmorphic features. These are things such as
16 abnormalities of the spacing between the eyes or
17 abnormally low-set ears or particular shaped faces or
18 abnormalities in the hand lines or the fingers or the
19 nails.

20 Whereas I will say I did not -- see several
21 notations in the records that there was no
22 dysmorphology, I did not see a very detailed genetic
23 exam that specifically listed everything that they
24 looked for. But on the basis of several experienced
25 clinicians, a pediatric neurologist and an excellent

MUMPER - DIRECT

154

1 developmental pediatrician examining the child, they
2 did not seem to find evidence of genetic abnormality.

3 I did look carefully through the records to
4 see if chromosomes had ever been done, and I did not
5 find evidence of that. The mother told me last night
6 that no one had actually recommended that to them, so
7 I must assume that they thought that the yield on
8 doing chromosome testing was very small in this child.

9 Q And when you say they had the opinion that
10 it would be a low yield series of tests to do, are you
11 referring to the medical professionals that treated
12 Colin?

13 A Yes. I am sure that both Dr. Bock and I
14 would assume Dr. Fish and from what I know of Cece
15 McCarton, they would have all had that foremost in
16 their mind to do if they felt it was indicated I
17 believe.

18 Q And the fact that they did not indicate it
19 at all, does that tell you that there was not a
20 genetic component that ought to be pursued?

21 A It tells me that they did not suspect it. I
22 can't say that we've ruled it out because we haven't
23 done all the possible genetic tests that could be done
24 in this child. There's ever-increasing amounts of
25 micro arrays that could be done to detect subtle

MUMPER - CROSS

155

1 abnormalities.

2 Q And finally, the medical opinion that you
3 just expressed about the role of thimerosal-containing
4 vaccines in Colin Dwyer's regressive autism. Is that
5 an opinion you hold to a reasonable degree of medical
6 certainty?

7 A It is.

8 MR. POWERS: Thank you.

9 I have no further questions right now,
10 Special Master.

11 MR. JOHNSON: Could we have five minutes?

12 THE COURT: Why don't we take a little
13 longer and take a midmorning break, a restroom break,
14 before we begin. Let's reconvene at 25 to.

15 (Whereupon, a short recess was taken.)

16 THE COURT: We're back on the record. Mr.
17 Johnson, you may cross.

18 CROSS-EXAMINATION

19 BY MR. JOHNSON:

20 Q Good morning, Dr. Mumper. Good to see you
21 again.

22 A Good morning.

23 Q As you know, my name is Vo Johnson. I'm
24 representing the government in this case.

25 I want to start off by asking you a little

MUMPER - CROSS

156

1 bit about what you relied on. I know you were asked
2 some questions on your direct about what formed the
3 basis for your opinion.

4 I believe you mentioned, and I just want to
5 confirm, that you have not performed an evaluation of
6 Colin Dwyer. Is that correct?

7 A That is correct.

8 Q And I take it you did not speak with his
9 parents prior to preparing your report in this case?
10 Is that correct?

11 A That is correct.

12 Q You mention in your report that you did
13 discuss the case with Dr. Bock. Is that right?

14 A That is correct.

15 Q Why did you want to discuss the case with
16 Dr. Bock?

17 A In the initial medical records that I
18 received I saw that September 20 and September 22
19 urine test, and it appeared to me that it would not be
20 standard practice or logical to do two post-provoked
21 urines so close together.

22 I suspected that the first test had been
23 mislabeled through an administrative error, so I
24 wanted to ask him about that and clarify that.

25 Q So it was the September 2002 urine tests in

MUMPER - CROSS

157

1 particular that you were concerned about that you
2 wanted to speak with Dr. Bock about?

3 A That's correct.

4 Q Was there anything else that the two of you
5 discussed when you met with him?

6 A You know, we really didn't. We were at this
7 think tank and so I only asked about that lab and then
8 we ran out of time.

9 Q I want to talk now about the test results
10 that you discussed in your report and here today.

11 A Yes.

12 Q You discussed two different lab results from
13 a laboratory, Immunosciences Laboratory. Is that
14 correct?

15 A That's correct.

16 Q And these are the antibodies to
17 neurofilament and the antibodies to myelin basic
18 protein?

19 A That is correct.

20 Q I'm going to refer to antibodies to myelin
21 basic protein as anti-MBP if you don't mind.

22 A That is perfect.

23 Q Doctor, do you order or have you ordered
24 tests from Immunosciences in your own practice?

25 A Yes, I have.

MUMPER - CROSS

158

1 MR. JOHNSON: I want to show you a document
2 that I found on their website. I want to I guess mark
3 this as a trial exhibit.

4 Special Master, in the May hearing we were
5 up to Respondent's Trial Exhibit 12, so we're going to
6 start with Exhibit 13 if that's all right with you, or
7 would you prefer to do it --

8 THE COURT: I'm just trying to think of
9 what's going to be less confusing. Let's go with 13.
10 We'll probably renumber your exhibit then to be the
11 next --

12 MR. JOHNSON: The next sequence that
13 follows?

14 THE COURT: Yes. That makes more sense,
15 considering we're going to file these records in each
16 other's cases.

17 (The document referred to was
18 marked for identification as
19 Respondent's Trial Exhibit
20 No. 13.)

21 BY MR. JOHNSON:

22 Q Dr. Mumper, have you seen this before?

23 A I have seen the premier autism panel. I'm
24 not sure that I've seen it actually on this website
25 page.

MUMPER - CROSS

159

1 Q Is it your understanding that this is a
2 panel of tests that Immunosciences performs or offers
3 in connection with autism?

4 A That is correct.

5 Q In your opinion, is this the standard panel
6 of tests for autism?

7 A I would say no, this is not a standard panel
8 for autism.

9 Q Do you order any of these tests for your own
10 patients?

11 A Sometimes. Frankly, one of the reasons that
12 I don't order them very often is that they are
13 expensive, and there are other tests that I typically
14 would do first that might be able to be run through a
15 local lab.

16 But I have ordered a number of tests from
17 them, and I've also used their lab for some research
18 work that we've done.

19 Q You mentioned that the tests can be
20 expensive. Do you have an idea how much these tests
21 -- for example, the premier autism panel. If you
22 ordered all of those tests, how much would that cost?

23 A I think it's about \$600, but expanded panels
24 can be as much as like \$1,200.

25 Q You agree that autism cannot be diagnosed

MUMPER - CROSS

160

1 through laboratory testing, correct?

2 A I do agree.

3 Q The first Immunosciences Lab test that you
4 discuss in your report is the one that showed mildly
5 elevated IgM neurofilament antibodies, correct?

6 A That's correct.

7 Q And this was a blood test, correct?

8 A That's correct.

9 Q You state that this test result lends
10 support to the conclusion that Colin experienced a
11 neuroinflammatory process as described by Dr.
12 Kinsbourne, right?

13 A I did.

14 Q Dr. Kinsbourne said nothing about IgM
15 neurofilament antibodies being a marker for
16 neuroinflammation. Is that correct?

17 A I think that is correct.

18 Q And you're aware that a group of researchers
19 has looked for serum and CSF markers of inflammation
20 in autism. Is that right?

21 A That's correct.

22 Q And this was a study where the lead author
23 was Dr. Zimmerman? Is that correct?

24 A That's correct.

25 Q IgM neurofilament antibodies was not a

MUMPER - CROSS

161

1 marker that Dr. Zimmerman looked for in the serum or
2 CSF of his autistic subjects to detect
3 neuroinflammation. Is that correct?

4 A I actually do not know. I remember there
5 was a huge list of things they looked at in the CSF,
6 and the thing that was really markedly elevated was
7 interferon gamma, but I do not honestly recall if this
8 was on that list.

9 Q Are you aware that circulating antibodies to
10 neurofilament proteins have been demonstrated in many
11 disorders, such as Alzheimer's and ALS?

12 A Yes.

13 Q Isn't it just as likely that those findings
14 are secondary to the ongoing pathological process, as
15 opposed to being the cause of the process?

16 A The significance of that value to me was not
17 necessarily looking at cause versus effect, but as a
18 marker for neuroinflammation and reactive gliosis.

19 Q Isn't it true that certain medications could
20 also cause elevated levels of antibodies to
21 neurofilament protein?

22 A That may be true.

23 Q The second Immunosciences test result that
24 you discuss is the anti-MBP finding, which you state
25 is a marker for autoimmunity. Is that right?

MUMPER - CROSS

162

1 A That's correct.

2 Q The mechanism of neuroinflammation proposed
3 by Dr. Kinsbourne is not an autoimmune response. Is
4 that right?

5 A It is a neuroinflammatory response. With
6 immune dysregulation we will often see evidence of
7 autoimmunity, and we have evidence from the medical
8 records as early as four months of age that Colin may
9 have had immune dysregulation in that he exhibited
10 what was recorded as nummular eczema versus tinea
11 capitis.

12 So I don't know for sure whether that was
13 eczema or a fungal infection, but in either event it
14 is suggesting that at four months of age he had some
15 signs of immune dysregulation.

16 Q Dr. Kinsbourne is not proposing
17 demyelination as part of his mechanism in this case.
18 Is that correct?

19 A I think that's probably true. He's talking
20 about neuroinflammation and reactive gliosis.

21 Q And Dr. Pardo, upon whom Dr. Kinsbourne
22 relies, does not endorse an autoimmune basis for
23 autism. Is that right?

24 A That may be true. Again, to document
25 autoimmunity in a particular patient is not to say

1 that autoimmunity is the cause of autism.

2 Q And Dr. Deth's oxidative stress model is not
3 based on autoimmunity. Is that right?

4 A That's correct.

5 Q Neither the myelin basic protein nor IgM
6 neurofilament antibody test is diagnostic of any
7 disease. Is that right?

8 A That's correct.

9 Q They are very nonspecific findings.

10 A That's correct.

11 Q And isn't it true that these antibodies have
12 been reported as elevated in normal individuals with
13 no disease?

14 A That is true in some cases. Exactly.

15 Q And because these markers were measured in
16 the serum rather than the CSF, they provide no direct
17 evidence of what is going on in Colin's central
18 nervous system. Is that right?

19 A I guess I would quibble with how you get
20 direct evidence. In this case, to get direct evidence
21 of neuroinflammation I guess we would really need to
22 have done a brain biopsy on him in 2002.

23 I can tell you from personal experience that
24 even wanting to look at CSF in children with autism
25 for the presence of inflammatory markers is widely

MUMPER - CROSS

164

1 perceived as an invasive procedure, so those of us who
2 might want to be able to document it more directly are
3 constrained from doing so by standards of care
4 criticisms.

5 So we have to rely on other markers, and
6 it's not a direct marker, but I would argue that a
7 clinician would not have the ability to do a direct
8 assessment in a living child.

9 Q For whatever reason, that evidence is just
10 not present in this case, correct? The CSF testing is
11 not present in this case?

12 A That's true.

13 Q Do you know what protocol Immunosciences
14 used to perform these two lab tests?

15 A You know, I don't. I have visited
16 Immunosciences Labs on two occasions and talked to the
17 director and viewed their facilities, but I am not a
18 lab scientist so I can tell you that when I visited
19 and had it explained to me it made sense to me at the
20 time, but I could not reproduce the protocol.

21 Q Do you know how Immunosciences established
22 its reference ranges?

23 A I do not know the details of that, no.

24 Q Do you know whether these reference ranges
25 take the age factor into account? In other words, are

1 they normed for children?

2 A I do not think they are normed for children,
3 but for things like neurofilament antibodies and
4 myelin basic protein antibodies the values for
5 children would be expected to be less than people as
6 they aged and had more damage as a result of aging or
7 disease.

8 Q But you don't believe that these reference
9 ranges are normed for children?

10 A I do not think they are. That's correct.

11 Q Do you know whether Immunosciences Lab has
12 ever been accredited by the College of American
13 Pathologists?

14 A I do not know if they have. I do know that
15 their work, their lab reports, come with disclaimers
16 about use for research and careful clinical
17 applicability and those types of things.

18 Q Do you know whether Immunosciences is
19 currently performing any clinical testing?

20 A I believe they are not.

21 (The document referred to was
22 marked for identification as
23 Respondent's Trial Exhibit
24 No. 14.)

25 BY MR. JOHNSON:

MUMPER - CROSS

166

1 Q I'm going to show you what we've marked as
2 Respondent's Trial Exhibit No. 14. This is a letter
3 that I found on the Immunosciences website.

4 A Okay.

5 Q Doctor, have you seen this letter before?

6 A Yes, I did. Yes, I have.

7 Q And does this letter reflect that
8 Immunosciences has in fact stopped performing clinical
9 testing as of July 21, 2007?

10 A Yes, as I just testified to.

11 Q Do you know why Immunosciences stopped
12 performing clinical testing?

13 A My understanding from talking to Dr. Vojdani
14 and some Health Department officials is that his lab
15 was investigated for their testing as it related to
16 mold, looking for evidence of chronic mold exposure as
17 a potential cause of chronic illness.

18 My understanding from Dr. Vojdani is that
19 the investigation was perhaps precipitated by a Court
20 case in which mold testing had been used, and the
21 plaintiff who had claimed damage from mold had won a
22 huge settlement.

23 The Health Department was concerned about
24 the possibility of lawsuits being settled on the basis
25 of that mold test and wanted to investigate the lab

MUMPER - CROSS

167

1 with regard to that.

2 Q So it's your understanding that the problems
3 at Immunosciences Lab were limited to its mold
4 testing?

5 A Well, that is my understanding, but I have
6 not investigated all of the depth of the investigation
7 nor read any of the official documents, so I really do
8 not have full knowledge of that.

9 (The document referred to was
10 marked for identification as
11 Respondent's Trial Exhibit
12 No. 15.)

13 BY MR. JOHNSON:

14 Q I'm now going to show you Respondent's Trial
15 Exhibit No. 15, which is another letter that I found
16 on the Immunosciences website.

17 A Okay.

18 Q Doctor, have you seen this letter before?

19 A I believe I have, yes.

20 Q Did you receive this letter since it's
21 addressed to Our Valued Clients and Associates? Was
22 this sent to you?

23 A Yes.

24 Q This letter is signed by Dr. Vojdani.

25 A That's correct.

MUMPER - CROSS

168

1 Q And I believe you testified at the hearing
2 in May that you have an article in press regarding one
3 of your research projects on which Dr. Vojdani is the
4 lead author. Is that right?

5 A That is correct.

6 Q Do you know what C-L-I-A or CLIA stands for?

7 A CLIA. Certified Laboratory -- I can't
8 remember because we always refer to it by the acronym.
9 I'm sorry.

10 Q Okay. Just for the record, it's Clinical
11 Laboratory Improvements Amendments of 1988, and we'll
12 just refer to it as CLIA for ease of reference.

13 A Okay.

14 Q Do you know what CMS is?

15 A According to the letter, it might be Centers
16 for Medicare and Medicaid Services.

17 Q That's correct. CMS regulates all clinical
18 laboratory testing on humans in the United States
19 through CLIA in order to ensure quality laboratory
20 testing. Is that right?

21 A Uh-huh.

22 Q Dr. Vojdani's letter states in the third
23 paragraph that CMS had found deficiencies during a
24 2004 CLIA survey of Immunosciences that led it to
25 conclude that the lab's test results since 2002 may

MUMPER - CROSS

169

1 not be accurate and reliable. Were you aware of those
2 findings by CMS?

3 A Yes, since I got this letter.

4 (The document referred to was
5 marked for identification as
6 Respondent's Trial Exhibit
7 No. 16.)

8 BY MR. JOHNSON:

9 Q I'm now going to show you Respondent's Trial
10 Exhibit 16, and this is a letter from CMS. Doctor,
11 have you seen this letter before?

12 A Yes, I have.

13 Q Did you receive this letter?

14 A Yes, I did.

15 Q This letter does in fact say at the
16 beginning of the second paragraph on the first page
17 that:

18 We are writing both to inform you of the
19 current sanction action and to alert you that test
20 results you received since June 10, 2002, from
21 Immunosciences Lab, Inc. may not be accurate or
22 reliable. Is that what that says?

23 A That's correct. I would like to add for the
24 Special Master that when I received this letter I did
25 call Mary Jew as suggested in the last line. I can't

MUMPER - CROSS

170

1 remember the details now, but I talked to three
2 different people on the staff.

3 I tried to get information about what
4 particular concerns they had because I was trying to
5 figure out for the labs that I had done on my patients
6 if this were a global concern or if it was related to
7 the mold or if there were tests that I was using that
8 I may still be able to rely upon.

9 I was very frustrated in not being able to
10 find out from those people, who I think their hands
11 were tied in terms of talking about an ongoing
12 investigation, what the problems were.

13 (The document referred to was
14 marked for identification as
15 Respondent's Trial Exhibit
16 No. 17.)

17 BY MR. JOHNSON:

18 Q We may be able to provide some of that
19 information now. I'm going to show you now what's
20 been marked as Respondent's Trial Exhibit 17.

21 This is the CLIA Annual Laboratory Registry
22 from 2005. Have you seen this document before?

23 A No, I have not.

24 Q Look on page 5 of this document. Does this
25 indicate that Immunosciences' CLIA certification was

MUMPER - CROSS

171

1 being revoked due to condition level noncompliance?

2 A Cancellation of approval to receive medicare
3 payment due to noncompliance. Yes.

4 (The document referred to was
5 marked for identification as
6 Respondent's Trial Exhibit
7 No. 18.)

8 BY MR. JOHNSON:

9 Q I'm going to show you Respondent's Trial
10 Exhibit 18. These are actually excerpts from a much
11 larger report.

12 This is a report from the survey that CMS
13 did of the Immunosciences Lab. Based on your review
14 of this document, does that appear correct to you?

15 A Based on my 30 second review, that does
16 appear to be correct.

17 Q If you'll turn to the fifth page of the
18 trial exhibit? This document lists a number of
19 findings in connection with Immunosciences' general
20 immunology testing. Is that correct?

21 A It appears that that is correct.

22 Q Were you aware that CMS noted problems at
23 Immunosciences Lab in connection with its failure to
24 follow written policies and procedures for an ongoing
25 mechanism to monitor, assess and correct problems in

MUMPER - CROSS

172

1 the preanalytic systems?

2 A No. I did not have access to that
3 information.

4 Q And were you aware that CMS found that the
5 laboratory failed to determine calibration procedures
6 and control procedures based on established
7 performance application?

8 A No. I wasn't aware of the specifics.

9 Q And were you aware that CMS found that
10 Immunosciences Laboratory failed to verify the
11 continued accuracy of the test system throughout the
12 laboratory for portable (sic) range of test results?

13 A I'm sorry. What was that phrase? A
14 portable range?

15 Q Reportable range.

16 A Oh, reportable range.

17 Q This is subparagraph (g).

18 A That appears to be what the document says.
19 I was not aware of the specifics.

20 Q Okay. And under subparagraph (i), the CMS
21 found that Immunosciences Laboratory failed to
22 establish the statistical parameters of unassayed
23 control materials used for its various in-house ELISA
24 test systems?

25 A I was not aware of that.

MUMPER - CROSS

173

1 Q And these findings all relate to
2 Immunosciences' general immune testing. Is that
3 correct?

4 A It would appear that that's the case.

5 Q Okay. And if you'll now look on the next to
6 the last page of the trial exhibit?

7 Were you aware that CMS found with respect
8 to the anti-MBP and neurofilament test in particular
9 that Immunosciences failed to have written policies
10 and procedures for patient preparation, specimen
11 collection, specimen storage and preservation,
12 conditions for specimen transportation and specimen
13 acceptability and rejection?

14 A And what was the date of that that it was
15 not in place? It seemed to be on the website when you
16 cited it earlier and when we sent specimens in 2003 we
17 were able to obtain written instructions about the
18 specimen submitted. They came actually in the test
19 kit.

20 Q I believe this was a survey from 2004, so
21 CMS had apparently found at this time that at least
22 whatever written procedures that they had were not
23 adequate. Is that correct?

24 A Well, that may be what they found. What I
25 was trying to explain to you is as a clinician the

MUMPER - CROSS

174

1 test kits come in a box. They're the tubes and then a
2 series of explanations about how the specimens need to
3 be prepared.

4 So I can only testify as to what I know and
5 to what you show me that's in the lab document, but
6 I'm trying to explain that we had procedures to follow
7 when we submitted our blood samples in 2003.

8 Q And all I'm asking you is that at the time
9 that CMS performed this survey it found those aspects
10 of Immunosciences Laboratory's practice to be
11 inadequate. Is that correct?

12 A Yes. That would be apparent from the
13 document.

14 Q Okay. And if you now want to look at the
15 last page of the trial exhibit?

16 Isn't it also true that CMS found at the
17 time it performed this survey that with respect to the
18 anti-MBP test and the neurofilament test that
19 Immunosciences failed to provide documentation to show
20 the laboratory director's review and approval for
21 those procedures?

22 A It does suggest that there was no
23 documentation to show his review and approval. I do
24 know from talking to him that he did review those
25 procedures, so how much of this was a matter of

MUMPER - CROSS

175

1 paperwork versus actual analysis I can't say.

2 Q In Dr. Vojdani's letter of January 16, 2006,
3 he indicates that Immunosciences planned to sue over
4 the survey results. Were you aware of that?

5 A I wasn't sure if he was going to sue. He
6 said vigorously fight or something that effect, which
7 I wondered if he meant to go through administrative
8 channels. So I didn't know the specifics of what he
9 meant by that.

10 THE COURT: And that was referring to
11 Respondent's Trial Exhibit No. 15?

12 MR. JOHNSON: Yes, Special Master. Thank
13 you.

14 (The document referred to was
15 marked for identification as
16 Respondent's Trial Exhibit
17 No. 19.)

18 BY MR. JOHNSON:

19 Q We have a copy of the settlement agreement
20 from that lawsuit. It's been marked as Respondent's
21 Trial Exhibit 19. Focusing on paragraphs 1, 2 and 3,
22 if you want to review those?

23 (Pause.)

24 A Okay.

25 Q It appears that one of the conditions of the

Heritage Reporting Corporation
(202) 628-4888

MUMPER - CROSS

176

1 settlement was that Immunosciences would obtain
2 accreditation through the College of American
3 Pathologists or else it would voluntarily withdraw
4 from the CLIA program and cease testing on human
5 specimens. Is that correct?

6 A That does seem to be the case.

7 Q Based on the fact that Immunosciences is no
8 longer performing clinical testing, isn't it
9 reasonable to infer that they did not receive
10 accreditation through the College of American
11 Pathologists?

12 A Or that they chose not to pursue it I would
13 think would be the two possibilities.

14 Q Doctor, based on this information, do you
15 have any concerns about the reliability of the
16 Immunosciences test results?

17 A Yes, I do. I was not aware that the MBP or
18 the neurofilament testing was under contention, and if
19 that were the only thing that I was relying upon to
20 make my judgment I would be concerned that I had over
21 read the labs.

22 So I would give relatively less credence or
23 perhaps even be forced to discount the reliability of
24 those two particular lab tests given the information
25 in the settlement agreement, which I wasn't privy to

1 knowing the details of.

2 Q The next test results that you discuss in
3 your report are results from Great Smokies Lab, which
4 purport to show abnormal glutathione, lipid peroxide,
5 cystine and plasma sulfate levels. Is that correct?

6 A That's true.

7 Q This testing was done in July and December
8 2002. Is that correct?

9 A That's correct.

10 Q So that would have been when Colin was in
11 July approximately three and a half years old and then
12 in December a little over four years old. Is that
13 right?

14 A That's correct.

15 Q So to the extent that these results indicate
16 anything about whether Colin was in oxidative stress
17 at the time, they don't tell us whether Colin was in
18 oxidative stress at the time he received his
19 immunizations. Is that correct?

20 A That's exactly correct.

21 Q These tests were blood tests? Is that
22 correct?

23 A That's correct.

24 Q Do you know whether these tests were normed
25 for children?

MUMPER - CROSS

178

1 A I do not know the answer to that question.

2 Q And as you note in your report, a number of
3 other factors can explain oxidative stress, such as
4 poor nutrition. Is that right?

5 A That's correct.

6 Q Would you agree that a mercury efflux
7 disorder is still a hypothesis at this point?

8 A Yes.

9 Q Low cystine and plasma sulfate levels can't
10 be diagnostic of that disorder. Is that right?

11 A That's correct.

12 Q And those levels could also be explained by
13 a number of other factors. Is that right?

14 A That's correct.

15 Q The next testing data that you discuss in
16 your report is the mercury testing.

17 You talked about some of the results during
18 your direct testimony, but I'd like to go through all
19 of the mercury testing that's in the record if you
20 don't mind.

21 A Okay.

22 Q The first test that we were able to locate
23 is at Petitioners' Exhibit 4, page 131. I believe
24 this is one that you did discuss. This was a test.
25 The specimen was collected on April 19, 2002. Is that

1 right?

2 A Uh-huh.

3 Q And this was a red blood cell elements test?
4 Is that right?

5 A Yes.

6 Q And as I believe you testified -- or I can't
7 remember if you testified actually -- was there any
8 chelating agent administered in connection with this
9 test?

10 A Not in connection with this test. I would
11 have to correlate it with Dr. Bock's notes and the
12 parent history to know if he was actually getting a
13 chelating agent during this time.

14 Q But as you sit here today, you have not
15 tried to make that correlation?

16 A I looked at the records with the labs, but I
17 can't recall if he was on chelating agent or not.

18 Should we find that out, or do you already
19 know the answer?

20 Q I don't know the answer actually. If you'd
21 like to look, that would be fine.

22 (Pause.)

23 A It would appear that 4-19-02 was the time of
24 the very first visit to Dr. Bock, so there is not
25 evidence that he would have been on a chelating agent

MUMPER - CROSS

180

1 at that time.

2 Q And the result from this test for mercury
3 was that it came back in the nondetectible limit. Is
4 that correct?

5 A Right.

6 Q The next test that we found was the
7 September 20, 2002, test, and this was a urine toxic
8 metals test. Is that correct?

9 A That's correct.

10 Q And I believe you testified that although
11 this report indicates that there was a chelating agent
12 administered, you don't believe that there was for
13 this particular sample. Is that right?

14 THE COURT: And you're referring to Exhibit
15 4, page 93?

16 MR. JOHNSON: Exactly. I apologize.

17 THE WITNESS: Yes. That's correct.

18 BY MR. JOHNSON:

19 Q And the result for this test was mercury was
20 in the nondetectible limit?

21 A That's correct.

22 Q The next test was the September 22, 2002,
23 test which is at Petitioners' Exhibit 4, page 90 and I
24 believe you testified that this was the post-
25 provocative test. Is that right?

MUMPER - CROSS

181

1 A That's correct.

2 Q This test result showed that mercury was at
3 17 micrograms per gram of creatinine. Is that
4 correct?

5 A That's correct.

6 Q The next test result that we found was from
7 November 3, 2002. That's when the sample was
8 collected. This was another urine toxic metals test.
9 Is that correct? This is Petitioners' Exhibit 4, page
10 85.

11 A That's correct.

12 Q This was a post-provocative test, correct?

13 A That is the way that it's labeled.

14 Q It appears that the chelating agent, DMSA,
15 was administered in connection with this test. Is
16 that right?

17 A I'd like to check the contemporaneous
18 medical record again if I could, please.

19 (Pause.)

20 THE COURT: Pages 11 and 12 may be helpful
21 to you.

22 THE WITNESS: I just found them. Thank you.
23 Okay. Now I'm there.

24 So, yes. I can't find anything in the
25 actual medical records that would say specifically

MUMPER - CROSS

182

1 about this lab test, but he did say decreasing DMSA to
2 100 I think is what he's saying. That may have been
3 on October 30. Yes.

4 BY MR. JOHNSON:

5 Q Okay. So that would indicate that Colin was
6 on DMSA at the time that this sample was collected?
7 Is that correct?

8 A Right.

9 Q And this test result showed no detectible
10 mercury. Is that correct?

11 A That's correct. It shows elevation in the
12 lead, which DMSA also helps mobilize.

13 One of the studies that we've done at ARI is
14 looking at the relative rates of lead and mercury
15 excretion. One of the patterns that we've seen is
16 that frequently lead will be elevated first and then
17 mercury will come out second, but there was not any
18 mercury coming out at this time on provocation.
19 That's correct.

20 Q All right. Let's look at the next test,
21 which is at Petitioners' Exhibit 4, page 75. This is
22 from a sample collected on December 11, 2002.

23 I believe this is a result that you did
24 discuss during your direct testimony. Is that
25 correct?

MUMPER - CROSS

183

1 A That is correct.

2 Q And this is the red blood cell element test?

3 A That's correct.

4 Q Again, as you testified, this test also
5 resulted in nondetectible mercury. Is that correct?

6 A Yes. That's the red blood cell test
7 reflecting no acute exposures.

8 Q Al right. Let's look at the next test that
9 we were able to find, which is at Petitioners' Exhibit
10 4, page 73,

11 This is from a sample collected on
12 December 29, 2002, and this is a urine toxic metals
13 test. Is that correct?

14 A That's correct.

15 Q The test report indicates that DMSA was
16 administered in connection with this test. Is that
17 correct?

18 A That's correct.

19 Q And this test also showed nondetectible
20 levels of mercury. Is that correct?

21 A That's correct.

22 Q And the last test that we were able to find
23 is at Petitioners' Exhibit 4, page 63. This is from a
24 sample collected on March 2, 2003. Is that correct?

25 A That's correct.

MUMPER - CROSS

184

1 Q And this is another urine toxic metals test?

2 Is that correct?

3 A That's correct.

4 Q And the report indicates that DMSA was
5 administered in connection with this test. Is that
6 right?

7 A That's correct.

8 Q And again the results from this test for
9 mercury was nondetected. Is that correct?

10 A That's correct.

11 Q So in the medical records there's only one
12 test that showed mercury outside of the reference
13 range. Is that correct?

14 A That's true.

15 Q And that was the provoked test from
16 September 22, 2002. Is that right?

17 A That's correct.

18 Q Doesn't Doctor's Data say in bold right on
19 the test report that reference ranges are
20 representative of a healthy population under
21 nonchallenge or nonprovoked conditions?

22 A That's true.

23 Q So we just don't know what the normal range
24 would be for a provoked test. Is that right?

25 A It is difficult to know what that would be

1 on a provoked test on either sick populations or
2 healthy populations.

3 Q The fact that on future post-provocation
4 tests Colin excreted no mercury, doesn't that indicate
5 that he wasn't having problems excreting mercury on
6 his own?

7 A Does the fact that on post-provocation
8 testing he was not excreting mercury imply that he was
9 actually able to excrete it on his own and therefore
10 did not need the provocation? Is that what you're
11 suggesting?

12 Q That's what I'm asking.

13 A I don't know if that's a conclusion you can
14 draw.

15 I'm taught that mercury excretion is
16 variable and dependent on a number of factors and so
17 that would be one of the things that I would consider,
18 but I would also consider the fact that he had mercury
19 stores that were not accessible to the chelating
20 agent.

21 Q So that would just be a possibility that you
22 would consider?

23 A Yes.

24 Q On page 6 of your report you go on to
25 discuss a number of other tests that you yourself

MUMPER - CROSS

186

1 state are not specific to any particular clinical
2 presentation or symptom and are widely recognized to
3 have causes other than metal toxicity or
4 neuroinflammation and are not at all specific to
5 autism spectrum disorders. Is that correct?

6 A That is correct.

7 Q Would you agree that the single post-
8 provocation test from September 2002 is the only
9 evidence in the record specific to mercury?

10 A That would be true.

11 Q If that test result were not reliable --
12 take it away; you can't rely on it -- would you still
13 be able to offer an opinion in this case that
14 thimerosal-containing vaccines contributed to Colin's
15 autism?

16 A Without that piece of evidence I would be
17 left with a number of lab tests that would be
18 consistent with, but not specifically suggestive of
19 that, so I guess that would be true.

20 Q And the post-provocation test from September
21 2002 is not specific to a particular species of
22 mercury. Is that right?

23 A That is true.

24 Q So it tells us nothing about Colin's
25 exposure to ethyl mercury as opposed to methyl

MUMPER - CROSS

187

1 mercury. Is that right?

2 A That's correct.

3 Q And none of the other tests that you're
4 relying on are diagnostic of mercury toxicity. Is
5 that right?

6 A That's correct.

7 Q In fact, none of the other tests that you're
8 relying on are diagnostic of exposure to mercury in
9 any amount. Is that right?

10 A That would be true.

11 Q And all of the other test results that
12 you're relying on could be explained by factors other
13 than exposure to thimerosal in vaccines. Is that
14 right?

15 A That's true. However, one would need to
16 correlate with the child's history or the child's
17 medical record what other things would be causing the
18 ongoing oxidative stress, depleted glutathione, mild
19 metabolic acidosis, abnormalities in amino acids, et
20 cetera.

21 Q And you've indicated in your report even
22 that something like poor nutrition can explain
23 oxidative stress and things of that nature?

24 A It can be a contributor to oxidative stress.
25 That's correct.

1 Q And there's not a single test in the record
2 that is diagnostic of neuroinflammation. Is that
3 right?

4 A That would be correct. I'm not aware of any
5 test that's diagnostic of neuroinflammation short of
6 biopsy and pathology, but I may have missed some.

7 Q And in fact I think you testified at the
8 hearing in May that you're not aware of any good
9 clinical markers for neuroinflammation. Is that still
10 your understanding today?

11 A I would say that if you had CSF markers of
12 inflammation that would be a nice, indirect test, but
13 I'm not aware of any gold standard test for
14 neuroinflammation.

15 Q In May you testified that you selected the
16 Mead case because he had a history of antibiotics,
17 multiple ear infections, respiratory infections,
18 allergies and asthma. Do you remember that testimony?

19 A Yes, I do.

20 Q Those facts are not present in this case,
21 are they?

22 A That's true.

23 Q Colin was generally a healthy baby. Is that
24 right?

25 A That's true.

MUMPER - CROSS

189

1 Q In fact, I think according to the record he
2 only had two ear infections during the first 20 months
3 of his life. Is that your understanding?

4 A I think that's correct.

5 Q You testified that you selected the King
6 case because of his mother's antibiotic use during
7 pregnancy and evidence of potential synergistic
8 reactions to other exposures. Do you remember that
9 testimony?

10 A That's correct.

11 Q And those factors are not present in Colin
12 Dwyer's case. Is that right?

13 A That's correct.

14 Q In both the Mead and King cases you
15 testified that your opinions were based in part on the
16 apparent efficacy of various treatment methods
17 employed by Dr. Green. Do you remember that
18 testimony?

19 A Yes, I do.

20 Q Isn't it true that Colin's parents reported
21 to Dr. Russell that the Defeat Autism Now treatment
22 protocols they tried were ineffective?

23 A They may have reported that. They did tell
24 me last night that they felt like some aspects were
25 associated with his progress, but one of the

MUMPER - CROSS

190

1 difficulties here is that he was receiving multimodal
2 interventions and so it is difficult to isolate the
3 efficacy or lack thereof of any isolated intervention
4 when behavioral strategies and biomedical strategies
5 were occurring at the same time.

6 Q So based on that answer, it's just we don't
7 know whether the treatments were effective?

8 A That's correct.

9 Q But at least at the time that the parents
10 were going to see Dr. Russell they reported that they
11 did not believe that they were effective. Is that
12 correct?

13 A I think that is reported in the record.

14 MR. JOHNSON: Thank you. That's all that I
15 have.

16 THE WITNESS: Thank you.

17 THE COURT: Dr. Mumper, you testified that
18 the low CO₂ levels have wide differential.

19 THE WITNESS: That's correct.

20 THE COURT: And that screaming before blood
21 draw or a child who screamed a lot would be within
22 that differential?

23 THE WITNESS: Yes.

24 THE COURT: And the records reflect that
25 Colin is a screamer?

MUMPER - CROSS

191

1 THE WITNESS: Yes, so that's why I was
2 trying to be very careful and say that that was a very
3 soft marker with all kinds of qualifications.

4 THE COURT: And you testified that the low
5 amino acid levels in Colin were another sort of soft
6 marker?

7 THE WITNESS: The fact that they were low, I
8 would classify that as more than a soft marker because
9 the fact that his methylation amino acids were so low
10 I think is pretty direct evidence, especially the very
11 low taurine of 14.

12 The issue is that because poor nutrition can
13 contribute to the low amino acids, you're looking at
14 an end result that may be from poor nutrition that
15 would nonetheless impact the ability of the
16 methylation biochemistry and the detoxification
17 biochemistry.

18 THE COURT: So it would be both a marker and
19 a cause?

20 THE WITNESS: That was a great way to put
21 it. Thank you.

22 THE COURT: The records reflect Colin as a
23 problem eater.

24 THE WITNESS: That's true.

25 THE COURT: And a problem protein eater.

MUMPER - REDIRECT

192

1 THE WITNESS: That's exactly true.

2 THE COURT: And that would affect amino
3 acids?

4 THE WITNESS: Yes. And that may well be why
5 they were so dramatically low across the board because
6 he was not taking in the precursors of protein, but
7 when he had the low levels that would go on and have
8 further impact on things like oxidative stress,
9 ability to detoxify.

10 THE COURT: I have no further questions.
11 Mr. Powers?

12 MR. POWERS: Yes, I do have questions for
13 redirect.

14 REDIRECT EXAMINATION

15 BY MR. POWERS:

16 Q Hello again, Dr. Mumper. I want to follow
17 up on some questions that the Respondent's attorney
18 asked you.

19 Do you recall a question that he asked you
20 if there were any medications that one might be taking
21 that are associated with elevated levels of IgM
22 neurofilament antibodies? Do you remember that
23 question?

24 A Yes, I do.

25 Q Do you see anything in the record indicating

MUMPER - REDIRECT

193

1 that Colin Dwyer was taking medication that would have
2 led to elevated IgM neurofilament antibody levels?

3 A No, I did not.

4 Q You were also asked a question about IgM
5 levels being associated with Alzheimer's and other
6 diseases. Do you remember that question?

7 A That's correct. I do.

8 Q Do you see anything in the medical records
9 suggesting that Colin Dwyer suffered from any disease
10 that would be associated with elevated levels of IgM
11 antibodies?

12 A Other than possibly neuroinflammation, no.
13 Not Alzheimer's, not other neurodegenerative diseases
14 that typically affect older people.

15 Q So there's nothing in the medical record
16 implicating any other drugs or any other diseases
17 other than what you've described that would be
18 associated with his elevated levels. Is that correct?

19 A That's correct.

20 Q You were also asked whether these antibody
21 tests, the neurofilament antibody tests, were
22 diagnostic of autism. Do you remember that question?

23 A Not specifically, but I may well have been
24 asked it.

25 Q The autism diagnosis in this case.

MUMPER - REDIRECT

194

1 A Right.

2 Q Who reached that autism diagnosis when you
3 go back to the medical records?

4 A Well, that diagnosis was reached by the
5 pediatric neurologist, and the important factor is
6 that autism is fundamentally a disease that's
7 diagnosed on the basis of history and symptoms.

8 There are a list of criteria, and therefore
9 the constellation of criteria is what is able to make
10 the diagnosis. That's why we're so careful to say
11 that there is no currently available biomedical marker
12 for autism.

13 Q And you're certainly not claiming in your
14 opinion that your assessment of Colin as suffering
15 from an autism spectrum disorder, that specific
16 conclusion does not rely on any lab result, does it?

17 A Oh, that's absolutely correct.

18 Q You also were asked questions about
19 reference ranges and the normalization of those ranges
20 for children versus adults. Do you recall that line
21 of questioning?

22 A Yes, I do.

23 Q It was your testimony that the levels of the
24 normal ranges, you would actually expect a child to be
25 lower, typically to be lower within the reference

MUMPER - REDIRECT

195

1 range than an adult would be, correct?

2 A I would expect that, but if the actual
3 survey data has not been collected I'm not aware that
4 I could prove that.

5 Q But if the reference ranges are set to fit
6 within an adult, an adult would typically be expected
7 to have more of these neurofilament antibodies in his
8 or her system as a consequence of aging, correct?

9 A That's correct. That's my understanding.

10 Q So in that sense would it be fair to say
11 that the reference range as it applies to children,
12 again you would expect children to be lower?

13 A That's correct.

14 Q And it's a very conservative range?

15 A That's correct.

16 Q So in a sense these mildly elevated levels
17 in a child based on the adult range are a higher
18 elevation than it would be for an adult, correct?

19 MS. RICCIARDELLA: Objection, Special
20 Master. Counsel is testifying. Should we swear in
21 Mr. Powers?

22 BY MR. POWERS:

23 Q Would it be your testimony that --

24 A What I would say is that expecting children
25 not to have evidence of neuroinflammation or

MUMPER - REDIRECT

196

1 neurodegenerative diseases, a level of 53 or 57 in a
2 toddler would be more concerning or more unexpected to
3 me than those same levels in a middle-aged or elderly
4 person. Is that fair? Yes.

5 Q You were asked a question about the mercury
6 efflux disorder as being a hypothesis. Do you
7 remember that question?

8 A Yes.

9 Q And what was your answer to that question?

10 A I don't remember.

11 Q Would you trust me if I said that your
12 answer was that yes, it was a hypothesis?

13 A Yes. It is one of the things that we are
14 postulating in regard to causation. It's part of the
15 model. Is that fair?

16 Q Yes. Well, it's your answer so if that's
17 your answer then are you aware of testimony or facts
18 that are in evidence through the Mead and the King
19 cases supporting the idea that mercury efflux is an
20 actual condition that may exist in people?

21 A That was I think the substance of Dr.
22 Aposhian's testimony in the Mead and King cases.

23 Q And would you be relying on Dr. Aposhian's
24 testimony to posit the idea that children might have a
25 mercury efflux disorder?

MUMPER - REDIRECT

197

1 A Yes, I do. Through my discussions with Dr.
2 Aposhian, he has a vast amount of experience with
3 heavy metals, particularly mercury, that predates mine
4 and so, yes, I do rely on his analysis of the
5 experiments and the literature.

6 Q You were also asked questions about what a
7 normal result would be of a post-provocation urine
8 test for metals. Do you recall that question?

9 A Yes, I do.

10 Q And do you recall saying that one doesn't
11 know exactly what a normal result would be? Do you
12 remember that discussion?

13 A Right.

14 Q Do you consider a lab result with a finding
15 that is five times or greater beyond the reference
16 range to be normal under any definition of normal?

17 A I do not consider that to be normal. I
18 consider it very concerning.

19 And I do think that one of the difficulties
20 we're going to have in setting norms is to find people
21 that are not living in an industrial society and are
22 not exposed to heavy metals because I think the
23 normals pre Industrial Revolution would have been very
24 different than the so-called normals now.

25 Q And whatever the reference range is, would

MUMPER - REDIRECT

198

1 you describe Colin Dwyer's post-provocation urine test
2 where it was five times beyond the reference level,
3 would you describe that as normal or abnormal?

4 A Abnormal.

5 Q You were also asked whether there was
6 anything else in the record specific to mercury
7 exposure other than the provoked chelation tests.

8 A Yes.

9 Q Would you consider Colin Dwyer's
10 immunization record to be evidence of mercury
11 exposure?

12 A Oh, that is evidence of mercury exposure.
13 That's correct.

14 Q You were also asked about whether there is
15 evidence of acute mercury toxicity in Colin Dwyer. Do
16 you recall that?

17 A No, I don't. I do not find evidence of
18 acute mercury intoxication in him, however.

19 Q Okay. The lack of evidence of acute mercury
20 intoxication. Does that change your opinion at all
21 about whether thimerosal-containing vaccines
22 contributed to his regression?

23 A No, it does not. With thimerosal-containing
24 vaccines and the mechanism as we best understand it,
25 the concern is for relatively low amounts to cross

MUMPER - REDIRECT

199

1 into the immature brain and incrementally increase
2 over time with a great difficulty in getting it out of
3 the brain, a very long half life.

4 So we have never intended to imply acute
5 mercury toxicity. Our concerns are much more with
6 chronic exposure that accumulates at a critical
7 developmental window and so in this particular case
8 one of the things that concerns me now is that he
9 received a very large load very early -- the Hepatitis
10 B at birth, 13 days and seven weeks -- and then the
11 other routine immunizations.

12 So at a time when his brain was undergoing a
13 tremendous amount of important work, he received
14 thimerosal-containing vaccines.

15 Q And again what is your opinion as to what
16 the mechanism of injury is in this particular case?
17 That is, is it an acute exposure to mercury or is it
18 something else?

19 If it's something else, exactly what is your
20 medical opinion here as to what contributed to Colin's
21 autism?

22 A My opinion is that he received a series of
23 thimerosal-containing vaccines and that he was subject
24 over time to accumulation in his brain; that it was a
25 chronic exposure, not acute, and that his symptoms

1 manifested later as a result of this chronic
2 deposition in his brain, kidney, fat and potentially
3 lymphatic glands.

4 Q Finally, you were asked a series of
5 questions after each of these lab results as to
6 whether that lab result is indicative of either autism
7 or mercury body burden. Do you remember those
8 questions?

9 A Yes, I do.

10 Q Is it your testimony today that you're
11 relying on any one individual test to inform and base
12 your opinion on?

13 A No. Quite the opposite. It's the
14 constellation of laboratory values in conjunction with
15 the most important piece, which is the history of the
16 child.

17 There is no easily available biomarker for
18 autism that I'm aware of. I've talked to a lot of
19 researchers about that very issue.

20 Q Would it be fair to say that it's the
21 collection of this wide range of tests that informs
22 your opinion rather than any one test result in and of
23 itself? Is that fair?

24 A That's absolutely correct, but the pattern
25 that is striking to me in this case is the number of

MUMPER - RE-CROSS

201

1 different labs that collectively support a picture of
2 a child with known mercury exposure, known excretion
3 of mercury with provocation and then multiple other
4 lab tests that would be evidence of the metabolic
5 processes going on in his body that were either
6 causally or subsequent to those kinds of problems with
7 toxicity.

8 MR. POWERS: I have no further questions.
9 Thank you, Special Master.

10 THE COURT: Mr. Johnson?

11 RE-CROSS-EXAMINATION

12 BY MR. JOHNSON:

13 Q Dr. Mumper, with respect to the
14 September 22, 2002, post-provocation mercury test you
15 just testified that it's your belief that that result
16 is abnormal, correct?

17 A That's correct.

18 Q There is no data that would support that
19 statement. Is that correct? There is no data to show
20 what normal reference ranges would be for post-
21 provocation testing. Is that correct?

22 A To my knowledge, that is true.

23 MR. JOHNSON: Thank you.

24 THE COURT: Let me get this straight, Dr.
25 Mumper. I want to make sure I understand that.

MUMPER - RE-CROSS

202

1 If I took 100 three-year-olds off the street
2 out in front of the White House today and we chelated
3 them, you're telling me that there is no data that
4 would give us a reference range for where they would
5 fall on mercury post-chelation?

6 THE WITNESS: I'm not aware that that has
7 been done. It desperately needs to be done. One of
8 the things that we are doing at our research institute
9 is to try to compare porphyrin testings in normal
10 children versus controls because that data has not
11 been established.

12 It's classically hard to get people to
13 volunteer their children at very young ages for
14 research experiments in which they're being used just
15 to set a control -- I've tried to do it in my practice
16 -- especially if it involves anything either invasive
17 or troublesome like taking home a kit and collecting a
18 first morning urine and bringing it back.

19 It's difficult to get people to participate
20 in that, but I agree that it definitely needs to be
21 done.

22 THE COURT: Okay. And there is no data then
23 that would show in anyone the increase between
24 pre-chelation and post-chelation levels of lead or
25 mercury?

MUMPER - RE-CROSS

203

1 THE WITNESS: There is data that shows that
2 it increases, but the quantification of the amounts
3 that correlate with a specific body burden have not
4 been determined, to my knowledge.

5 THE COURT: When we chelate and we measure
6 the amount of mercury excreted afterwards -- mercury,
7 lead, whatever heavy metal --

8 THE WITNESS: Right.

9 THE COURT: -- I understood that to be a
10 measurement of body burden of mercury.

11 THE WITNESS: It is reflective of an
12 increased body burden.

13 I'm saying that what I don't have is the
14 data to tell you that a four-year-old child would go
15 from .01 micrograms per gram of creatinine to 17
16 micrograms per gram of creatinine if he had a total
17 body burden of X grams of mercury. I don't know how
18 to get that information.

19 THE COURT: What I'm having trouble
20 understanding is why you can say that 17 is
21 extraordinarily high. What do you base that on?

22 I'm not arguing with you, Doctor. I just
23 want to understand --

24 THE WITNESS: Yes.

25 THE COURT: -- what the basis for the

1 opinion is if there is no reference.

2 THE WITNESS: The basis for my opinion I
3 would have to say is discussions with leaders in the
4 toxicology field and extrapolations from experiences
5 in older populations, but there is a dearth of that
6 information in the pediatric population.

7 THE COURT: Okay. Questions from either
8 side based on mine?

9 MR. POWERS: Not from the Petitioner,
10 Special Master.

11 THE COURT: All right.

12 MR. JOHNSON: Nothing further for
13 Respondent.

14 THE COURT: Dr. Mumper, you may step down.
15 (Witness excused.)

16 THE COURT: Mr. Powers, Mr. Ferrell, where
17 are we going from here?

18 MR. POWERS: Well, Dr. Mumper was the last
19 of the three witnesses Petitioner planned to call in
20 this case, so we're done with our case in chief in
21 Colin Dwyer's claim for compensation.

22 THE COURT: Okay. Government, are you
23 prepared to proceed with your first witness?

24 MS. RICCIARDELLA: Your Honor, I know it's a
25 little early for a lunch break, but if we could have a

LEVENTHAL - DIRECT

205

1 break before we put on our witness?

2 THE COURT: How much time do you need? It
3 is early for a lunch break, and I anticipated that we
4 would be pushing on through lunch in order to ensure
5 that we get Dr. Leventhal out of here.

6 MS. RICCIARDELLA: If we're going to push on
7 through lunch, if we could have a half an hour now?
8 My direct is not going to be that long.

9 THE COURT: Okay. You're the one who has to
10 get him out of here on time.

11 MS. RICCIARDELLA: I understand.

12 THE COURT: So if you need a half an hour,
13 we'll reconvene then at let's make it five after.

14 (Whereupon, a short recess was taken.)

15 THE COURT: All right. We're back on the
16 record.

17 Dr. Leventhal is on the stand. Would you
18 raise your right hand?

19 Whereupon,

20 BENNETT LEVENTHAL

21 having been duly sworn, was called as a
22 witness and was examined and testified as follows:

23 THE COURT: Thank you.

24 Ms. Ricciardella, you may proceed.

25 DIRECT EXAMINATION

LEVENTHAL - DIRECT

206

1 BY MS. RICCIARDELLA:

2 Q Good morning, Dr. Leventhal. Would you
3 please state your name and current position for the
4 record?

5 A My name is Bennett Leventhal. I'm a
6 Professor of Psychiatry at the University of Illinois
7 College of Medicine in Chicago.

8 Q And could you please spell your name for the
9 record?

10 A My first name is spelled B-E-N-N-E-T-T, and
11 my last name is spelled L-E-V-E-N-T-H-A-L.

12 Q And would you please briefly review your
13 educational background since high school?

14 A I completed my undergraduate -- well, sort
15 of completed my undergraduate -- training at Louisiana
16 State University. Then I went to medical school at
17 Louisiana State University in New Orleans.

18 I was a house officer the first year at
19 Charity Hospital in New Orleans and completed my
20 residency in general psychiatry and child and
21 adolescent psychiatry at Duke University in Durham,
22 North Carolina.

23 Q And do you hold any board certifications?

24 A I'm board certified in general psychiatry,
25 and I'm also board certified in child and adolescent

1 psychiatry.

2 Q And do you hold any licenses?

3 A I'm licensed to practice medicine in North
4 Carolina, Virginia, Louisiana, Indiana and Illinois.

5 Q And would you briefly describe your academic
6 employment history?

7 A When I finished my residency I was on the
8 clinical faculty at Duke while I was in the Navy and
9 was also on the faculty at Eastern Virginia Medical
10 School.

11 Then I moved to the University of Chicago,
12 starting there part-time in 1978, full-time in 1980,
13 and I remained there until 2005 when I moved to the
14 University of Illinois.

15 Q And are you a member of any professional
16 societies or organizations?

17 A I'm a member of a lot of them.

18 Q Highlight a few for us, please.

19 A Probably too many. American Psychiatric
20 Association, American Academy of Child and Adolescent
21 Psychiatry, American Association for Advancement of
22 Psychiatry, Society for Biological Psychiatry. That's
23 probably enough.

24 Q And have you ever been honored for your work
25 in autism specifically?

LEVENTHAL - DIRECT

208

1 A I have been fortunate enough to be honored a
2 couple times.

3 Q Could you just describe a few of those
4 honors?

5 A I've received awards from the organization
6 called MAAP, which is the More Able Autistic Persons,
7 higher functioning autistic individuals. It's the
8 families. I've been honored by that organization.

9 I recently learned that I'm going to receive
10 an award from the American Academy of Child and
11 Adolescent Psychiatry for lifetime achievement in work
12 with individuals with developmental disabilities.

13 Q And when did you learn about that honor?

14 A Last week.

15 Q You mentioned that you're currently at the
16 University of Illinois at Chicago. Do you hold any
17 teaching positions there in your specialty?

18 A I'm a Professor of Psychiatry.

19 Q A full professor?

20 A I'm a full professor with tenure.

21 Q How long have you been teaching?

22 A Well, I started teaching when I was a
23 resident, but I've been teaching for 30 years or more.

24 Q Who do you teach?

25 A I teach residents in general psychiatry,

1 fellows in child and adolescent psychiatry, medical
2 students, residents in pediatrics, nursing students,
3 social work students, students in psychology, and then
4 I have graduate students who work with me on their
5 PhDs.

6 Q And what do you teach?

7 A I teach broadly in the area of child and
8 adolescent psychiatry, but probably spend most of my
9 time teaching about developmental disorders and issues
10 in normal and atypical child development not just
11 restricted to autism and developmental disorders,
12 although that's a large portion of my work.

13 Q Do you teach the diagnosis and assessment of
14 autism and other autism spectrum disorders?

15 A I do.

16 Q Do you teach internationally?

17 A I do.

18 Q Could you explain how you teach
19 internationally?

20 A I'm involved in a couple of organizations
21 that are interested in advancing child and adolescent
22 psychiatry practice and research and so I work with a
23 group in Europe called the European Academy of Child
24 and Adolescent Psychiatry.

25 I also work with a group in the Middle East

LEVENTHAL - DIRECT

210

1 called the Eastern Mediterranean Association of Child
2 and Adolescent Psychiatry where I go every year and
3 teach. We've done a lot of work in autism and
4 developmental disorders there.

5 And then I do some work in Korea, and I've
6 also taught in many other countries -- China, Japan,
7 Australia, New Zealand.

8 Q And who do you teach when you teach
9 internationally?

10 A Mostly physicians both in psychiatry, but
11 also pediatrics and pediatric neurology, but also
12 psychologists I suppose and then students in each of
13 those places.

14 Q Do you also give lectures to professional
15 groups or organizations about autism spectrum
16 disorders?

17 A I do.

18 Q To whom?

19 A Again, mostly to medical groups, although
20 also to psychologists, educators, special educators in
21 particular, but physicians in psychiatry, child and
22 adolescent psychiatry and pediatrics, pediatric
23 neurology primarily.

24 Q How often would you say that you give
25 lectures?

LEVENTHAL - DIRECT

211

1 A It would be unusual for me to go more than a
2 week without giving a public lecture. Maybe two
3 weeks. I suspect I give somewhere in the neighborhood
4 of 100 per year.

5 Q Do you also lecture internationally?

6 A I do.

7 Q Do you devote time to family-based
8 organizations pertaining to autism?

9 A I do.

10 Q Could you explain what you do?

11 A Well, I work, as I've indicated before, with
12 the MAAP organization, with the Autism Society of
13 America, with the local autism societies in Illinois,
14 not just with the state organization, but the regional
15 organizations.

16 I work with them and occasionally in other
17 states, particularly in Indiana, Iowa, Missouri. I
18 work with folks in those areas. I'm from Louisiana,
19 so occasionally I go back home and help out there,
20 even more so since the hurricane because they've had a
21 shortage of folks.

22 Q I'd like to talk about your experience as a
23 child psychiatrist over the past 30 years,
24 specifically as it pertains to autism spectrum
25 disorders. Do you currently have a clinical practice?

LEVENTHAL - DIRECT

212

1 A I do. I'm not in private practice. I never
2 have been. I'm in the university-based practice, but
3 I see patients through that practice.

4 Q Could you describe your clinical practice?

5 A Well, when I'm in town and not lecturing or
6 doing other things I probably see about 20 hours of
7 patients a week. Probably three-quarters of those are
8 developmentally disabled individuals.

9 Q Are you affiliated with any hospital?

10 A The University of Illinois Hospital, and I'm
11 also affiliated with a local hospital in Chicago
12 called Chicago Lakeshore Hospital where we have our
13 teaching inpatient service.

14 Q As part of your clinical practice, do you
15 diagnose children with autism spectrum disorders?

16 A Yes, ma'am, I do.

17 Q Approximately how many times have you
18 diagnosed a child with an ASD?

19 A I'm sure it's thousands.

20 Q Over the course of your career?

21 A Yes, ma'am.

22 Q Approximately how many do you diagnose per
23 month?

24 A Well, it sort of depends on the month and
25 what my travel schedule is, what we're doing at the

LEVENTHAL - DIRECT

213

1 time.

2 Right now we're engaged in a very large
3 study and so I might see as many as two to three new
4 cases a week when I'm in town. Sometimes it's as
5 little as one to two a week or one a week. So
6 somewhere between 50 and 200 new cases a year.

7 Q As part of your clinical practice, do you
8 treat children with autism?

9 A Yes, ma'am.

10 Q Approximately how many are you currently
11 following?

12 A Well, since they never go away they're with
13 me forever, which is great. I follow all my kids into
14 adulthood.

15 So if you do it long enough, 30 years, I
16 have probably 400, 500, 600 kids. They're not all
17 kids anymore, but they're kids to me.

18 Q What's the age range of your patient
19 population?

20 A One and a half to 50, 60.

21 Q Do you meet with parents as part of your
22 clinical practice?

23 A Absolutely. You can't practice without
24 parents.

25 Q Why is that?

LEVENTHAL - DIRECT

214

1 A Well, there are lots of reasons. First of
2 all, they're the ones who are in charge. They make
3 the decisions.

4 Secondly, we need them. I mean, they're the
5 ones that have the information. They know the child
6 far better than we ever will, and they are the ones
7 that end up having to bear the burden so supporting
8 them, making sure they understand what I understand
9 and I understand what they're thinking and feeling for
10 themselves, their child and their other kids is part
11 of the practice.

12 One of the problems in autism practice is
13 that the stress on families is just gigantic. There
14 are very high divorce rates, very high stress levels
15 in the families, and so if we're going to treat the
16 child we have to manage the stress levels in the
17 family and keep the families together. It's an
18 inherent part of the practice. You can't do it
19 without it.

20 Q Do you meet with other family members
21 besides the parents?

22 A Always. When I get done with an evaluation,
23 one of the things I do is I'll meet with the parents,
24 go through our findings, but then I routinely offer to
25 meet with everybody in the family so it's not uncommon

LEVENTHAL - DIRECT

215

1 that grandmothers, aunts, uncles, grandfathers,
2 cousins will come in. We sometimes have to use a
3 large conference room.

4 I explain to them what the disorder is and
5 what we understand about it, what the treatment is
6 going to be, and then I ask them to play a role both
7 in supporting the family, but also sometimes there are
8 things they can do quite specifically.

9 We also pay particularly close attention to
10 the children, the siblings. It depends on the
11 developmental level and so on, but they bear a
12 significant burden as well, and to the extent that
13 they can understand we want to explain it to them.

14 To the extent that they want to help, we
15 want to help them help. To the extent that they want
16 some of their own time away from it we help set that
17 up, so we have to include them in the process as well.

18 Q When a child is brought to you for an
19 evaluation, are you the one who makes the evaluation?

20 A Yes.

21 Q Do you take the history yourself?

22 A I take it myself. I do my own physical
23 exams, and I do the entire process with my own hands,
24 eyes and ears.

25 Q Now, you mentioned that you currently have a

LEVENTHAL - DIRECT

216

1 study underway. Do you also have a research practice?

2 A I have a rather large research practice.

3 Q Could you describe your research practice?

4 A Well, we're doing a number of studies.

5 Right now I'm a part of one of the five NIH designated
6 autism centers of excellence, so we have --

7 Q What's an autism center of excellence?

8 A The National Institutes of Health a couple
9 years ago decided that they needed to create centers
10 that had the capacity to bring a lot of expertise to
11 bear on the study of autism, and they had a
12 competition amongst various academic centers around
13 the country.

14 There were five or six selected to receive
15 large grants to set up the infrastructure to provide
16 research support in autism, and we were one of those
17 centers.

18 Q And when you say we, who is we?

19 A Well, there are a large group of us
20 scientists and clinicians who are involved in the
21 center. Ed Cook is the principal investigator. I'm
22 one of the co-investigators. I run actually the
23 clinical core for that center, so I'm responsible for
24 all the evaluations, for all the patients that are in
25 those studies.

LEVENTHAL - DIRECT

217

1 And then we are doing a number of research
2 projects ranging from MRI studies, brain imaging
3 studies, to pharmacogenetic studies, understanding how
4 genes may predict the response to certain medications,
5 understanding some of the very critical elements of
6 the disorder.

7 One in particular is the difficulties with
8 insistence on saying that it's the inflexibility of
9 the peculiar stereotype behaviors that are an inherent
10 part of autism are often quite disabling, so we're
11 trying to understand not only the biological
12 substrates of those, but perhaps how that contributes
13 to the genotype of the disorder.

14 And then we're doing also some preclinical
15 studies with animals. We're working on a project to
16 try to breed animals that may exhibit some of the
17 symptoms of autism.

18 Obviously mice and rats can't do the same
19 thing, but if we can build some models then we may be
20 able to think about both understanding causality, but
21 also specific kinds of treatment for specific
22 symptoms.

23 Q Were you one of the authors of the Autism
24 Diagnostic Observation Schedule, also known as ADOS?

25 A Yes, I was.

LEVENTHAL - DIRECT

218

1 Q What did your participation entail with
2 that?

3 A Well, from the beginning where we had to
4 determine the items, the presses that are used in
5 that, then executing them, then assessing whether they
6 were working or not and then restructuring it and
7 doing it again until we found an instrument that was
8 highly reliable and valid. That was through most of
9 the process.

10 Q Now, according to your CV you've published
11 over 120 articles related to child psychiatry. Does
12 that sound correct?

13 A Yes. Probably a few more since then.

14 Q Are those all peer reviewed?

15 A Yes.

16 Q Do any pertain to autism spectrum disorders?

17 A Yes.

18 Q According to your CV, you've also published
19 20 books and book chapters. Does that sound correct?

20 A That's about right.

21 Q Do any pertain to autism spectrum disorders?

22 A I'm sure some of them do.

23 Q Now, according to your CV you currently
24 serve on the Panel of Professional Advisors of the
25 Autism Society of America. What is the Autism Society

LEVENTHAL - DIRECT

219

1 of America?

2 A It's a very large parent organization, an
3 advocacy group that's concerned about the research,
4 but also services, legislation related to individuals
5 who have autism.

6 Q And what does it mean to serve on the Panel
7 of Professional Advisors?

8 A I was invited to be part of a group that
9 advises the organization on scientific matters, so
10 they send questions to us, ask us to help them try to
11 set scientific policy. We provide them advice and
12 guidance. The organization sets it. It's not ours to
13 do.

14 Q Your CV also states that you're currently a
15 member of the Board of Advisors of the Association for
16 Science and Autism Treatment. What is that?

17 A That's another organization that's trying to
18 look at evidence-based practices. There's a group of
19 advisors to them who review studies and try to help
20 them ascertain whether they meet a sufficient
21 scientific standard to become part of practice.

22 Q Are you a reviewer for any journals?

23 A I review for lots of journals.

24 Q Could you name a few?

25 A *American Journal of Psychiatry, Archives of*

LEVENTHAL - DIRECT

220

1 *General Psychiatry, Journal of the Academy of Child*
2 *and Adolescent Psychiatry, Journal of Child*
3 *Psychology, Psychiatry in Allied Professions, New*
4 *England Journal of Medicine, Journal of the American*
5 *Medical Association, Pediatrics.*

6 Q That's enough.

7 A Too many.

8 Q Now, your CV has been filed as Respondent's
9 Exhibit DD in this case. Is Respondent's Exhibit DD
10 an accurate summary of your publications, background
11 and experience?

12 A Yes, ma'am.

13 Q Doctor, have you ever testified before in a
14 Court of law?

15 A I have.

16 Q Approximately how many times?

17 A Maybe 15 or 20 times.

18 Q Could you describe the types of cases?

19 A Probably the two most common are cases
20 related to child abuse and neglect or marriage and
21 divorce cases. I've also been involved in a few other
22 odds and ends.

23 Q Have you ever testified in the vaccine
24 program before?

25 A No, ma'am.

LEVENTHAL - DIRECT

221

1 Q Have you ever consulted for a pharmaceutical
2 company?

3 A I have.

4 Q Could you explain?

5 A I've consulted with pharmaceutical companies
6 on study design and most recently with Johnson &
7 Johnson as we tried to help them bring Risperdal into
8 the market. It was the first drug that has an FDA
9 indication for autism.

10 They were going to drop that because it was
11 about to go off patent and so they tried to move it
12 along, and some of us helped consult with getting that
13 through the FDA process so we now at least have one
14 drug that's officially approved for autism.

15 Q And why did you agree to testify for the
16 United States Government in this litigation?

17 A There are two reasons. One is a number of
18 my colleagues asked me to do it and said that it was
19 important, but I'm very concerned about families with
20 kids with autism, and I'm concerned that they might be
21 being led down the wrong track.

22 We work too damn hard to take care of them
23 to see them waste resources on things that are not
24 helping them and to put their kids in jeopardy. It's
25 just not something I can stand and so I think there's

LEVENTHAL - DIRECT

222

1 a chance to try to make a difference so I'll try.

2 Q I'd like to turn now to the facts of this
3 case, to the medical facts of Colin Dwyer. Did you
4 review the medical records that have been filed in
5 this case?

6 A I reviewed the materials that were given to
7 me. Yes, ma'am.

8 Q And did you listen to the testimony of Maria
9 Dwyer and Timothy Dwyer yesterday?

10 A I certainly did.

11 Q Were you present in the courtroom?

12 A Yes, ma'am.

13 Q And did you review the affidavits of Maria
14 and Timothy Dwyer?

15 A Yes, ma'am, I did.

16 Q Did you read the medical report filed by Dr.
17 Elizabeth Mumper in this case?

18 A Yes, ma'am, I did.

19 Q And were you present in the courtroom today
20 to listen to Dr. Mumper's testimony?

21 A Yes, ma'am, I was.

22 Q In your opinion, Doctor, did Colin's receipt
23 of thimerosal-containing vaccines cause or contribute
24 to his autism?

25 A No, ma'am.

LEVENTHAL - DIRECT

223

1 Q Do you agree with the diagnosis of autism in
2 this case?

3 A I think it's highly likely, but it's not
4 definitive.

5 Q In your opinion, has proper testing been
6 done on Colin?

7 A No, ma'am.

8 Q Could you explain what you mean by that?

9 A Well, there are standard diagnostic
10 procedures that are pretty much well accepted around
11 the world actually for the proper diagnosis of autism,
12 and the gold standard is using the Autism Diagnostic
13 Interview, the ADI, and the ADOS, the Autism
14 Diagnostic Observation Schedule, jointly. But then
15 you have to use collateral measures as well.

16 Some of them have been done with Colin the
17 Vineland social maturity scale, but cognitive testing
18 is also an inherent part of the process because one
19 has to be able to adjust the perspective on symptoms
20 based on cognitive functioning and language ability,
21 and cognitive testing, proper cognitive testing,
22 hasn't been done.

23 Q Over the course of your clinical practice,
24 have you evaluated and treated children with the same
25 symptoms as described in Colin Dwyer's medical

LEVENTHAL - DIRECT

224

1 records?

2 A I would say Colin Dwyer's medical record is
3 basically the record of most of the cases I've ever
4 seen.

5 Q Is there anything unique or different about
6 Colin's clinical course than in the autistic patients
7 that you have in your practice?

8 A No, ma'am.

9 Q Are you familiar with the term regressive
10 autism?

11 A Yes, ma'am. I've heard it.

12 Q When did you first hear that term?

13 A It started in the late 1990s, early 2000
14 range.

15 Q In what context? Do you know?

16 A Well, when Andrew Wakefield was trying to
17 make his case the notion that there was a unique group
18 of individuals who had a regression as part of their
19 disorder that was separate from the rest of autism
20 became part of the discussion. It never really
21 entered the scientific community.

22 There's no formal diagnosis called
23 regressive autism, and most of us have not -- despite
24 the fact that we tried very hard to see if this was a
25 distinct phenotype, we haven't been able to support

LEVENTHAL - DIRECT

225

1 that. It would be useful if it was a distinct
2 phenotype, but it turns out not to be.

3 Q Do you use the term regressive autism in
4 your practice?

5 A No, not at all.

6 Q From your review of the evidence, would you
7 characterize Colin as having suffered a regression?

8 A What I would characterize Colin as having is
9 a progressive illness that included loss of some
10 skills at certain points along the way, but it was a
11 progressive process. It wasn't like he was motoring
12 along and dropped off the edge of the cliff and then
13 went forward.

14 Q How would you describe Colin's condition?

15 A Well, again I haven't seen Colin, but at
16 least from the records it would sound like this was a
17 child, as is often the case with autism, who had what
18 was apparently a normal pregnancy and delivery with
19 few odd things, completely nonspecific, that then
20 progressed to look like he was a normal baby at birth,
21 and then things start to give you a hint that
22 something is not quite right.

23 Again, one has the advantage of 20/20
24 hindsight as well, but when you look back the growth
25 curves start to slip shortly after six months.

LEVENTHAL - DIRECT

226

1 Q I'd like to ask you about that because in
2 your report you state that Colin's behavioral aspects
3 associated with ASD may have begun as early as six
4 months of age when he started to lose weight as
5 demonstrated by growth charts.

6 A Correct.

7 Q Could you explain what you meant by that in
8 your report?

9 A Well, if you look at his growth charts he
10 starts off with everything -- his height, weight and
11 head circumference -- are all tightly linked together,
12 and then starting shortly after six months, at least
13 from the pediatrician's record, his weight starts to
14 fall off, but his height and head circumference stays
15 the same. They only start to fall off some months
16 later.

17 It's not uncommon for us to see kids with
18 autism start to become finicky eaters even as early as
19 four, five, six, seven, eight, nine months and so it's
20 quite possible that the behavior was subtle and no one
21 might have noticed it. It was just he was just a
22 little bit of a picky eater.

23 It may have already started to affect the
24 way he was eating or how much he was consuming. That
25 may have been the beginning of the falloff and the

LEVENTHAL - DIRECT

227

1 progression of his illness.

2 Q You also state in your report that Colin has
3 yet to receive fully appropriate cognitive
4 assessments. Could you explain what you mean by that?

5 A Yes. I mean, the problem is that cognitive
6 functioning -- people in the lay public sort of think
7 of cognitive functioning as measured by IQ. IQ is a
8 single number, and it's not a very useful single
9 number.

10 It would be kind of like telling you the
11 score of a baseball game last night was seven. You
12 know, does that mean one side had seven and the other
13 had nothing or four plus three? I mean, you don't
14 know anything.

15 IQ is like that, but as it turns out there
16 are elements of cognitive functioning that are really
17 quite crucial for adaptation, and when one designs
18 intervention you have to know those elements of
19 functioning because you want to build on strength, and
20 you need to work around weakness.

21 So when we do testing what we want to do is
22 look at an individual's verbal cognitive abilities,
23 the things that we depend on language to manage, but
24 then we also want to look at nonverbal cognitive
25 abilities, and those are things like mathematics or

LEVENTHAL - DIRECT

228

1 problem solving, puzzles and things like that.

2 We sometimes can take problems and by
3 teaching if someone has verbal deficits, which is
4 common in autism, and we understand what the verbal
5 cognitive deficits are in an individual we can
6 sometimes twist those very tasks into nonverbal tasks
7 and teach them how to manage certain things.

8 There's another part of it, and that is you
9 need to know what someone's cognitive level is. If
10 you think someone is a genius but in fact they have an
11 IQ of 50 or 60, making demands of them for an IQ of
12 someone who has 130 or 140 is unfair and reasonable.
13 As is commonly the case, it's also unfair and
14 unreasonable for the families because it creates a set
15 of expectations and demands that may be unreasonable
16 and then they have a sense of failure and not
17 succeeding.

18 So trying to understand really where the
19 child fits developmentally at a level of cognitive
20 functioning, as well as language functioning, as well
21 as adaptive functioning, as well as behavioral
22 functioning, is a critical part of getting the whole
23 clinical picture.

24 Q Now, in your report you state that Colin has
25 autism spectrum disorder, likely comorbid, with

LEVENTHAL - DIRECT

229

1 moderate mental retardation.

2 We heard testimony yesterday and today by
3 Dr. Mumper that questions that conclusion. What's the
4 basis for your conclusion that he may have moderate
5 mental retardation?

6 A So again because appropriate testing hasn't
7 been done I can't say that for sure, but there are
8 three pieces of evidence, maybe four.

9 Piece of evidence No. 1 is that autism is
10 commonly comorbid with mental retardation. It depends
11 on what studies you look at or what the surveys are.
12 Between 70 and 80 percent of individuals are comorbid
13 with mental retardation. A range, but they're
14 comorbid.

15 Secondly, there were two tests that were
16 done in the record. One was the Bayley Scale of
17 Infant Development. While it's not a great indicator
18 of cognitive ability, when those were done, and I
19 don't remember exactly when they were done, but the
20 bayley, think the standard score was a 56 or 57, which
21 would be in the moderate -- it correlates roughly with
22 IQ.

23 Remember, I'm dubious about single numbers,
24 but that would correlate roughly with an IQ in the 50s
25 or maybe low 60s, which would be mild to moderate

LEVENTHAL - DIRECT

230

1 mental retardation.

2 Similarly, there was a Stanford-Binet test
3 done at one point, which is also a cognitive measure,
4 and that was in the same range. Again, Stanford-Binet
5 has a set of problems: A single number, how much is
6 verbal because he has verbal problems and
7 understanding the verbal testing and so on is an
8 issue, but at least it points in that direction.

9 And then if you look at his Vinelands
10 repeatedly, his adaptive functioning, the scales,
11 they're all in a rather low range, which would put him
12 again consistent with someone who had mild to moderate
13 mental retardation, probably moderate.

14 But in the end until one does the right test
15 you can't say for sure, but all those indications
16 would strongly suggest that you need to do those tests
17 so you know what you're dealing with.

18 Q We heard testimony that because Colin
19 responded to PECS therapy that that is evidence that
20 he has proper cognitive functioning. Do you agree
21 with that?

22 A It's not an indicator of that at all. We
23 use PECS for individuals with mental retardation as
24 well.

25 Q What is PECS?

LEVENTHAL - DIRECT

231

1 A PECS is P-E-C-S. It's Picture Exchange
2 Communication System. Basically you give individuals
3 -- you can do it in a number of ways, but they're
4 basically drawings that an individual can pass to
5 somebody to say I want a cookie, so they go through
6 and they give you a thing for a cookie. You can use
7 it for schedules and tasks.

8 It's a way of communicating that doesn't
9 require the production of words. You largely use it
10 as iconic images, although -- I mean pictures,
11 sometimes literally photographs and sometimes
12 ideographic drawings.

13 For some kids you use words as well as the
14 drawings because they can read, but they can't speak
15 the words. Different groups of kids can use this kind
16 of exchange system as a way to communicate along the
17 way.

18 The reason it's so important is
19 communication is crucial because often times we see
20 kids with autism who have difficulty communicating.
21 It becomes very frustrating, and that leads to serious
22 behavior problems because they can't tell you what
23 they want or how they're feeling, what they need or
24 that they don't want to do arithmetic or they don't
25 want to go outside, so if they can show you that

LEVENTHAL - DIRECT

232

1 sometimes it --

2 Or when you can give them a choice of
3 options that they want to pursue, it sometimes makes
4 it a lot easier for them a lot of times, and it makes
5 it a lot easier for the rest of us because we know
6 what they're thinking and feeling. We can then
7 interact with them.

8 Q And is PECS used for children with mental
9 retardation as well?

10 A All the time. Sure.

11 Q You also mention in your report that Colin
12 has not had appropriate genetic testing. Could you
13 explain why that's important?

14 A Well, first of all, the current best view of
15 autism is that it's a genetic disorder, but, more
16 importantly, there are a number of genetic conditions
17 that are associated with autism specifically, and for
18 those there are discrete genetic markers and we'd want
19 to know that.

20 In particular, Fragile X syndrome, which is
21 highly associated with autism. There's a genetic
22 abnormality on Chromosome 15q that is associated with
23 autism, and it's important to know that because people
24 who have a 15q duplication are at high risk for sudden
25 death and we need to monitor them for cardiac

LEVENTHAL - DIRECT

233

1 deficits, so we want to check for that.

2 And then tuberous sclerosis is associated
3 with autism. There are genes for tuberous sclerosis,
4 and those need to be looked for. There are a number
5 of other possible genetic variants that are associated
6 with the disorder, and they're coming faster and
7 faster and faster so in the next year or two we'll
8 probably have more variants that we'll know about and
9 going ahead and doing the testing so we have markers.
10 It helps us know which kids to call in when we have a
11 finding.

12 For example, we discovered the 15q
13 abnormality, and then we discovered the sudden death
14 thing, so now we can go to our registry of all the
15 kids that we've seen and tested, and all the ones that
16 are 15q we've notified all those families that they're
17 at increased risk for sudden death so that we can deal
18 with that.

19 The same thing with Fragile X. It's not
20 here yet, but there's a treatment in animals with
21 Fragile X that actually remediates some of the
22 disabilities associated with Fragile X. I would
23 expect in the not too distant future we'll see human
24 trials.

25 Being able to find those families quickly

Heritage Reporting Corporation
(202) 628-4888

LEVENTHAL - DIRECT

234

1 and say your child has Fragile X, here's the study,
2 here's a possible treatment, we want to be able to
3 make that available as instantly, as quickly as we
4 possibly can.

5 Q The fact that none of Colin's physicians
6 have recommended that genetic testing be done, is that
7 evidence that the testing is unnecessary?

8 A No. I mean, it's completely necessary. For
9 me the goal is saying what would I do for my child? I
10 would definitely have my child genetically tested.

11 I mean for all the blood draws and sticks
12 he's had, you know, you could get the blood easily.
13 Frankly, you could do it from a swab of his cheek, so
14 it's noninvasive, easy to do and not terribly
15 expensive, given what else has been spent on him.

16 Q Doctor, Dr. Mumper relies very heavily on
17 the various laboratory reports in this case to support
18 her hypothesis, and she talked today that she doesn't
19 rely on single laboratory results, but a constellation
20 of labs or the labs in concert.

21 If this were your patient and you were
22 presented with these laboratory results, what would
23 you recommend be done?

24 A In response to these laboratory results?

25 Q Yes, sir.

LEVENTHAL - DIRECT

235

1 A I don't think any of them are particularly
2 pertinent to this clinical case. I probably wouldn't
3 have ordered most of them.

4 The ones that are there where there are
5 abnormalities, the so-called abnormalities are at the
6 margins, but even then one of the things we were
7 taught in medical school and we teach our students is
8 that clinical practice isn't driven by a lab test.

9 Clinical practice is driven by the care of
10 the patient, so you have to take the laboratory
11 finding and correlate it with some kind of finding in
12 a patient. Just because you have an abnormal lab
13 finding or particularly a marginally abnormal lab
14 finding it may have no relevance at all to the
15 practice and what you actually do and what it means in
16 terms of the causal relationship of the patient.

17 In this case, looking at all these labs, I
18 didn't find any of them particularly relevant to the
19 case at hand.

20 Q If Colin were your patient, would you order
21 or in any of your autistic patients would you order
22 that such labs be done?

23 A I want to say something that may be a little
24 bit odd, but we don't actually -- I don't use the term
25 autistic patients. How about children who have

LEVENTHAL - DIRECT

236

1 autism, because they're children. They're people
2 first. They happen to have a disease, but they're
3 first of all people.

4 I think to just say they're autistic is too
5 dismissive and not fair. And so they often have ideas
6 and opinions, a sense of humor, preferences, and I
7 think we often forget that because we think they just
8 sit in corners and twiddle, and that's not what they
9 do. Sorry.

10 Q No. That's an excellent point. Let me
11 rephrase. The autistic children that you have in your
12 practice. Would you order such laboratory testing be
13 done?

14 A For children with autism, I wouldn't order
15 most of the laboratory tests that were ordered here.
16 They're just unnecessary. There's some that might be
17 useful, but most of them are not useful.

18 Q If you thought that a child with autism had
19 neuroinflammation, what would you recommend be done?

20 A Well, I would do several things. First of
21 all, I would consult with a neurologist. Although I
22 do a lot of work with these kids and basically know
23 what to do, I always think two heads are better than
24 one so I might as well get someone else to think along
25 with me. I would do an LP though.

LEVENTHAL - DIRECT

237

1 Q What's an LP?

2 A Lumbar puncture. I'd get spinal fluid. I
3 mean, why take a peripheral measure or a guess when
4 you can go as close to the source as you can and look
5 in the spinal fluid? You can almost always when
6 there's inflammation find that.

7 And then I would probably seriously think
8 about doing a brain scan of some kind. In the kinds
9 of inflammation that have been talked about here, you
10 almost have a high probability of finding that on an
11 MRI, or there are other scanning techniques that one
12 could use to see things like gliosis or inflammation
13 in certain areas.

14 Q Doctor, if you saw a blood test that was
15 four times the normal range would that mean that the
16 results were abnormal?

17 A No.

18 Q Why not?

19 A Well, because why something is abnormal, why
20 something has a particular value, depends on lots of
21 factors.

22 Let me give you an example. If we had a
23 child who had not eaten -- you saw him first thing in
24 the morning and hadn't eaten all night -- you might
25 get a blood sugar of 60, 70, and then you gave him a

LEVENTHAL - DIRECT

238

1 bowl of Frosted Mini Wheats and took his blood again.
2 He'd have a blood sugar of 300, 350.

3 That would be completely normal because in
4 the context in which that occurs it could be
5 completely normal. You have to understand both, if
6 you will, the metabolism of sugar and how that gets
7 managed, but also the conditions, the context in which
8 it took place.

9 Q Just because a child is smaller than an
10 adult, does that mean that the reference ranges for
11 their laboratory values would be lower?

12 A No, not at all. I mean, you can't make that
13 assumption at all. In some cases their reference
14 ranges are higher. In some cases they're lower. It
15 depends on what the measure is.

16 For example, some liver enzymes kids
17 actually their livers work a lot better than adults
18 because they haven't been damaged by alcohol and
19 cigarettes and all the stuff that adults do to damage
20 their livers and so they actually can metabolize drugs
21 faster in some cases and their liver enzymes may be
22 higher. In some cases they're lower. It depends on
23 what the particular index is and also depends on a
24 particular level of functioning.

25 For example, a child who's crying, their CO₂

LEVENTHAL - DIRECT

239

1 level will be different than a child who's not crying,
2 or a child who's been running around a lot, their
3 lactic acid level will be different so it's context
4 and what the pathophysiology of the particular measure
5 is. You need to know both.

6 Thirdly, you have to know development,
7 developmental age. Things change over time.

8 Q In your report you also mentioned that a
9 dysmorphology exam was not detailed enough or was
10 unclear from the records how detailed the
11 dysmorphology exam was.

12 Why is that an important examination that
13 should be done on a child with autism?

14 A Because the way we look in our body forms
15 often is reflective of events that may have occurred
16 in utero, some of them genetically determined, some
17 determined by other factors as well.

18 And so when we have a child who has a
19 developmental disturbance because external
20 manifestations of the central nervous system may be
21 seen in skin development or in development of
22 particularly the face and head, we have to look very,
23 very carefully to make sure that there aren't any
24 abnormalities that are commonly associated with
25 syndromes.

LEVENTHAL - DIRECT

240

1 In fact, the pictures were not very acute
2 and very difficult to discern because they went by
3 very quickly yesterday, but just in my quick look at
4 it it may be that his ears are a little bit low and
5 turned posteriorally. That could mean something of
6 profound importance clinically, and it looks like his
7 eyes may have been a little bit wide set.

8 Well, someone has to sit down and actually
9 do those measurements and look at other parts of his
10 body. He could have certain kind of pigmentation that
11 might be consistent with certain neurologic diseases
12 that reflect themselves in the skin.

13 One is tuberous sclerosis. It requires
14 something called a Wood's lamp examination to see if
15 that pigmentation change is taking place in the skin.
16 You have to look for all these things to make sure
17 that you understand every possible thing that's
18 associated with the disorder.

19 Q You're not diagnosing a dysmorphic condition
20 in Colin based on the pictures, are you?

21 A No, no, no. Not at all. It would just for
22 me reinforce my concern that it wasn't done
23 meticulously.

24 There are people who are quite specialized
25 at this. I'm okay at it, but if I wasn't sure I would

LEVENTHAL - DIRECT

241

1 send him to a dysmorphologist.

2 Q Doctor, do you find any clinical or
3 scientific evidence in this record that would lead you
4 to believe more probably than not that Colin's autism
5 is caused by thimerosal-containing vaccines?

6 A I don't think there's any evidence that it's
7 caused by thimerosal-containing vaccines.

8 Q The hypotheses that Dr. Mumper has put
9 forward in her report and that she testified to today
10 regarding her belief that Colin's autism was caused by
11 thimerosal-containing vaccines, are those hypotheses
12 generally accepted in the autism medical community?

13 A No, they're not.

14 MS. RICCIARDELLA: I have no further
15 questions.

16 THE WITNESS: Thank you.

17 THE COURT: Mr. Powers, do you need a recess
18 before we begin?

19 MR. POWERS: You've taken the words out of
20 my mouth, Special Master. I would appreciate a
21 recess.

22 THE COURT: How much time would you like?

23 MR. POWERS: Can we take 45 minutes? That
24 would give us a chance to actually grab a quick bite
25 to eat. I don't expect my cross is going to be much

LEVENTHAL - DIRECT

242

1 longer than the direct.

2 THE COURT: Any problem with that? That
3 takes us to 1:00. You need to get Dr. Leventhal out
4 of here by 3:00 as I understand it?

5 MS. RICCIARDELLA: Correct. That's okay.

6 THE COURT: Okay. All right. We'll
7 reconvene then at 12:30.

8 (Whereupon, at 11:46 a.m., the hearing in
9 the above-entitled matter was recessed, to reconvene
10 at 12:30 p.m. this same day, Tuesday, July 22, 2008.)

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

LEVENTHAL - CROSS

244

1 A No, sir.

2 Q Did you read any of the Petitioners' side
3 expert reports that were submitted in the King and the
4 Mead cases?

5 A No, sir.

6 Q Did you read any of the Respondent or
7 government side's expert reports that were filed and
8 generated in the King and Mead case?

9 A No, sir.

10 Q So the entirety of what you reviewed and
11 relied on to generate your expert report and that you
12 rely on in your testimony would consist of Colin
13 Dwyer's medical records. Is that correct?

14 A Yes, sir.

15 Q And Dr. Elizabeth Mumper's report that was
16 filed in this specific case, correct?

17 A Yes, sir.

18 Q And listening to Mr. and Mrs. Dwyer testify
19 yesterday? Is that fair?

20 A Yes, sir, and Dr. Mumper this morning.

21 Q And then Dr. Mumper this morning. So aside
22 from those, is there anything else that you relied on
23 to prepare your report or to present your testimony
24 today?

25 A Not that I'm aware of. No, sir.

1 Q Now, how long have you been practicing as a
2 psychiatrist?

3 A I finished my residency and fellowship in
4 1978, so 30 years.

5 Q In the years preceding 1978, isn't it true
6 that psychiatrists attributed autism in large part to
7 what is called the refrigerator mother or the lack of
8 affection, a lack of bonding? Was that the general
9 cause of autism that was attributed in describing the
10 etiology?

11 A No. That's not actually accurate.

12 Q Refrigerator mom was a descriptive term
13 generated by Dr. Bettelheim sort of post Vienna, post
14 World War II, to describe what he believed was the
15 cause of autistic spectrum disorders. Isn't that
16 correct?

17 A It was one of his concepts, but Dr.
18 Bettelheim wasn't a psychiatrist. He was actually not
19 even a psychologist. He was an educator.

20 Q And in the years since then that theory of
21 causation has been disproven, correct?

22 A It was never proven, so other theories have
23 taken form. It was never a proven theory.

24 Q The theory that you believe is that autism
25 is entirely genetic? Do you believe that autism is

1 entirely genetic?

2 A No, sir.

3 Q Do you see room for environmental
4 contributions to the appearance of autistic symptoms
5 in some children?

6 A Yes.

7 Q Can you identify what you believe to be
8 known environmental contributors to the appearance of
9 autistic symptoms in children?

10 A Well, we know very well that the
11 environmental interventions make a difference in the
12 modification of environmental symptoms, so things like
13 ABA affect the clinical presentation of the disorder.

14 Education, speech and language, change the
15 clinical presentation of the disorder. Those are all
16 environmental interventions.

17 Q And not speaking of environmental
18 interventions, but you would agree with me that
19 environmental exposures can actually be the biological
20 cause of autism?

21 So, for example, prenatal exposure to
22 thalidomide. Do you believe that prenatal exposure to
23 thalidomide can cause autism?

24 A I think what you're trying to do is make a
25 sweeping generalization. As I think Mark Twain once

1 said, no generalization is worth a damn, including
2 this one.

3 I think generalizations just aren't terribly
4 useful here. You have to talk about specifics, so if
5 there's --

6 Q And that's why I asked --

7 A If I can finish my answer, I'd be happy to.

8 Q Well, I asked you a specific question. Do
9 you believe that prenatal thalidomide exposure can
10 contribute to the appearance of autism in some
11 children?

12 A This is not a matter of belief.

13 Q Let me put it this way. As a scientist,
14 would you recognize that there is an association
15 between prenatal thalidomide exposure and the
16 appearance of autism?

17 A What do you mean by association?

18 Q A causal relationship.

19 That's not been demonstrated, so the answer to
20 that is until it's demonstrated I can't really tell
21 you.

22 Q Do you believe or do you think that the
23 evidence supports an association between terbutaline
24 exposure prenatally and the appearance of autistic
25 symptoms?

1 A I'm not aware of any causal mechanism that
2 would support that.

3 Q Are you aware of any scientific data or
4 scientific literature that would support an
5 association between maternal rubella and the
6 appearance of autistic symptoms in the child?

7 A You just used the word association, so there
8 are data on the association between maternal rubella
9 and autism.

10 Q Would it be your scientific opinion that
11 those associations are suggestive of a causal link
12 between maternal rubella and the appearance of
13 autistic features in some children?

14 A It's not been demonstrated, so until it's
15 demonstrated I don't know whether there's a causal
16 link. There's a big difference between association
17 and correlation and causality.

18 Q And that's why I'm asking you specifically
19 if you believe that there is a causal association
20 between these various prenatal exposures and the
21 appearance of autistic symptoms in children who were
22 the product of those exposed pregnancies.

23 Do you believe that there is scientific
24 evidence supporting a causal relationship?

25 A As I said to you, I don't believe. There's

1 what I know and what I don't know and what I'm not
2 aware of.

3 I have no knowledge of a causal link between
4 rubella and autism. There's an association, but
5 there's not a causal link to my awareness.

6 Q You mentioned that you've diagnosed
7 thousands of children as suffering from autism or
8 having autism. Among those thousands of children that
9 you have diagnosed as suffering from autism, what
10 percentage of those children have a known,
11 identifiable genetic cause of their autistic disorder?

12 A A very small percentage.

13 Q Can you estimate how large or how relatively
14 small that is?

15 A One percent, maybe two percent.

16 Q You mentioned that you had testified in
17 other litigation settings. You described child abuse
18 cases. Do you recall that?

19 A Yes, sir.

20 Q And child custody cases?

21 A Yes, sir.

22 Q You also mentioned odds and ends of other
23 testimony. Have you ever appeared as a witness in a
24 civil lawsuit involving autism?

25 A I have.

LEVENTHAL - CROSS

250

1 Q What were the facts of that case, if you
2 could briefly describe them?

3 A Sorry. Technically it was an autism
4 spectrum disorder. It was a Rett syndrome case.

5 Q Okay.

6 A And it was a special education case.

7 Q And so would this be a dispute between
8 parents and a school district attempting to get
9 services for their children?

10 A In that particular one I'm thinking of, yes.

11 Q And what side of the case did you
12 participate as a witness on?

13 A It was on the child's side.

14 Q Have you ever appeared as a witness in any
15 litigation involving pharmaceutical companies?

16 A Not that I'm aware of.

17 Q Now, you did mention that you do consulting
18 work with some pharmaceutical companies, with drug
19 manufacturers?

20 A I've done a small bit. Not much.

21 Q Are you a member of the speakers bureau for
22 any pharmaceutical company or drug manufacturer?

23 A Not that I'm aware of.

24 Q Let me ask you this. Do you receive
25 research support from Abbott Labs?

LEVENTHAL - CROSS

251

1 A I don't personally receive research support.
2 The university I work for receives, has contracts with
3 them.

4 Q Do you receive research support from Eli
5 Lilly or GlaxoSmithKline?

6 A The university has contracts to provide
7 research.

8 Q Are you a member of the speakers bureau for
9 Eli Lilly?

10 A I'm not aware that I'm a member of the
11 speakers bureau for them. I have spoken at events
12 that they've sponsored.

13 MR. POWERS: Okay. I took a look at an
14 article on which you're the author.

15 Scott, do we have copies of that?

16 THE COURT: I'll tell you what, Mr. Powers.
17 Let's just call this one 20, and we'll fill in any
18 holes we have to later. This will be Petitioners'
19 Trial Exhibit 20.

20 (The document referred to was
21 marked for identification as
22 Petitioners' Trial Exhibit
23 No. 20.)

24 BY MR. POWERS:

25 Q Dr. Leventhal, what we have now described as

Heritage Reporting Corporation
(202) 628-4888

1 Petitioners' Trial Exhibit No. 20, you have a copy of
2 that in front of you.

3 That's an article called An Open Label Trial
4 of, and I'll ask you to say what that word is.

5 A Esataloprine.

6 Q In Pervasive Developmental Disorders.
7 You're listed as one of the authors on that article.
8 Isn't that correct?

9 A Yes, sir.

10 Q And then if you look at page 8 of 9 on this
11 article there's a section called Limitations that's in
12 bold italics, and then down underneath that there's a
13 disclosure section. Do you see that disclosure
14 section?

15 A Yes, sir.

16 Q Okay. In that disclosure section it says
17 that Dr. Leventhal receives research support from
18 Abbott Labs. That's a drug manufacturer, isn't it?

19 A Yes, sir.

20 Q It also says that you receive research
21 support from Eli Lilly, GlaxoSmithKline, Shire, Pfizer
22 and Forest Laboratories, correct?

23 A Correct.

24 Q These are all drug company pharmaceutical
25 manufacturers?

LEVENTHAL - CROSS

253

1 A Yes, sir.

2 Q It also says that you're on the speakers
3 bureau of Eli Lilly, GlaxoSmithKline, Pfizer, Bristol-
4 Myers Squibb and that you have consulting
5 relationships with Abbott, Eli Lilly, Janssen, McNeil,
6 Pfizer and GlaxoSmithKline, correct?

7 A Right.

8 Q And I'm assuming this is information that
9 you provided to the journal that published this
10 article that you were a co-investigator of?

11 A That's correct.

12 Q So the information that's contained in this
13 article, to the best of your knowledge, in 2004, which
14 is when this was published, this information is
15 correct as contained in the disclosure?

16 A It's correct, but I think what happens is
17 when you fill out the form for the journal there are a
18 limited number of things that you check. So if you
19 speak for a drug company, it doesn't say speakers
20 bureau. You just check that I've given lectures
21 funded by the drug company.

22 I would point out that this, while it was
23 published in 2004, it was really from 2003, and I'm
24 not participating in many of these any longer.

25 Q Which ones of these are you still

1 participating in, and which are you not participating
2 in?

3 A At this point the research support -- again,
4 the research support is to the university. It doesn't
5 come to me personally so I have no financial gain from
6 that. It's just the way the contracts are written,
7 and I'm not even the principal investigator on some of
8 these studies. It's just we try to be as open as we
9 can about possible perceptions of conflict.

10 And I don't speak for anybody else anymore
11 with the exception of -- actually, I'm not speaking
12 for anybody anymore. In the last year I did give some
13 talks for Lilly and for Bristol-Myers Squibb. I don't
14 have any consulting relationships at this time.

15 Q And the consulting relationships and the
16 speakers relationships, those are things you would
17 have been compensated for at least back when you were
18 participating in 2004, correct?

19 A Not always. Sometimes they would be to the
20 university.

21 Q There was some discussion about regression,
22 and my recollection of your testimony is that you
23 don't believe that there is a regressive phenotype of
24 autism. Is that a fair summary of your testimony on
25 that issue?

LEVENTHAL - CROSS

255

1 A Well, first of all, it's not a matter of
2 faith. The data that we've looked at, and we've tried
3 mightily to see if there was a phenotype of a
4 regressive form of autism. The data just don't
5 support it.

6 We've looked at it from a number of
7 different perspectives, and just the data don't
8 support a particular subtype of that sort.

9 Q I'm going to hand you a scientific journal
10 article that was introduced into evidence in these
11 cases. It's the Petitioners' Master Reference No. 72.

12 I know you're taking a look at that right
13 now. Have you ever read this article before?

14 A I don't recall. I may have. I don't recall
15 it though.

16 Q Are you familiar with any of the principal
17 investigators, Drs. Pardo, Vargas or Zimmerman?

18 A I don't know them, no.

19 Q Do you know of them?

20 A Not really.

21 Q Okay. I'm going to direct your attention to
22 if you look at the bottom right-hand, the pagination,
23 Doctor, it will say page whatever of 12. Turn to page
24 9 of 12, please.

25 Now, if you look up at the top left-hand

1 corner there's what looks like a flow chart. Do you
2 see that?

3 A Yes, sir.

4 Q And in the bottom right-hand corner of that
5 flow chart you see a category called Autistic
6 Phenotype. There's regression listed, there's
7 epilepsy listed and there's mental retardation,
8 correct?

9 A Yes, sir.

10 Q Would you disagree with the authors of this
11 paper that there is an autistic phenotype that would
12 include regression?

13 A That's not actually what you asked me
14 before. Secondly, you said is there an autistic
15 phenotype that includes regression, and for these
16 authors I don't know because I haven't read the paper.

17 I can't really tell you what they mean by
18 the term regression. If it means the loss of some
19 acquired skills, then I would agree that some types of
20 autism, some people with autism -- most people with
21 autism -- lose skills as part of the progression of
22 their disorder, but that does not mean that there's a
23 unique regression or autistic regression phenotype.

24 It's just one of the parts of the
25 progression of the disorder, just like seizures is

LEVENTHAL - CROSS

257

1 part of the progression of the disorder or mental
2 retardation is part of the progression of the
3 disorder. It doesn't mean it forms a unique
4 phenotype, which is two different points.

5 Q Now, do you believe that it's possible, or
6 actually not possible. Do you believe that some
7 children actually develop normally, make completely
8 normal progress even when looked at retrospectively,
9 and then regress?

10 A Well, there is a disorder called childhood
11 disintegrative disorder in which children are said to
12 develop until the age of three and then lose skills.

13 Within the rest of the autism spectrum, our
14 general impression is that when we look back carefully
15 we almost always find a failure to progress
16 appropriately, just like it was the case here, and so
17 things just didn't fall off the edge of the cliff at
18 the age of 20 months. There was progression.

19 There was probably some loss or change in
20 eating changes or perhaps some other things, and his
21 language didn't develop appropriately because he only
22 had -- it depends on what you read 3 words or the
23 mom's testimony yesterday -- 10 words at 20 months.
24 He didn't progress.

25 So what we often see, and in fact there's

1 some studies that have suggested around 20 to 24
2 months parents start to really realize something is
3 terribly wrong, and when they look back
4 retrospectively they often find some of the early
5 signs of the disorder beginning somewhere around 15,
6 16, 17, 18 months. That doesn't mean they weren't
7 there before. It just means that they weren't
8 necessarily seen before.

9 When we take careful histories or look at
10 photographs, videotapes, we often find that there's
11 some inkling that the problems began well before 20 or
12 24 months.

13 Q Now, you say often, but would you concede
14 that there are some minority of cases of autism where
15 even looking retrospectively and even vigorously
16 looking retrospectively for lack of normal development
17 before the regression that there are in fact cases,
18 some percentage of children who regress, even
19 retrospectively, you would agree had a normal course
20 of development? Isn't that right?

21 A I think over the course of the past 10 or 15
22 years as we've really done a lot more work I would
23 first say that that picture is exceedingly rare and
24 that our general view is it probably is a more defect
25 in collecting history or collecting data or an

1 inability to measure certain kind of things in
2 preverbal children.

3 Now, that's starting to change as we have
4 new kinds of measures and we're starting to be able to
5 diagnose earlier and find particular symptoms that may
6 appear earlier that are continuous with the symptoms
7 we see in children at two, three and four.

8 So the answer to your question succinctly is
9 is it possible? Yes. Have I seen cases where it
10 wasn't evident earlier? Yes, but it's pretty rare,
11 and as we get more sophisticated at identifying
12 behavior and looking at development it's becoming even
13 more rare.

14 Q Do you know Dr. Rust at the University of
15 Virginia who practices in Charlottesville?

16 MS. RICCIARDELLA: Objection, Special
17 Master. I mean, this is supposed to be specific
18 causation as to Colin Dwyer. We're 20 minutes into
19 cross-examination, and Mr. Powers hasn't asked one
20 question as it pertains to Colin Dwyer.

21 MR. POWERS: This is about his direct
22 testimony on regression.

23 THE COURT: I'll permit the questions. Go
24 ahead, Mr. Powers.

25 //

LEVENTHAL - CROSS

260

1 BY MR. POWERS:

2 Q Do you know Dr. Rust?

3 A I do not.

4 Q You do not. Do you have any knowledge that
5 Dr. Rust was called as an expert witness on the
6 government's side of these cases, in William Mead and
7 Jordan King's cases, that were heard back in May?

8 A As I told you, I know nothing about those
9 cases.

10 Q So if Dr. Rust, as a clinician and a
11 pediatrician and an expert in autism, identified that
12 about 20 percent of his patients even retrospectively
13 showed normal development, no early problems and then
14 regressed, would you dispute what Dr. Rust finds? Was
15 that 20 percent something that you would take issue
16 with?

17 A I can't dispute what Dr. Rust told you
18 because that's what he told you. However, what I
19 think I just said, but I'll repeat it for you, is that
20 over the course of the last 30 years of my practice in
21 the beginning we used to think it was about a third of
22 the kids had regression, but it was a defect in
23 measurement and in history taking.

24 As we've gotten more proficient at it then
25 that number went from 30 percent to 50 percent to 70

1 percent to 90 percent and so what's happened as we've
2 learned more about the disorder, more about its
3 progress and we've become more sophisticated at
4 measuring behavior, cognition and language in very
5 young children that our picture has changed.

6 And so that's why I arrive at the conclusion
7 that I rarely see it because we're very good at taking
8 early histories and very good at measuring early
9 behavior. And, secondly, that in the rare instances
10 where we don't have discrete evidence we assume it's
11 actually our failure to find it rather than the fact
12 that there was no failure to progress.

13 Could I be wrong? Yes, but I don't think so
14 because the trend has been moving to make that 30
15 percent, 50 percent, 70 percent, and I think probably
16 Dr. Rust is a pediatrician. He doesn't do what we do.
17 I don't know.

18 I mean, I don't know exactly what he does,
19 but my guess is that if in his experience as he got
20 more sophisticated doing early childhood evaluations
21 that number will squeeze smaller and smaller as well.
22 It's just the progress of science. It's not anything
23 else.

24 Q In Colin Dwyer's case, the one point in the
25 medical record that I recall you cited to as lack of

LEVENTHAL - CROSS

262

1 not normal progress was a change in his weight, the
2 weight growth chart. Do you recall that testimony?

3 A Yes, sir.

4 Q Now, in reviewing the expert report, I
5 didn't see any other reference specifically to the
6 medical records indicating anything that would support
7 the contention that Colin was not developing normally
8 up until about his second year of life.

9 Can you point us and point the Special
10 Master to something specific in the medical record
11 showing that Colin Dwyer was failing to make normal
12 progress?

13 A Yes, I can. Let me take three points.
14 Point 1 is the growth chart, which is multiple
15 measures beginning early on.

16 You see that his weight falls off over the
17 course of time, and then his height and head
18 circumference drops to catch up with that lagging
19 behind, as is often the case when children aren't
20 eating well. By the way, that's reported repeatedly.
21 The percentile ratings for his height are at every
22 visit.

23 Secondly, the report which Dr. Mumper
24 alluded to today where the pediatrician used the term
25 some language or some words. I don't remember exactly

1 what the word was. I would interpret that quite
2 differently than Dr. Mumper.

3 If a child was developing normally and if
4 you read the rest of Dr. Baker I believe was the
5 pediatrician at the time, they would put within normal
6 limits or okay where it describes it, but some
7 language to me is a doubt about the language
8 production.

9 And then thirdly, in mom's testimony
10 yesterday she listed the words that he knew at 20
11 months, which is four months before 24 months or two
12 years, and she listed about seven to nine words -- I
13 wrote them down; I don't remember exactly -- which is
14 way behind what one would expect.

15 Let me finish.

16 Q You might have misunderstood my question. I
17 said before his second year of life. What you're just
18 describing is at 20 months and 24 months.

19 A Do you mean before the beginning of his
20 second year of life?

21 Q Yes.

22 A Before the beginning of the second year?
23 Before 12 months?

24 Q Right.

25 A I'm sorry. I misunderstood you. I

1 apologize.

2 Before 12 months, the only thing that I saw
3 at that point in the record was the falling off of the
4 growth curve.

5 Q And that is not diagnostic of autism
6 spectrum disorder, is it?

7 A No, not at all.

8 Q That could be related to a whole number of
9 issues that have nothing whatsoever to do with autism,
10 correct?

11 A It could.

12 Q And nothing about his, as you describe,
13 falloff in growth in that first year, that didn't have
14 anything to do with his language development or his
15 communication skills, did it?

16 A We don't know.

17 Q It doesn't have anything to do and there's
18 nothing in the record you can point to showing that it
19 had anything to do with his social reciprocity skills
20 and his interaction with his sibling and his family,
21 does it?

22 A I wouldn't be so presumptuous as to say
23 that.

24 Q The falloff in the weight that you describe
25 doesn't have anything to do in describing changes in

1 his play or his behaviors, his use of toys. It
2 doesn't have any bearing on any of that, does it?

3 A At what point? You're confusing me --

4 Q We're still within that first year. We're
5 still within that first year.

6 A I wouldn't be so presumptuous to say that.

7 Q Again, I want to be very clear here. Within
8 that first year of life, the only thing that you see
9 as not typical in his overall development was the
10 change in the growth rate between six months and 12
11 months. Is that correct?

12 A That was the only thing that was in the
13 record. Yes, sir.

14 Q And there was nothing in the parents'
15 testimony beyond the medical record that would
16 indicate anything in the first year that was a problem
17 with language skills or communication skills. Isn't
18 that right?

19 A That's correct.

20 Q There was no testimony that there were any
21 problems with play or behavior in that first year of
22 life, correct?

23 A There was no testimony. That's correct.
24 That doesn't mean it wasn't there.

25 Q You're certainly not saying the parents

LEVENTHAL - CROSS

266

1 didn't testify, that they were silent on issues of
2 play and behavior. You heard their testimony, and you
3 heard them -- I would assume you heard them -- testify
4 about Colin playing with his older sibling, correct?

5 A Yes, sir.

6 Q And you recall their testimony about how he
7 behaved at Christmas when he was 13 months old,
8 correct?

9 A Yes, sir.

10 Q And everything about that testimony
11 indicates that was a boy who was typically developing
12 and healthy with no deficits. There's nothing in that
13 testimony suggesting otherwise, is there?

14 A I didn't disagree with that. I didn't say
15 that at all, but I didn't say that that necessarily
16 means everything was moving along smoothly and on
17 track.

18 Q I think we've canvassed everything that
19 you've relied on in your testimony, and I'm asking you
20 to direct the attention of the Special Master to
21 something in the record, facts in this case, Colin's
22 case, that indicates he didn't develop normally, and
23 you have not done that.

24 A I can do it. Are you ready? Here's the
25 problem. First of all, these are terrific parents who

1 have done an amazing job with this kid in spite of all
2 the challenges.

3 But the problem is they're not experts.
4 They know their child. They know their child well,
5 but sometimes asking -- the reason that doctors take
6 histories and ask questions of patients is to help
7 them recall and understand things.

8 One of the things that I told you is a
9 failure in this case is to do a proper diagnostic
10 evaluation. The Autism Diagnostic Interview is a
11 structured examination that very carefully and
12 meticulously asks specific questions about
13 developmental events to help parents recall exactly
14 what happened because sometimes it's so subtle and so
15 nuanced if you don't ask exactly the right question
16 you don't get there.

17 And so the real problem is not what they
18 said. What they said was the complete truth, their
19 recollection of it, and I completely believe them.
20 The problem is they may not have been asked the right
21 questions. The right information may not have been
22 collected.

23 As a result, we may not know at this point
24 exactly what was happening. Exactly what was
25 happening. Unfortunately, precision is important here

1 and there's not a lot of precision in what happened
2 early.

3 Q And again, the question was simple. There
4 is not anything that you see in his medical record and
5 in his testimony that is evidence of a lack of normal
6 development. Isn't that correct?

7 A No, that is not correct. The correct answer
8 is there was a failure to find the information that
9 could prove that point.

10 I can't be held accountable for that, and
11 certainly the mother and father aren't responsible for
12 that, but it wasn't done. If it wasn't done, I can't
13 agree with you.

14 Q You talked about things being subtle, some
15 of the early signs as being subtle.

16 In looking at the record here, there's
17 nothing that you would identify as a subtle sign
18 except for the absence of an affirmative record of
19 normal development? There's not anything in there
20 affirmatively that is a sign of lack of progress?

21 A At last, Mr. Powers, we have an agreement,
22 and that is there is a lack of an affirmative record
23 of normal development. The problem is it's not a
24 comprehensive enough record. I agree with you.

25 But it's the lack of it. It's not that

1 there's something directly pointing to it in the first
2 year of life.

3 Q You talked about this comorbid of mental
4 retardation, and you listed some reasons that absent a
5 diagnosis of mental retardation in the record --
6 actually, I should make it clear.

7 You would agree that there is no diagnosis
8 in the medical record that you saw that Colin Dwyer is
9 mentally retarded, correct?

10 A That's correct.

11 Q You also recall the mother's testimony about
12 having a conversation with an autism specialist who
13 affirmatively represented that Colin did not appear to
14 be mentally retarded. You heard that testimony?

15 A That's not exactly what she said. What she
16 said was the autism specialist said he couldn't be
17 mentally retarded because he could use PECS.

18 Now, she certainly should have taken that on
19 face value because she was relying on that person's
20 expertise, but that logic is completely wrong.

21 Q But there's nothing in the record indicating
22 that this person who was looking at the issue of
23 mental retardation reached the conclusion that Colin
24 was mentally retarded, correct?

25 A Well, the only problem with that is if

1 someone arrived at that conclusion and told that to a
2 mom and they didn't know what they were talking about
3 and so they wouldn't have recognized it probably.

4 Q So you're saying that this person didn't
5 know what they were talking about and misrepresented
6 the facts of Colin's case to Mrs. Dwyer?

7 A If they said the ability to use PECS was
8 diagnostic of normal intelligence or typical
9 intelligence or ruled out mental retardation, they
10 made a terrible mistake.

11 Q And there were other things that that
12 professional told Mrs. Dwyer supporting the idea that
13 Colin was not mentally retarded, including that he
14 seemed to understand things well. Do you remember
15 that testimony?

16 A I remember that testimony.

17 Q You also remember probably that there was
18 testimony that Colin was a good problem solver. Do
19 you recall that testimony?

20 A I remember that testimony.

21 Q So it wasn't just PECS. It was these other
22 issues. Isn't that right?

23 A That was the mom's testimony for sure, and
24 that was the argument.

25 However, when you read the record it's quite

1 clear that his behavior and functioning, including
2 problem solving, including learning, is extremely
3 uneven and highly variable depending on who measured
4 it or who wrote the note at a particular time.

5 So one month you might say he's speaking and
6 the next month he's not speaking. One month he's
7 doing one particular skill, and the next month he's
8 not doing that skill. The variability in his
9 performance has to be taken into account and it wasn't
10 at least the way it was reported.

11 I wasn't there. I didn't hear it, but that
12 variability is really typical of autism; that in some
13 instances, in some circumstances, they do reasonably
14 well. In other circumstances they do not so well.

15 Q Now, you mentioned some of the tests that he
16 did undergo -- the Bayley, the Stanford-Binet and the
17 adaptive testing.

18 You mentioned some of the limitations of
19 those tests, correct, and you acknowledge that there
20 are limitations on those tests as a measure of whether
21 a child is mentally retarded or not, correct?

22 A That's correct.

23 Q And isn't it true that among the limitations
24 of those tests are the degree to which the child will
25 comply with the testing protocol, correct?

1 A That's a limitation more on the part of the
2 examiner than on the part of the child. People who
3 are really experienced at testing children with autism
4 have ways of helping them cooperate and participate.
5 It's very rare we have children that we can't test.

6 Q But sometimes children are just not
7 compliant and are not able to be properly tested,
8 correct?

9 A No. The problem is that as examiners we
10 can't figure out ways to get them to participate. In
11 good hands that's exceedingly rare.

12 I can't think of a child in the last two or
13 three years we haven't been able to test. Maybe even
14 longer.

15 Q But that could be one of the limitations of
16 the test, the ability of the child to pay attention,
17 to comply and to sit through the testing?

18 A No. It's a limitation of the tester, not
19 the child. You can't hold children accountable for
20 our inability to do our jobs.

21 Q And there's also the limitation that to the
22 extent these tests rely on verbal responses from the
23 children, if a child with autism is nonverbal, and I
24 actually agree that that's an important point from
25 working with the families that I work with. Their

1 children have a disease.

2 Would you agree that autism is a medical
3 disease?

4 A Autism is a syndrome. It's a medical
5 syndrome, yes.

6 You asked another question, though, about
7 verbal functioning.

8 Q Right.

9 A I don't want to let that go because it's
10 important. That's exactly my point about why you have
11 to do appropriate cognitive testing to examine both
12 verbal and nonverbal skills.

13 There are many children with autism who can
14 do many things nonverbally, sometimes even in the
15 typical range, but test quite profoundly impaired when
16 you do only verbal tests.

17 It distorts the clinical picture and
18 distorts our understanding of the child's
19 developmental level, so it's really essential to do
20 that and it wasn't done.

21 Q Right. But I just want to be clear. When
22 you're talking about the limitations on those tests,
23 that is one of them. To the extent they rely on the
24 child's expressive language, that's going to limit
25 their performance on some of these tests?

1 A It would certainly on the Stanford-Binet and
2 some of the scales on the Bayley, although you could
3 account for that, but it's certainly part of the
4 problem.

5 Q Now, you mentioned that it might be
6 medically indicated for an autistic child to do a
7 spinal tap, to do a lumbar puncture and draw CSF. Do
8 you recall that testimony on direct?

9 A Yes. The question that was asked of me, if
10 I believe or if I thought or understand or had
11 information that a child with autism had some
12 inflammatory process going on in the central nervous
13 system what would I do, and I said I would do three
14 things.

15 I said I would consult with a neurologist
16 because it may or may not be within my area of
17 sophisticated expertise. Secondly, I would consider a
18 lumbar puncture to get direct measures. Thirdly, I
19 would consider some forms of neuroimaging to try to
20 see if there's evidence of inflammation.

21 Q Now, a lumbar puncture is a pretty invasive
22 procedure, correct?

23 A You know, we do it pretty routinely. It's
24 probably no more invasive than doing an IV infusion of
25 chelating agent or glutathione.

1 I mean, we do it quickly and in good hands.
2 You can get in and out very fast and be done with no
3 more stress than you'd do by sticking needles in kids'
4 arms.

5 Q And of the children with autism that you
6 see, do you have any idea how many times you have
7 performed lumbar punctures or spinal taps on autistic
8 patients?

9 A Well, since I've never seen a child who has
10 an allegation of or suggestion of a neuroinflammation,
11 there was no need to do it.

12 I have seen children who had developmental
13 problems in which they either had a previous
14 intracranial infection or have some evidence of some
15 other disorder and we've done LPs, a spinal tap, but
16 generally on children with autism it's very rare.

17 Q If a child with autism underwent a lumbar
18 puncture I'm assuming that would be done because of
19 this neuroinflammation issue that you described in
20 your direct.

21 If there was reason to suspect there was
22 neuroinflammation, you would do a lumbar puncture and
23 it would suggest that there is a medical treatment
24 that would be available. Why would you do a lumbar
25 puncture if there wouldn't be a medically indicated

1 course of care based on the results?

2 There would be, if you did a lumbar
3 puncture, some type of medical care intervention that
4 would arise from the results potentially. Isn't that
5 right?

6 A I'm sorry. You confused me. I don't
7 understand what your question is.

8 Q I'm saying that if you suspected that a
9 child who has autism might have a neuroinflammatory
10 condition such that you would order up a lumbar
11 puncture, ordering up a lumbar puncture for a child
12 potentially who has neuroinflammation, that would
13 suggest there's a course of medical care available to
14 that child based on the lab results, correct?

15 A So you're creating a hypothetical for me
16 because it's not the case at hand. You're just saying
17 hypothetically if I thought a child had inflammation
18 would I do a lumbar puncture solely for the reason of
19 instituting a treatment?

20 The answer to that is it would certainly be
21 my hope to find something that would be available,
22 would be amenable to a treatment, but sometimes you
23 find that it's diagnostic and that there may not be a
24 particular treatment available at this time for that
25 particular diagnosis, but it's still incumbent upon

1 you to go and look to make sure that you understand
2 what's going on.

3 Q You also talked about some specific genetic
4 disorders that are associated with autistic features
5 in some children. You mentioned Fragile X syndrome.
6 Do you recall that?

7 A Yes, sir.

8 Q Fragile X is a chromosomal abnormality. Is
9 that right?

10 A Right.

11 Q And one of the features associated with a
12 child who has Fragile X would be some of the features
13 of autistic disorders, correct?

14 A There are a significant proportion of
15 children with Fragile X who also have autism, and some
16 have just some of the symptoms of autism and some have
17 none of the symptoms of autism.

18 Q And typically a child who has Fragile X, as
19 the particulars of that child got older would have
20 sort of a coarsening of their features and for boys an
21 enlargement of their testicles. Isn't that correct?

22 A Some do, but some don't have any of the
23 dysmorphic features. That's part of the problem.

24 It can even occur in girls, which is a
25 little bit surprising. They couldn't have enlarged

1 testes, obviously.

2 Q Right.

3 A So the answer is that the physical phenotype
4 -- the enlarged ears, et cetera -- are not always
5 present in every patient, which is why you would do
6 genetic testing to make sure that you didn't miss it.

7 Q And they're certainly not present in Colin
8 Dwyer's case at least based on your review of the
9 medical records, correct?

10 A Based on my review of the medical records I
11 didn't see any notice, but then no one raised the
12 question of doing genetic testing or whether he should
13 have Fragile X to be ruled out.

14 Q And tuberous sclerosis? One of the symptoms
15 of tuberous sclerosis, in addition to some of the
16 features of autism, is seizure disorder, correct?

17 A Sometimes, yes.

18 Q Sometimes. You don't see any evidence of a
19 seizure disorder in Colin Dwyer's medical history or
20 in the testimony of the parents, do you?

21 A No, but that doesn't mean he doesn't have
22 tuberous sclerosis.

23 Q Right. And in 15q, sometimes seizures are
24 associated with the 15q duplication error, correct?

25 A Sometimes, but often times not.

1 Q Often times not, but you don't see them in
2 Colin Dwyer's case?

3 A We don't see seizures, but he has autism and
4 autism could be caused by 15q duplication so you have
5 to rule that out.

6 Q Now, what you would describe as genetic
7 contributors to the appearance of autism in children.

8 Do you recognize that there are some
9 instances where a genotype may exist that would be
10 asymptomatic absent an environmental exposure or
11 environmental trigger that would result in the
12 appearance of the symptoms of autism?

13 A Certainly that's possible.

14 Q In your work in looking at possible genetic
15 markers so to speak for autism, have you come across
16 the idea that glutamate in the brain is an issue of
17 some interest for autism?

18 A Well, glutamate is of great interest because
19 it's the most pervasive neurotransmitter in the brain.
20 It's everywhere, and it's a regulatory
21 neurotransmitter that we don't really understand a
22 great deal about both in pathology and in health.

23 So, yes, it's a matter of considerable
24 interest, and there's some evidence to suggest that
25 perhaps some specific glutamate receptors may play a

LEVENTHAL - CROSS

280

1 role in the genesis of autism and that there are
2 genetic substrates for that possible abnormality.
3 That's one of the things that we're exploring now.

4 Q And certainly an excess of glutamate in a
5 brain, particularly in the brain of a developing
6 child, an excess of glutamate can lead to an over
7 excitation of the brain and affect brain function,
8 correct?

9 MS. RICCIARDELLA: Objection. Special
10 Master, again this is way beyond the scope of direct
11 or anything that is in Dr. Leventhal's report. This
12 is getting into general causation, something that this
13 Court the evidence has said is closed.

14 THE COURT: I'm going to go ahead and hear
15 it. If I decide to disregard it, I'll disregard it.

16 Go ahead, Mr. Powers.

17 MR. POWERS: Yes.

18 BY MR. POWERS:

19 Q Dr. Leventhal, in 2007 there was a paper
20 published called Mapping Autism Risk Loci Using
21 Genetic Linkage and Chromosomal Rearrangements. Do
22 you recall that paper?

23 A I don't recall the title. Who's the author?

24 Q You're one of the authors. There's an
25 extraordinarily long list of authors.

1 there's a sentence that begins: Moreover, aberrant
2 glutamate function is often cited as an important
3 element of risk for ASD. Do you see what I'm
4 referring to?

5 A Yes.

6 Q Would you agree with the statement in this
7 paper that aberrant glutamate function may be an
8 element of risk for the development of autistic
9 spectrum disorders?

10 A Well, what this says is it's often cited,
11 but we don't know what the actual role of glutamate
12 is.

13 Actually, as it's turning out it may not be
14 glutamate itself, but may be one of the glutamate
15 receptors, which plays a role in other elements of
16 neurodevelopment and neurotransmission. There's a
17 receptor called mGluR-4 which plays a more critical
18 role here.

19 And so glutamate may be leading us in that
20 direction, but it may not be glutamate itself that's
21 the causal moiety, but it may be the disruption in the
22 way the particular receptor develops and then the
23 other neuroregulatory mechanisms that follow from
24 that.

25 Q And among the neuroregulatory mechanisms

1 involved in regulating glutamate in the brain would be
2 astrocytes, correct?

3 A Well, I suppose simply put, but glutamate
4 also plays a role in regulating astrocytes so it goes
5 up and back.

6 Q Right. There's somewhat of a reciprocal
7 relationship between the astrocytes absorbing excess
8 glutamate, correct?

9 A Yes. I mean, yes, that's close enough.

10 Q Okay. But the bottom line is that you do
11 agree with the statement here that aberrant glutamate
12 function, without particular detail, is an important
13 element of risk in autism spectrum disorders? You
14 would agree with that statement?

15 A The statement doesn't say what you just
16 said. It says that it has been cited, and the
17 references are provided for that. And so it doesn't
18 necessarily mean that glutamate itself is playing a
19 role. Just there are data that have been suggestive
20 that it might be glutamate.

21 This was published in 2007, written in 2006.
22 This is 2008. The world has changed, and there are
23 actually new data suggesting it may not be glutamate
24 itself, but we don't know.

25 Q At the very least, glutamate is of

LEVENTHAL - REDIRECT

284

1 continuing interest. I'm not saying it causes
2 anything. You would agree it's of continuing
3 interest --

4 A Sure.

5 Q -- in the brain of people with autism?

6 A Sure.

7 MR. POWERS: Okay. I have no further
8 questions.

9 THE COURT: Redirect?

10 MS. RICCIARDELLA: Yes, ma'am.

11 REDIRECT EXAMINATION

12 BY MS. RICCIARDELLA:

13 Q Dr. Leventhal, at the start of Mr. Powers'
14 cross he asked you what you reviewed and relied on for
15 your opinions in this case, and he said that he
16 canvassed the amount of information that you relied
17 on.

18 In addition to the medical records and
19 reading the expert report of Dr. Mumper and listening
20 to the parents, did you also rely on your 30 plus
21 years of experience as a child psychiatrist in
22 rendering your opinions in this case?

23 A Yes, ma'am.

24 Q You were also asked if in your opinion there
25 are any environmental contributions to autism, and you

1 said perhaps. In your opinion, are thimerosal-
2 containing vaccines one such environmental
3 contribution to autism?

4 A As hard as we've looked, we see no evidence
5 to support that notion.

6 Q You also were asked about lumbar punctures.
7 You're not saying that all children with autism should
8 receive a lumbar puncture, are you, Doctor?

9 A No. Quite the contrary. Very rarely should
10 they get a lumbar puncture, but if there's indication
11 of central nervous system disease that can be
12 diagnosed by a lumbar puncture they should get one.

13 MS. RICCIARDELLA: I have no further
14 questions. Thank you.

15 MR. POWERS: No further questions based on
16 that.

17 THE COURT: Dr. Leventhal, I just have one
18 question for you, and it has to do with the exchange
19 between you and Mr. Powers. I felt like I was
20 watching a tennis match at some point there.

21 THE WITNESS: No love, though.

22 (Laughter.)

23 THE COURT: Well, that's part of a law firm
24 here that has a little Love in it, but I want to make
25 sure I understand what it was you were saying.

LEVENTHAL - REDIRECT

286

1 The way I do this is I restate what I think
2 you were saying, and you tell me whether I'm right or
3 wrong, okay?

4 THE WITNESS: Yes, ma'am.

5 THE COURT: What I heard you to say is that
6 you don't have confidence in the adequacy of the
7 record that exists here to establish the premise that
8 this child developed normally and then regressed.

9 THE WITNESS: That's correct. You know,
10 it's interesting. I mean, it's not surprising. I
11 mean, it's not that anybody was bad or did the wrong
12 thing.

13 I think in 2000-2001 it was a time when the
14 prevalence of autism was starting to rise, and it was
15 quite clear that pediatricians in particular who were
16 the people at the front line who would get the first
17 calls, see the kids first, weren't adequately trained
18 to see the nuances to make the diagnoses early.

19 In fact, there have been massive efforts on
20 the part of the American Academy of Pediatrics -- I've
21 actually worked with them on that primarily at the
22 Illinois level -- to go into pediatricians' offices
23 and begin to train them so they can start to pick up
24 these key developmental indicators.

25 The answer is this record is inadequate

LEVENTHAL - RE-CROSS

287

1 because a pediatrician might not have thought in 2000
2 or 2001 to even ask the kinds of questions that they
3 would ask today or we ask today.

4 THE COURT: Okay. Questions from the other
5 side based on mine? Let me just ask Ms. Ricciardella
6 first. She gets to go first. Anything?

7 MS. RICCIARDELLA: No, ma'am.

8 THE COURT: Okay. Then it's all yours, Mr.
9 Powers.

10 RE-CROSS-EXAMINATION

11 BY MR. POWERS:

12 Q You just used the term that the prevalence
13 of autism was rising around 2000 and 2001. You
14 believe the prevalence of autism was rising back then?

15 A I think it's been rising for longer than
16 that.

17 Q Do you believe that the incidence of autism
18 within the population is rising?

19 A So far I haven't seen evidence that suggests
20 that it's rising. However, the definitive study
21 hasn't been done, and I'm doing that now and I'll be
22 able to answer that question for you in about four or
23 five years.

24 Q We will wait with baited breath, and if you
25 could maybe convince the Special Masters to wait we'll

1 have some very interesting information, but it is an
2 open enough question that you're involved in a study
3 to look precisely at whether incidence is rising along
4 with the prevalence?

5 A Well, I wouldn't actually say that. I'd say
6 that the best we can tell right now there aren't
7 strong indicators of an increase in incidence, but we
8 can't answer that definitively so I think we're
9 reasonably comfortable saying if there's an increase
10 in incidence it's not gigantic; that much of the
11 change in prevalence is accounted for by many other
12 reasons.

13 But it's incumbent upon us as scientists to
14 go and say okay, let's nail this one down. It's a
15 very complicated study to do, very expensive. It's
16 going to take us five or six years to get done. We've
17 started, and hopefully we'll have data in four years
18 that we'll be able to tell you.

19 I would certainly hope that the Special
20 Masters don't wait for us because I think these
21 families need an answer and they need to be able to
22 move on with their lives.

23 MR. POWERS: No other questions, Special
24 Master. Thank you.

25 THE COURT: Thank you, Dr. Leventhal. You

1 may step down.

2 THE WITNESS: Thank you.

3 THE COURT: And safe travels.

4 (Witness excused.)

5 THE COURT: Ms. Ricciardella, does the
6 Respondent have anything further to offer?

7 MS. RICCIARDELLA: We do not, ma'am.

8 THE COURT: Okay. Mr. Powers, how about
9 Petitioners? Mr. Ferrell?

10 MR. POWERS: Special Master, we have no
11 additional witnesses to present. I think all we have
12 at this point is closing, so if we perhaps took a
13 brief, say 15 minute, break we'll be ready to close.
14 Will that work?

15 THE COURT: Government, is that adequate for
16 you?

17 MS. RICCIARDELLA: That's fine.

18 THE COURT: Okay. We'll reconvene at 25 to.
19 (Whereupon, a short recess was taken.)

20 THE COURT: We're back on the record then in
21 the case of Dwyer v. Secretary, HHS.

22 Mr. Williams, you're going to close for us?

23 MR. WILLIAMS: I'm going to do the closing
24 on general causation, and Mr. Powers will address the
25 case-specific issues here today.

1 First, I want to thank you and Special
2 Master Hastings and Special Master Campbell-Smith for
3 the attention you've given this case. I think this is
4 the most important case any of us have ever worked on
5 if you think of the public health implications of your
6 decision on general causation here.

7 There are still millions of kids getting
8 thimerosal in vaccines around the world, and what you
9 decide is going to be very important in what happens
10 in the future not just in this country, but to those
11 kids.

12 I think that we have established that there
13 is a biologically plausible mechanism of how
14 thimerosal-containing vaccines can cause regressive
15 autism in some children. First of all,
16 neuroinflammation can lead to regressive autism. I'm
17 going to show you later diagrams from -- that show
18 this is a generally accepted fact among the leading
19 scientists doing research on the subject.

20 We know from the adult monkey studies that
21 inorganic mercury can cause neuroinflammation.
22 There's no question about that. There may be a
23 question about how much it takes, but there's no
24 question that inorganic mercury in the brain can cause
25 neuroinflammation. That means there's no question

1 that inorganic mercury can cause neuroinflammation and
2 autism.

3 We know that thimerosal-containing vaccines
4 deliver inorganic mercury to the brain. We know that
5 from the Burbacher infant monkey model. We also know
6 from all the studies on humans and primates that
7 there's wide variability in the blood and brain levels
8 of mercury after exposure and therefore there must be
9 some infants at the far end of what Dr. Brent admitted
10 as a bell curve of susceptibility and the ability to
11 handle autism -- the ability to handle mercury that
12 some children are going to have very high exposures.

13 Next slide, please? Now, the two world
14 leading experts on mercury toxicity were going to come
15 and talk to us and give us their information on this
16 important public health matter. For reasons we don't
17 know, they're not here, but had they come, this -- we
18 would have been able to use their own writings to show
19 these facts.

20 MR. MATANOSKI: Your Honor, I very rarely
21 object during argument, but I do think that this is
22 actually beyond what the scope of argument should be
23 in a specific causation case.

24 I understand that we were going to hear some
25 general causation, but a slide entitled What Magos and

1 Clarkson Would Have Confirmed? If they wanted to put
2 on evidence on that the time to do that was --

3 THE COURT: Well, I think we have some of
4 those items in evidence.

5 MR. WILLIAMS: All of these are in evidence,
6 Your Honor.

7 THE COURT: You didn't list -- again, the
8 Burbacher infant monkey study is in evidence. You
9 didn't list the exhibit number.

10 I'm going to permit Mr. Williams to argue
11 what he wants to argue.

12 MR. MATANOSKI: Very well.

13 THE COURT: I would take exception that we
14 don't know why they're not here. I think it's pretty
15 clear from our status conferences that we understand
16 why they are not here.

17 MR. MATANOSKI: Thank you, ma'am.

18 THE COURT: Go ahead, Mr. Williams.

19 MR. WILLIAMS: We did -- also just to
20 respond to the objection, we specifically reserved
21 closing on general causation until today at the last
22 hearing.

23 Clarkson is a co-author of the Burbacher
24 paper, and in that paper there is a statement that the
25 microglial reaction to the inorganic mercury in the

1 brains of those adult monkeys -- we're talking
2 about the adult monkey studies within the infant
3 monkey paper, and they say it is not protective
4 mechanism. It is a toxic mechanism. That's Clarkson
5 who says that.

6 We also know, and this is also out of the
7 Burbacher paper, but it's on one of Magos' own review
8 papers in his CV, that the human brain to blood ratio
9 is six whereas in the monkeys, in those infant
10 monkeys, it's only 2.6, which means that in a human
11 infant 2.3 more times -- two and a half times -- more
12 mercury will be deposited in the brain, given the same
13 dose into the arm. That's established in Magos' own
14 writings, and it's established in the Burbacher paper.
15 No evidence to the contrary.

16 We also -- it also says in the Burbacher
17 paper that the infant macaques had blood levels that
18 were comparable to the human infant levels in the
19 three studies we have, two by Pichichero and one by
20 Stajich, of human infants who got thimerosal-
21 containing vaccines and then had their blood levels
22 measured. So it is reasonable to conclude that brain
23 levels of inorganic mercury in some human infants are
24 in the same range that ignited neuroinflammation in
25 those adult monkeys.

1 I think we've established that thimerosal-
2 containing vaccines belong on the list of potential
3 environmental triggers of ASD, specifically regressive
4 autism. We already know from the literature and from
5 the testimony that there are several well-recognized
6 environmental triggers -- thalidomide, valproic acid,
7 terbutaline.

8 And although Dr. Leventhal didn't know it
9 terbutaline has actually been studied in a mechanistic
10 way in that rat study that we gave you -- Zerrate is
11 the first author -- again by the same group at Johns
12 Hopkins that established it is a neuroinflammatory
13 mechanism that seems to be how terbutaline causes
14 autism.

15 We know that certain viruses can cause
16 autism again through neuroinflammation, and we know
17 inorganic mercury belongs on the list because we know
18 it causes neuroinflammation in monkeys.

19 Now epidemiology for a minute. The existing
20 epidemiological studies are uninformative on the
21 question of regressive autism. Everybody agreed with
22 that. There is not one study that has actually tried
23 to isolate regressive autism and compare it to kids
24 that have taken thimerosal-containing vaccines.
25 There's not one study on that subject.

1 Not one study has ruled out an association
2 between thimerosal-containing vaccines and regressive
3 autism, and both Goodman -- Goodman agreed with
4 Greenland on that and said he's technically correct
5 that the numbers would allow regressive autism to be
6 associated with thimerosal-containing vaccines even
7 within the studies we have, so the existing
8 epidemiology does not rule it out.

9 Now Fombonne. He contradicts himself. I
10 think we showed that during the cross. First of all,
11 he attacks all the studies that purport to show an
12 increase in the rate of autism over time on the
13 grounds that they inadequately detected it and that
14 the real rate, we don't know what it is, but we know
15 it's always much higher than what the old studies
16 detected.

17 Then he turns around and cites studies that
18 purport to show an increase after removal of the
19 vaccines and says that's evidence that the vaccines
20 didn't cause autism. He can't have it both ways.
21 He's saying any study that finds an increase is
22 unreliable, and then he turns around and says these
23 studies that show an increase after removal of
24 vaccines are reliable. It just doesn't make sense.

25 There is epidemiology in favor of causation.

1 Respondent has not refuted the Young VSD study, and I
2 know you in particular, Special Master Vowell,
3 distrust the Geiers, but the Geiers only provided
4 access to that data. It was Dr. Young who did the
5 analysis. She's a full-fledged epidemiologist here at
6 George Washington.

7 She did the analysis. Her letter clearly
8 states that it could be duplicated easily. Just run
9 the program again. The government has it. If her
10 analysis was wrong, we would have heard about that by
11 now. We haven't.

12 Fombonne tried to critique it, but he
13 clearly didn't understand it. On the chart that he
14 made and showed you, he confused the two lines. He
15 confused the one that was charting mercury exposure
16 with the line that was the increase or change in the
17 rate of the ASD diagnoses, and then he also claimed
18 that the study looked at linear correlations when it
19 actually used nonlinear rate ratios.

20 That's all explained in Dr. Young's May 30
21 letter to the Court, which has not been rebutted or
22 refuted by HHS. Again, if her analysis was wrong HHS
23 could easily rerun that program and prove it. That
24 didn't happen, so I think that the only epidemiology
25 we've got is in our favor.

1 Now, the standard of proof in the program,
2 as I understand it, does not require Petitioner to
3 have epidemiology to support causation, but if you
4 Special Masters decide that under the facts of this
5 case that's the one thing we're lacking -- that we
6 needed to have an epidemiological study that links
7 thimerosal-containing vaccines to autism -- I think
8 you've got to presume that those two VSD studies would
9 come out in our favor.

10 These are the studies that we tried to get
11 access to do and were denied. These are the studies
12 that a special panel of experts convened by NIH said
13 should be done, and these are studies that Dr. Goodman
14 -- not only the defense epidemiologist in the case,
15 but the epidemiologist on the IOM Safety Committee,
16 the Vaccine Safety Committee. He told us on the stand
17 in this trial he thought they should be done.

18 This Administration won't do them. This
19 Administration currently running HHS seems to want to
20 decide this case without that data. I think you've
21 gotta -- we're going to file a formal motion on this
22 later, but we think we're entitled to a presumption,
23 that these kids are entitled to a presumption that
24 those studies would come out in support of causation.

25 Or, there's still time. We think you've got

1 the authority to issue an order authorizing the
2 vaccine trust fund money to be spent to do those
3 studies. Now, if you find that we must have
4 epidemiological evidence then you also ought to wait
5 for the results of Respondent's two autism thimerosal-
6 containing vaccine studies that are underway right
7 now.

8 We're very suspicious that the government
9 wants to rush this case through a causation decision
10 when it's got -- the two most expensive studies it's
11 doing on the question are going to be published later
12 this year, not in time for you to have them. Julie
13 Gerberding, who is the NIH director today, said in a
14 report to Congress -- it's one of our exhibits -- that
15 both of these studies are finished and will be out in
16 September or so of this year.

17 I think you got to at least wait until we
18 have those studies because they're specifically on
19 autism and thimerosal-containing vaccines. One of
20 them is a case control study within the VSD, and one
21 of them is this fortunate Italian randomized trial
22 where a bunch of kids got different doses of
23 thimerosal and then they've gone back and examined
24 them to see if there's any differences.

25 We don't know what the results are. I

1 presume HHS does because at least one of these
2 manuscripts is done, but they haven't offered that
3 evidence here.

4 If you decide that we have to have a primate
5 brain study that shows TCVs ignite neuroinflammation
6 in the infant monkeys, we've got uncontradicted
7 evidence that inorganic mercury causes
8 neuroinflammation in adult monkeys. We have
9 uncontroverted evidence that TCVs deliver inorganic
10 mercury to the brain of infant monkeys, but we don't
11 have the brain pathology work yet from that Burbacher
12 study. That's still coming. They're working on it.
13 And again, this is a study funded by Respondent.

14 And, if you're -- now, you may agree with us
15 that we've already put on enough evidence and you can
16 decide in our favor on general causation, but if you
17 think we need this evidence you should wait for it.
18 It's coming. It's partially within the control of the
19 Respondent as to when it gets delivered to you.

20 So let me summarize again our biological
21 plausibility argument. We know that the vaccines
22 deliver inorganic mercury to the brain. We know the
23 wide individual variability. We know that inorganic
24 mercury persists in the brain for years.

25 Some human infants will have inorganic

1 mercury levels comparable to those that ignited the
2 neuroinflammation in those adult monkeys.

3 Neuroinflammation has been found in almost all the
4 brains of human autistics when it's looked for, and
5 persistent neuroinflammation can explain the symptoms.

6 The Pardo group has two diagrams, one of
7 which we showed Dr. Leventhal. This is one we didn't
8 show. This is from a second article by the Pardo
9 group reviewing this. I wanted to pull this one up
10 because it specifically shows that both infections,
11 such as measles virus, and toxins, such as inorganic
12 mercury or terbutaline, can affect postnatal brain
13 development and brain maturation.

14 It can explain all these neurobiological
15 trajectories here and eventually lead to the result of
16 autism spectrum disorders. This is a generally
17 accepted model of how autism can be caused by both
18 viruses and by toxins such as inorganic mercury.

19 And then finally, the last diagram, the one
20 we showed Dr. Leventhal. This specifically talks
21 about the neuroglial activation being at the center of
22 all of this leading eventually to the regressive
23 phenotype of autism.

24 And I think under the standards of the
25 program we've proven that these vaccines, by

1 delivering that inorganic mercury to the brain, in
2 some kids can cause neuroinflammation that can cause
3 autism.

4 THE COURT: Mr. Powers?

5 MR. POWERS: Thank you, Special Master, and
6 thank you to the other Special Masters.

7 I echo Mr. Williams' sentiments in
8 understanding the time and the energy and the effort
9 that's gone into preparing and presenting and
10 listening to these cases and also from Respondent's
11 side the effort that it's taken to get these cases to
12 hearing in the first place, to develop the evidence
13 and present the hearings.

14 I also do want to thank the families, the
15 people who have been here. Not just the folks who
16 volunteered to be the test cases, but those families
17 in the program that really have given Mr. Williams and
18 myself and other members of the PSC the honor and the
19 privilege of representing them here and the huge
20 amount of trust that they've placed on us and they've
21 placed on the experts that have been presenting
22 evidence here, so I want to acknowledge them and thank
23 them and let them know what a privilege it is to be
24 here on their behalf.

25 I am going to talk about, as Mr. Williams

Heritage Reporting Corporation
(202) 628-4888

1 said, individual causation here, and I'll be brief.
2 If this was a civil trial, Special Master, I believe
3 that -- and we were the Plaintiffs rather than the
4 Petitioners, would be entitled to a directed verdict.

5 What you heard from Dr. Leventhal was wild
6 speculation about things that were not in Colin
7 Dwyer's medical records and opinions about his view of
8 causation that are completely unsupported by the
9 record. Let's first talk about the issue in Colin's
10 case, as Dr. Leventhal said in his report, that he
11 believes Colin Dwyer's autism is likely caused by a
12 mix of genetic abnormalities.

13 Now, he says that there's no genetic testing
14 that's available in the record to confirm that or
15 refute it, but I would explain to you, Special Master,
16 that even if the genetic testing had been done his own
17 testimony was just a tiny minority of cases of autism
18 have any sort of genetic identifiable abnormality
19 associated with them.

20 And so in the absence of any genetic
21 testing, and you've heard the testimony from experts
22 in the other test cases that only about 10, perhaps
23 12, percent of cases of autism have known genetic
24 causes, so all we can assume at most, even if he is
25 right, that if that genetic testing had been done

1 there's only about a 10 to 12 percent chance that
2 Colin would have been -- would have been seen to have
3 an identifiable genetic cause.

4 So it's wild speculation at two levels.
5 First, assuming, as he seems to do, that if the
6 testing had been done it might have showed something,
7 but also assuming that in the absence of that testing,
8 which is what we have here, that it's more likely than
9 not that it was genetics. No -- not a scintilla of
10 evidence in support of that notion that he expressed
11 in his report that this condition is caused by a
12 genetic abnormality.

13 Secondly, there is no evidence in the record
14 that he was able to point to that showed evidence of
15 early problems. And he went on at length about it's
16 so subtle it might be missed. Well, sometimes it's so
17 subtle that it is -- doesn't exist. It doesn't exist.
18 And to -- again, wild speculation unsupported by the
19 record, explicitly contradicted by the parents'
20 testimony.

21 This was a normally developing boy, a
22 completely normal progress of development with nothing
23 in the record indicating anything related to autism
24 had gone wrong before that first note in the chart at
25 about 20 months of age when he had a language delay

1 that was identified.

2 And there was some debate about that 15
3 month chart note. You can debate that back and forth,
4 but before 20 months that literally is the only shred
5 of evidence, and that tiny shred of evidence would not
6 support a verdict again if this was a civil case.
7 Everything else that he said on the issue was complete
8 speculation and explicitly, explicitly contradicted by
9 the record and by the parents' testimony.

10 Dr. Leventhal also talked about his
11 understanding or belief -- he would not want to use
12 the word belief, but his understanding -- that there
13 is no such thing as a regressive phenotype.

14 Well, Special Master Vowell, you have heard
15 in other of these cases and in the general causation
16 testimony that peer reviewed, published medical
17 journals recognize if not a diagnostic phenotype a
18 symptomatic phenotype of regression.

19 You recall that Dr. Rust, when he testified
20 in the King and Mead cases, said that even
21 aggressively retrospectively analyzing medical
22 records, interviewing parents, looking at videos, he
23 sort of ran up against the wall. There are always
24 about 20 percent of children with autism that as hard
25 as he looked retrospectively appeared to have

1 perfectly normal progress.

2 And we offer and the evidence supports,
3 particularly the Dwyers' testimony, that Colin fits
4 squarely in that 20 percent. And Dr. Leventhal's
5 testimony to the contrary, again completely
6 speculative and without a basis in the evidence or the
7 record, and it's based on assumptions about a
8 percentage in his patient population that clearly
9 doesn't include Colin in this instance.

10 Dr. Leventhal's testimony is a classic
11 example of what I think it was Dr. Rust described with
12 Tycho Brahe where you're so fixed on an idea that you
13 interpret evidence with such a strong bias towards
14 what you think the ultimate answer is that all the
15 evidence looks like it answers that question the way
16 you want to answer it, sort of looking through the
17 telescope and even through the wrong end of the
18 telescope.

19 And as I said in the closing in the Mead and
20 King cases, Dr. Rust was so fixed on the idea that
21 autism looks like Rett's, Rett's is genetic, that
22 therefore all autisms must be genetic, that his
23 fixation on that Rett's syndrome idea blinded him to
24 the possibilities in the peer-reviewed scientific
25 literature that suggested that there are environmental

1 factors that may play a role, environmental factors
2 that have been identified as playing a role.

3 And you see that same sort of approach here
4 with Dr. Leventhal where even with the widely
5 recognized, as Mr. Williams described, prenatal and
6 some postnatal exposures that contribute to the
7 appearance of autistic symptoms, Dr. Leventhal
8 stubbornly refused to entertain the notion except in
9 the most narrow hypothetical manner that environmental
10 exposures interacting with genetic predispositions
11 could result in the appearance of autism, particularly
12 of regressive autism.

13 And so you have yet another expert from the
14 Respondent's side so fixed on a rigid idea that it's
15 all genetic that they miss evidence that would support
16 an alternative theory of causation.

17 It's also important to mention if you're
18 looking at this genetics issue really for 10 years now
19 if you go back through the literature there are
20 indications that we're discovering new genetic
21 abnormalities, and as we get more sophisticated and do
22 better scans we're going to find more and more. Dr.
23 Leventhal testified about that today. We're finding
24 new anomalies all the time.

25 Well, these folks who are looking for those

1 anomalies have been doing it for at least a decade,
2 studies all over the country, and as you heard it's
3 still just a tiny, tiny percentage of autistic
4 disorders are associated with an identifiable genetic
5 contributing cause.

6 There's something else out there, and these
7 doctors, particularly Dr. Leventhal in this case,
8 ought to be open to the idea that there is something
9 else out there, and they should be open to that idea
10 because it's supported by the literature.

11 So because Dr. Leventhal's testimony
12 consisted almost entirely of assumptions, a priori
13 conclusions, speculation contradicted by the record,
14 contradicted by the parents' testimony, his testimony
15 on causation in this specific case ought to be given
16 very, very little weight, and you ought to decide,
17 Special Master Vowell, that Colin Dwyer is entitled to
18 compensation.

19 And finally, in integrating the theory of
20 general causation that Mr. Williams described and how
21 you might approach applying that general theory to
22 this case, one way to approach it, I suggest, is that
23 you could look at the evidence right now points to,
24 and the testimony, and everything that's come in in
25 these hearings points to sort of three possible models

1 in explaining the etiology of autism and particularly
2 the etiology of Colin Dwyer's autism.

3 The first is that nothing caused it. Well,
4 scientifically it's hard to accept and probably
5 impossible to accept the idea that autism or any other
6 medical condition is literally caused by nothing, but
7 that is intellectually at least or logically at least
8 a possibility that his autism and the autism of these
9 other children has no cause, but we can rule that out
10 because science won't accept that as a plausible
11 conclusion.

12 You're then left with two other
13 possibilities. One is the general causation
14 possibility that Mr. Williams described and is
15 supported by the evidence in these cases that
16 thimerosal-containing vaccines in a child such as
17 Colin Dwyer can contribute and they're on the list in
18 the differential diagnosis and can be a substantial
19 contributing cause of the autistic symptoms,
20 particularly the regressive autism presentation that
21 we see in Colin's case. That's a conclusion that's
22 supported by both general causation evidence and case-
23 specific evidence.

24 There is the alternative theory, if you
25 will, that seems to be suggested by Dr. Leventhal,

1 which is that it was caused by something, but we don't
2 know what that something is. And as you heard him
3 testify in this case, the only thing that he could
4 describe that would have contributed to Colin Dwyer's
5 autistic regression was some genetic component, but
6 you also heard him testify that that identifiable
7 genetic component is only present in 10 to 12 percent
8 of cases of autism.

9 So his theory of causation in Colin's case
10 is 10 to 12 percent likely to be true, but 88 to 90
11 percent likely to be untrue and so under the standards
12 of the program there is no alternative theory that's
13 viable supported by the evidence presented here by the
14 Respondent.

15 So on the one hand you have one of the
16 competing theories ruled out legally by the standard
17 in the vaccine program; another theory, which is that
18 nothing caused it, ruled out as a matter of scientific
19 principle, and that leaves you with an evidence-based
20 model and mechanism of causation in this case that
21 associates thimerosal-containing vaccines with Colin
22 Dwyer's symptoms and his autistic regression.

23 It's supported by the general causation
24 testimony and evidence, by the case-specific testimony
25 and evidence, and it's that record that supports an

1 award of compensation in this case for Colin Dwyer.

2 THE COURT: Thank you, Mr. Powers.

3 Mr. Matanoski, are you closing for
4 Respondent?

5 MR. MATANOSKI: Yes, ma'am. First I'd just
6 like to thank the Dwyers -- I see Mrs. Dwyer is still
7 in the courtroom -- for allowing their case to go
8 forward to help the Court decide this important issue,
9 to be the third test case.

10 I'm sure it wasn't an easy time, but
11 probably the couple of days in the courtroom don't
12 compare with what dealing with children with autism is
13 all about, and I'm sure that that's shared by families
14 everywhere, those challenges, and in fact also no
15 doubt some joys that are associated with that too.

16 I am giving the closing. I drew the short
17 straw. Although by the time we get up here it must
18 seem like we really enjoy ourselves doing this, we
19 really don't. I'm sure the Court appreciates when
20 we're brief. I had hoped to be a little briefer than
21 I'll have to be, but I still hope to make it fairly
22 short.

23 First, I want to point out on the specific
24 causation lawyers are kind of slick. They move things
25 around and kind of play a shell game. When I heard

1 the comments about the specific causation case it made
2 it sound like Respondent has the burden here to show
3 what actually caused it. Actually, the burden is on
4 the Petitioners to show the vaccine caused autism.
5 And Respondent doesn't have to show that it's genetic
6 in origin.

7 I think that the comments about Dr.
8 Leventhal's testimony on that point are a little off
9 the mark. What Dr. Leventhal was saying is
10 essentially most practitioners, most folks who study
11 autism as a profession, believe that it's largely
12 genetic in nature, and that's where the research has
13 been directed, and in fact it's been fruitful in that
14 regard. There's still much more to do, but everything
15 that has come out has pointed to genetics as very
16 strongly associated with autism.

17 And most of the research that's been done
18 has shown that autism would have a prenatal course,
19 that it can essentially be seen, that the
20 preconditions, if you will, for autism are in place
21 beginning before birth in most instances.

22 I think there is also a little bit of a
23 misconception about what the force of Dr. Leventhal's
24 testimony was. He basically was saying that Colin's
25 case really is sadly no different than many of the

1 cases that he sees where there's a gradually emerging
2 picture of difference, perhaps delays, but at least
3 difference in the quality of behavior in a child as
4 the child develops.

5 It's not necessarily apparent right from the
6 start. That's very rare. In most of the cases it's
7 apparent later, and it may seem that a child who has
8 just made -- reached certain milestones, has
9 subsequently had trouble keeping those milestones, as
10 the condition progresses there often is an
11 improvement. That's the natural course of the
12 condition.

13 And what Dr. Leventhal was saying is as time
14 has gone on more and more of the researchers have
15 realized that if you look back in the cases that
16 apparently seem to have a normal trajectory and then
17 there seems to be a loss that you see earlier signs
18 and symptoms that all was not on a normal trajectory
19 from the beginning.

20 That was the force of his testimony, and
21 that testimony was backed up by other testimony this
22 Court has heard before he took the stand. Dr. Lord,
23 who specifically studied regressive autism, made that
24 point quite clear that as this has progressed the
25 concept of regressive autism has become more

1 encompassing, that autism itself seems to have a
2 progression where it appears that there's a loss, but
3 when one goes back one sees that there's unusual or
4 differences in development earlier on in almost every
5 case.

6 What Dr. Leventhal was saying is as they've
7 gotten better, the folks who do this for a living, the
8 folks who make their lives about studying autism, they
9 have realized that more and more of those cases they
10 can see the differences earlier on and that in very
11 few instances when they've studied quite closely do
12 they see that there isn't some sign that the
13 trajectory or the course is not the same as other
14 children.

15 Dr. Mumper's testimony, which really wasn't
16 much of a focus during the closing argument here, she
17 seems to be relying on isolated lab results to come up
18 to the conclusion that vaccines are the cause here.
19 She's been asked in this case and in other cases what
20 would that pattern be? What do we need to look at?

21 And in fact, there doesn't seem to be a
22 particular pattern. In the King case certain test
23 results were relied upon to draw the conclusion that
24 vaccines or thimerosal in vaccines were associated
25 with autism in that case or a cause of autism in that case.

1 In the Mead case, other results were looked
2 at and thought to be by Dr. Mumper indicative that
3 vaccines were causing or evidence that vaccines were
4 causing autism, and now in Colin's case we see yet a
5 different pattern of test results being relied upon to
6 reach that conclusion. In fact, those test results,
7 with really no pattern, how can one say that there is
8 any kind of clinical evidence from these test results
9 that one can rely on to make that kind of -- to draw
10 those kind of conclusions that Dr. Mumper is relying
11 on?

12 And as you'll see when you go through the
13 testimony, we believe that she largely moved away from
14 relying on any specific test result when questioned
15 about each specific one. She said that essentially
16 the mercury test result, the positive provocation, was
17 really the only test that she had that showed that
18 mercury was there and that she was relying on to
19 implicate thimerosal as a cause in this case. But
20 then she admitted that she really didn't know what the
21 normal range would be for that test. How can one say
22 that this is an abnormal result when one doesn't know
23 what normal is?

24 Her testimony seems to be formed largely by
25 the Defeat Autism Now world view, which is that toxins

1 and heavy metals are implicated in autism, and to use
2 the example that Mr. Powers used of Tycho Brahe, I
3 think that comes to bear with her testimony as well.

4 It doesn't matter which test results she's
5 looking at. It always comes back to a heavy metal or
6 a toxin when it could be that the acidosis, that the
7 lactic acid buildup, was because the child was crying,
8 for example, when the test was taken.

9 The scenario that we see played out with
10 Colin, his course, is sadly played out too often
11 amongst children with autism. It is played out not
12 only in this country, but in other countries. It's
13 played out across the globe in fact, this gradually
14 emerging picture of a child who seems to be slipping
15 into autism, seems to have had some positive or normal
16 development, but then gradually having more trouble
17 speaking, gradually -- a gradual awareness, if you
18 will, that the child is not developing in the same way
19 as one would expect.

20 This doesn't seem to be influenced by the
21 presence of vaccination or not. As I said, it's
22 repeated around the globe whether the children are
23 vaccinated or not. It doesn't seem to be influenced
24 by whether thimerosal is in those vaccines or not.

25 As you've already seen in some of the

1 studies that have come out, vaccines being in, or
2 thimerosal being in the vaccines or not being in the
3 vaccines isn't changing the prevalence of autism. It
4 continues to rise. It doesn't seem to have an impact
5 on it.

6 It doesn't change. Vaccination in the child
7 who has autism doesn't change the clinical
8 presentation of the case at all. It's the same
9 clinical presentation whether the child is vaccinated
10 or not and whether that vaccine that the child
11 received had thimerosal or not. It simply is not
12 having an impact at all. Again, this is unfortunate.
13 It's played out far too often, but it is not being
14 influenced. The condition is not being influenced by
15 vaccination.

16 I want to touch now on the general causation
17 because that was a matter of some discussion by Mr.
18 Williams. I see that the glutathione theory, which is
19 where we started with this general causation case,
20 seems to have dropped out. It wasn't in the opening
21 statement. It wasn't in the closing statement. It
22 seems that the theory of causation now is
23 neuroinflammation and largely seems to be
24 neuroinflammation alone.

25 That was a theory that Dr. Kinsbourne

1 recently advanced in this case that obviously wasn't
2 present until just a couple of weeks before the trial
3 in May. This is something that after six years in the
4 making this seems to have come up kind of at the very
5 end.

6 Mr. Powers and Mr. Williams have focused on
7 the causation burden and say that the information
8 they've given on neuroinflammation meets that
9 causation burden. That would be their focus is on the
10 causation burden under Althen and Grant, the specific
11 criteria that they need to meet under that test that
12 the Court has articulated, the Federal Circuit has
13 articulated.

14 Respondent starts a little earlier than
15 that, if you will, in the calculation, and that is
16 about what evidence feeds into Althen and Grant. We
17 start out with an analysis under Daubert about whether
18 there's good scientific evidence to even meet that
19 burden.

20 So obviously if the evidence that you have
21 or the evidence that's being offered does not meet the
22 criteria of good scientific or reliable evidence then
23 you'll have nothing at all to test about whether
24 you've met your legal burden under Althen. Our
25 position has been throughout this that the

1 Petitioners' evidence that they've offered, the
2 testimony they've offered, fails to meet that standard
3 of reliability that is set out under Daubert and that
4 this Court applies.

5 Daubert stands for the proposition that
6 there are not multiple kinds of scientific evidence, a
7 kind for scientists to use and a kind for Judges to
8 use. There's only one kind of scientific evidence.
9 It is the kind that scientists use. That's the kind
10 that Judges are supposed to be looking for as well.

11 When scientists or when witnesses come and
12 present something that is not -- does not meet the
13 standards that general scientists would use then the
14 Court must reject that testimony, cannot use it. It
15 cannot feed into a legal standard of causation.
16 That's the problem Petitioners face right now. The
17 testimony they've offered doesn't meet the Daubert
18 standard.

19 Now, the Court has permitted PSC six years
20 to gather evidence and to find competent experts.
21 They've permitted the formation of a large group of
22 attorneys with essentially the resources of hundreds
23 of other attorneys at least theoretically to help them
24 advance their case. And they've permitted discovery.
25 In short, they've permitted a -- a fairly, they've

1 given ample -- the Court has given ample opportunity
2 to develop a causation case here.

3 What you ended up with, however, was
4 speculation rather than good scientific evidence, and
5 it was speculation that really came in, speculation
6 that was kind of last minute, if you will, in the way
7 it came up in terms of the neuroinflammation case.

8 I'm going to just touch briefly on some of
9 the things that Mr. Williams discussed, some of the
10 specific pieces of evidence. He discussed the
11 Burbacher study and the adult monkey studies that were
12 extensively discussed in the May trial.

13 If you kind of distill that down to its
14 kernel, what do the adult monkey studies tell us?
15 That mercury given to these monkeys in amounts way
16 exceeding those in childhood vaccines produced glial
17 activation to some extent and no clinical symptoms.

18 If you look at the Burbacher study, the
19 Burbacher study essentially told us that ethyl mercury
20 leaves the body quickly, but some is converted to
21 inorganic mercury. The amounts involved in that study
22 were far below those in the adult monkey studies.

23 But let's assume for a moment that after
24 these autopsies are done Dr. Burbacher, who, by the
25 way, is not conducting studies at the United States

1 Government's behest. I'm not sure at whose behest
2 he's conducting the studies that were mentioned by Mr.
3 Williams, but it's not at the United States
4 Government's behest.

5 Let's assume for a moment that these -- that
6 he conducts his study and comes back and it shows some
7 sort of glial activation similar to what was seen in
8 the earlier adult monkey studies. Well, we know in
9 those studies at much higher levels of inorganic
10 mercury and seeing glial activation there was no
11 clinical signs.

12 What should we expect in terms of these
13 studies then, these autopsy results from the Burbacher
14 study? There would be no clinical signs. Well,
15 certainly autism has clinical signs because the
16 families deal with that all the time.

17 They also relied on the studies by D.B.
18 Pardo, and we heard of the -- the Lopez-Hurtado
19 studies. The interesting thing about those is it
20 wasn't a small group of patients of clearly regressive
21 autistic children that we've heard premised as the
22 phenotype for vaccine-related autism. It was these
23 findings of markers, of neuroinflammation, in these
24 individuals was found across the board. It was not a
25 specific phenotype. It was everyone.

1 Now, certainly the Petitioners are not
2 coming in here and saying that the 50-year-old people
3 in Lopez-Hurtado, that every, every case of autism is
4 caused by thimerosal in vaccines. This was a
5 nonspecific finding that the Pardo group and Lopez-
6 Hurtado had seen in the autopsy studies. It was
7 everyone.

8 Dr. Pardo provided a letter trying to
9 explain some of these results because of this sort of
10 late development of the neuroinflammation theory, and,
11 as you know, he was ready to testify if the
12 Petitioners desired.

13 Interestingly, although neuroinflammation is
14 seeming to be the sole focus of their case now, they
15 didn't want to hear from him; although -- they didn't
16 want to cross-examine him as it were, although they
17 did -- they are relying on his study, which he of
18 course explained a bit in his letter that was provided
19 to the Court.

20 There was also some discussion by Mr.
21 Williams of epidemiology. Again, I think the notion
22 of trying to say that the epidemiology that's come out
23 since this allegation first came up can be dismissed
24 because now the Petitioners are changing their focus
25 to clearly regressive autism is certainly not borne

1 out by the evidence. You can't dismiss this.

2 The interesting thing about their theory,
3 and which was a little bit frustrating I think when we
4 were going through the general causation, is as you
5 ask each expert is your theory, is your mechanism,
6 whether it's glutathione or it's neuroinflammation, is
7 that going to be limited to clearly regressive cases
8 and they said no. So why would the epidemiology then
9 have to be limited to clearly regressive when the
10 mechanism isn't? The mechanism that's being offered
11 wouldn't just come up in a clearly regressive case.

12 But apart from that, you've heard from
13 Respondent's experts who have studied autism that
14 there isn't this phenotype of clearly regressive
15 autism, and that was the whole premise for throwing
16 out, as it were, the epidemiological studies.

17 There was some discussion about Petitioners'
18 Trial Exhibit 17, a letter from Dr. Young, and also
19 the discussion of the epidemiological study that was
20 introduced I think it was the fifth day of trial from
21 -- with the authors of Young, Geier and Geier. That
22 epidemiological study was sponsored by the PSC, as I'm
23 sure the Court is aware from reading the
24 acknowledgements. It was essentially done for
25 litigation purposes.

1 Dr. Young's letter, which is Petitioners'
2 Trial Exhibit 17. I think there is a little
3 housekeeping we have to do with respect to that.
4 There was -- when that was first introduced during the
5 trial I can explicitly remember saying that whether we
6 would agree that it could be considered by the Court
7 was a matter that needed further discussion.

8 But if it is considered by the Court, I
9 think it bears mention that there is actually some
10 criticism of the study that's out there now. Mr.
11 Williams said the government hasn't spoken as if the
12 government immediately turns around and can do an
13 epidemiological study responding to what is a clearly
14 flawed study in the course of a month.

15 That's not how science has evolved. Good
16 science actually takes a little while, and good
17 epidemiological studies take a little while to do.
18 I'm not suggesting that there would be any study done
19 to reply to that. If one canvasses the comments that
20 have come out since the PSC has released this study,
21 they have uniformly criticized the methods used in
22 that study, including criticisms by one of the
23 Petitioners' own experts, Dr. Greenland.

24 So to suggest that the silence of the
25 government in the face of a litigation-generated,

1 clearly flawed study means the government has no
2 answer is simply not true.

3 To hopefully wrap up here, again the
4 government's case is essentially saying that the
5 Petitioners have no good scientific evidence. Good
6 scientific evidence isn't a hypothesis generated by a
7 phone call from Petitioners' counsel to an expert
8 who's appeared before the Court in vaccine cases well
9 over 100 times on myriad issues. That's not good
10 science.

11 An expert or a witness who will come before
12 you just a couple of weeks before trial ginning up
13 essentially a hypothesis strung together by little
14 pieces of information -- a study of adult monkeys many
15 years ago, a study of infant monkeys more recently, a
16 study of autistic patients a couple of years ago --
17 that's not how good science is done.

18 It's not courtroom driven science. It's
19 done by researchers, the types of witnesses the
20 government presented, the ones who are researching in
21 the field of autism, that are making their profession,
22 their lives, about researching autism.

23 Daubert makes clear that the courtroom isn't
24 the place for speculation and spurious reasoning
25 passed off as science simply because the witness who

1 appears before you has a Ph.D. or an M.D. after his or
2 her name. It's about the scientific method and
3 scientific process.

4 After six years and countless opportunities,
5 PSC has failed to offer any such evidence. Instead
6 it's offering essentially the same thing that you've
7 seen in far too many vaccine cases, which is the same
8 experts who seem to think that science in the
9 courtroom is different than science that's practiced
10 by the researchers outside it.

11 And ironically, and I'm sure this was not
12 lost on the Court, the proponent of the hypothesis
13 that's driving this litigation right now, the
14 proponent of that hypothesis appeared before you,
15 Special Master, only several months before he came up
16 with that hypothesis telling you that he could not
17 conclude, based on the evidence, that thimerosal
18 caused autism.

19 And yet after a phone call from the PSC he
20 did come up with that hypothesis just a couple of
21 months later. And the irony I'm sure is not lost on
22 the Court either that this witness who came up with
23 this hypothesis of how vaccines are causing autism
24 does not treat children with autism; in fact, does not
25 even treat children for any neurologic conditions at

1 this point.

2 Petitioners have failed to meet their
3 burden. They have no -- they have no scientific
4 evidence period. Without scientific evidence they
5 cannot meet their burden under Grant and Althen. They
6 can't prove general causation, and obviously if they
7 can't prove general causation they cannot prove
8 specific causation.

9 Thank you, Your Honor.

10 THE COURT: Brief rebuttal?

11 MR. WILLIAMS: Very brief. First, on the
12 allegation that this is a recent invention, if we had
13 been forced to try this case in 2004, two years after
14 we started, we wouldn't have had the Burbacher infant
15 monkey data. We wouldn't have had any of the
16 neuroinflammation data at all. All of that was
17 published in 2005 and later.

18 Frankly, we have tried to resist the rush to
19 decide this case because we know how many other
20 important studies are going on that will inform the
21 Court on the very questions where there may still be
22 some doubt like on the dose level of how much
23 inorganic mercury it takes to set off
24 neuroinflammation in infants. We don't think there's
25 any need to decide the case in a hurry when we have so

1 much important science still going on, but we are here
2 and I think we've proven our case.

3 Now, as to whether there's a regressive
4 phenotype or not, I think the best evidence you've
5 seen is not created by our experts. It's from the
6 medical literature, and it's the CHARGE study out of
7 California where a group of independent
8 epidemiologists, none of them connected to us, looked
9 very hard at whether or not there was a true
10 regression of both language and social interaction in
11 some kids.

12 And they found that, yes, in 15 percent of
13 the autistic cases in California that they looked at
14 there was true regression of both of those features.
15 Now, it makes sense from a timing point of view.
16 Neuroinflammation may well explain most autistic
17 cases. It may well be that that's how thalidomide
18 works. We have really good evidence that's how
19 terbutaline works. And it's just a question of
20 timing. It's when are you exposed to the agent that
21 can trigger the neuroinflammation.

22 In the case today we heard that this child
23 had early insults of mercury, earlier than most
24 children got, but it could well be that the
25 development of these regressive features happens as

1 the neuroinflammation gets going, and it can't start
2 until they get enough mercury in their brain to
3 trigger it. It makes sense that it would be
4 regression, but that doesn't mean that
5 neuroinflammation doesn't explain other types of
6 autism too like the terbutaline model.

7 And Dr. -- it may well be that we didn't
8 have Dr. Kinsbourne available to give the opinion in
9 this that we have to have ultimately from an M.D. that
10 gives us causation, but Dr. Aposhian, who is only a
11 Ph.D., has cited all those neuroinflammation papers in
12 his report way back in August of last year, so it
13 wasn't that big a surprise that it was coming. And it
14 frankly, it's the one theory that makes the most sense
15 when you look at all of the medical literature.

16 I can't believe that they suggested that we
17 were the ones that didn't want Pardo to come. We
18 withdrew -- after we saw his letter and understood how
19 it helped us, we withdrew our objection to his
20 testimony and looked forward to it. And then the
21 government realized that his letter didn't help them
22 too much, and we didn't hear from Dr. Pardo.

23 The accusation that we're the ones that
24 tried to prevent his testimony, frankly if this Court
25 wanted to really get the facts you've got the power to

1 ask Dr. Pardo to come independent of us. You can call
2 witnesses on your own. You don't have to wait for one
3 of the parties here to call a witness. If you want to
4 hear from Pardo, let's schedule a time and do it.

5 That's all I've got.

6 THE COURT: Any surrebuttal, Mr. Matanoski?

7 MR. MATANOSKI: No, ma'am.

8 THE COURT: All right. Well, this then
9 concludes the taking of evidence on the specific case
10 of Colin Dwyer.

11 What will happen now, for the benefit of
12 those who are not familiar with this procedure, is
13 that we'll generate transcripts of today's hearing.
14 We'll probably have corrections to those transcripts
15 along the model that we've been applying on the other
16 cases, and at that point we'll establish a briefing
17 schedule that will allow the parties to submit
18 posthearing briefs. We'll be scheduling that in one
19 of our status conferences that we have routinely in
20 the Omnibus Autism Proceeding.

21 Before we conclude today, however, I want to
22 take this opportunity once again to thank the Dwyers
23 for coming forward with their case. It is difficult
24 to sit here and listen to experts analyze what
25 happened and why it happened, and it takes

1 extraordinary courage to not only live with an
2 autistic child, but to allow the facts and
3 circumstances of his birth and development to be
4 publicly discussed. We appreciate you and your
5 husband's willingness to do that.

6 Mr. Ferrell, we thank you and your law firm
7 for advancing this case to give us that third test
8 case when circumstances deprived us of the other third
9 test case. I thank you and the Dwyer family on behalf
10 of my colleagues, as well as myself.

11 We'll endeavor to issue an opinion in this
12 case when we conclude it's appropriate to do so. That
13 applies to I'm sure my colleagues in the other two
14 cases on this theory. I would expect, however, that
15 we'll be issuing the opinion on the first theory test
16 cases first, then to be followed by the opinion on the
17 second theory that we've heard here.

18 With that, this concludes -- Mr. Powers, do
19 you have anything? You look like you wanted to say
20 something.

21 MR. POWERS: Yes, I did. I was not too
22 subtle on that. And I would stand up, but my foot has
23 been cramping, so excuse me while I remain seated.

24 THE COURT: Texas rules.

25 MR. POWERS: I just wanted to just say

1 something on the record in terms of a couple of things
2 that the Petitioners anticipate happening after this
3 hearing concludes, but likely before -- in fact
4 certainly before -- the briefing schedule kicks in.

5 The first is that we do anticipate filing a
6 couple of motions related to evidence. Mr. Williams,
7 for example, alluded, and we've talked about this in
8 one of the status conference calls, about inferences
9 that the Petitioners are entitled to based on evidence
10 that did not come in or that was unavailable. We
11 anticipate getting motions like that filed pretty
12 promptly here at the conclusion of this case.

13 We also anticipate at least one motion
14 relating to some additional evidence in this case.
15 It's another issue that we talked about in the status
16 conferences, and this is about the toxicology evidence
17 and the effect of Drs. Magos and Clarkson being
18 withdrawn from the witness list and their reports
19 being withdrawn.

20 We believe that there should be an
21 opportunity for the Petitioners to submit some
22 additional evidence essentially in rebuttal, and it
23 would be a motion, asking -- understanding the orders
24 of the Court on June 17 and July 3, asking the Court
25 to reconsider those motions and potentially allow some

1 very limited additional evidence. We anticipate that
2 motion coming in.

3 And then finally, Special Master, we
4 mentioned at the conclusion of the King and Mead
5 cases, but the Petitioners want to make it clear on
6 the record that as new, important, relevant evidence
7 comes into the scientific literature, whether it's
8 HHS' population studies that Mr. Williams described,
9 Dr. Burbacher's second phase of the monkey study, that
10 as long -- up until a decision is reached in these
11 cases we would want leave to introduce that evidence
12 into the record, and if leave is not given and agreed
13 to then again we would have additional motions to file
14 on those issues.

15 We have nothing filed right now, Special
16 Master, but I didn't want to leave here today
17 blindsiding anybody. We do anticipate getting these
18 teed up with you and serving them on Respondent
19 promptly.

20 THE COURT: Anything further the Respondent
21 wishes to add?

22 MR. MATANOSKI: Not to add, not anything
23 further, but in response to Mr. Powers' suggestion
24 that there's some motions coming.

25 It was I believe either the second or third

1 motion along that caught my attention in particular.
2 All of them did, listening to all of them, but it was
3 the suggestion that there's going to be essentially a
4 motion to offer additional evidence on toxicology.

5 The purpose of our status conference and
6 what we talked about, Dr. Clarkson and Magos not
7 appearing, and then that was subject to some
8 discussion and then we said okay, well then if the
9 Court ruled in a certain way about allowing the record
10 to remain open on general causation so we said all
11 right, then in light of that we'll just pull the
12 expert reports of Dr. Clarkson and Magos.

13 We didn't hear anything about a motion to
14 continue the case at that point, to continue the
15 record being open, and the Court issued its ruling
16 that came out on July 3, I believe, saying the record
17 on general causation is closed. Now, if this is going
18 to be revisited either through motions and perhaps the
19 record ends up being reopened, Respondent may then
20 move to reintroduce Dr. Clarkson and Magos' report.

21 The idea was to be at an end. We're not in
22 a rush to be -- I mean, if you say we're in a rush,
23 after six years I hardly think that this trial is
24 rushed.

25 THE COURT: We're rushing slowly.

1 MR. MATANOSKI: Yes. But there has to be an
2 end at some point to allow you to make a decision.
3 The inference that we're in a rush because we happen
4 to know that there's information coming out that's
5 harmful to us, there is obviously not. That inference
6 should not be drawn.

7 We just know that the trial was supposed to
8 end in May. There were certain developments that came
9 up because of the availability of Drs. Clarkson and
10 Magos that forced the record to remain open. We were
11 addressing all these issues in May trying to know
12 exactly what was going to happen in July.

13 With respect to that, it was only going to
14 be Dr. Clarkson and Magos coming back for testimony,
15 but we evaluated our case and decided we didn't
16 actually need them to come back in July, and we felt
17 the record would be closed at that point and we just
18 want the record to be -- we understood it to be closed
19 on general causation.

20 If it's going to remain open, then we may
21 have to implore the Court, move the Court, to allow us
22 to go back or to introduce other evidence in response
23 to the new evidence that we would receive from the
24 Petitioners.

25 THE COURT: Well, I'm sure that I'll speak

1 for my colleagues and say we're always willing to
2 reconsider a decision we've made, and that would apply
3 with equal force to decisions in favor of Petitioners
4 and in favor of Respondent.

5 So if you give us good reason to reconsider
6 we'll do it. If we don't think the reason is good, we
7 won't.

8 MR. POWERS: That's what I would anticipate,
9 Special Master. Thank you.

10 THE COURT: All right. So we do anticipate
11 some motions. Just then as a further housekeeping
12 matter, I anticipate that the Petitioners' master list
13 will be filed into this case, the trial exhibits will
14 be filed into this case, and the expert reports will
15 be filed into this case prior to this record itself
16 being closed.

17 At this point in this case I've heard that
18 testimony and I've read those reports, but they're not
19 filed into this case so it's important that be done
20 as soon as possible.

21 MR. POWERS: And we understand that. We'll
22 be working closely with Mr. Ferrell and his law firm
23 to make that happen in Colin's case.

24 THE COURT: All right. Then the Court is
25 adjourned. Thank you.

1 (Whereupon, at 2:40 p.m., the hearing in the
2 above-entitled matter was concluded.)

3 //

4 //

5 //

6 //

7 //

8 //

9 //

10 //

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

REPORTER'S CERTIFICATE

DOCKET NO.: 03-1202V
CASE TITLE: Dwyer v. Secretary
HEARING DATE: July 22, 2008
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: July 22, 2008

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018