

UNITED STATES  
COURT OF FEDERAL CLAIMS

---

IN RE: CLAIMS FOR VACCINE )  
 INJURIES RESULTING IN )  
 AUTISM SPECTRUM DISORDER, )  
 OR A SIMILAR )  
 NEURODEVELOPMENTAL )  
 DISORDER )  
 ----- )  
 FRED AND MYLINDA KING, )  
 PARENTS OF JORDAN KING, )  
 A MINOR, )  
 Petitioners, )  
 v. ) Docket No.: 03-584V  
 SECRETARY OF HEALTH AND )  
 HUMAN SERVICES, )  
 Respondent. )  
 ----- )  
 GEORGE AND VICTORIA MEAD, )  
 PARENTS OF WILLIAM P. MEAD, )  
 A MINOR, )  
 Petitioners, )  
 v. ) Docket No.: 03-215V  
 SECRETARY OF HEALTH AND )  
 HUMAN SERVICES, )  
 Respondent. )

**REVISED AND CORRECTED COPY**

Pages: 3891 through 4003/4100  
Place: Washington, D.C.  
Date: May 29, 2008

---

**HERITAGE REPORTING CORPORATION**

*Official Reporters*  
1220 L Street, N.W., Suite 600  
Washington, D.C. 20005-4018  
(202) 628-4888  
contracts@hrccourtreporters.com

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN )  
AUTISM SPECTRUM DISORDER, )  
OR A SIMILAR )  
NEURODEVELOPMENTAL )  
DISORDER )

----- )  
FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, )  
A MINOR, )

Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

Docket No.: 03-584V

----- )  
GEORGE AND VICTORIA MEAD, )  
PARENTS OF WILLIAM P. MEAD, )  
A MINOR, )

Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

Docket No.: 03-215V

Courtroom 402  
National Courts Building  
717 Madison Place NW  
Washington, D.C.

Thursday,  
May 29, 2008

The parties met, pursuant to notice of the  
Court, at 9:00 a.m.

BEFORE: HONORABLE GEORGE L. HASTINGS, JR.  
HONORABLE PATRICIA E. CAMPBELL-SMITH  
HONORABLE DENISE VOWELL  
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire  
MICHAEL L. WILLIAMS, Esquire  
Williams, Love, O'Leary & Powers, P.C.  
9755 S.W. Barnes Road, Suite 450  
Portland, Oregon 97225-6681  
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire  
U.S. Department of Justice  
Civil Division  
Torts Branch  
Ben Franklin Station, P.O. Box 146  
Washington, D.C. 20044-0146  
(202) 616-4356

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
Richard Deth	3895	3958	3991	3993

E X H I B I T S

PETITIONERS '  
EXHIBITS:

	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
11	3949	--	2007 Laurente et al. Paper



DETH - DIRECT

3896

1 A Good morning, Michael.

2 Q I'm going to try and run through several very  
3 specific criticisms that were made of your testimony and  
4 your work by the four different experts that the defense  
5 called to critique your work.

6 First, Dr. Dean James talked about how much  
7 glutathione there is in the human body, and how the amount  
8 of glutathione is so overwhelming compared to the amount of  
9 mercury that the thimerosal-containing vaccines would  
10 deliver; that it would simply be able to take care of it.  
11 What is your response to that critique?

12 A Yes, I had a chance to review Dr. Jones'  
13 testimony and comments, and I certainly indicated my respect  
14 for the body of work that he's done and the facts that he's  
15 assembled here.

16 Q The issue about how much glutathione there is in  
17 our bodies versus the amount of mercury that's delivered in  
18 thimerosal injections, for example, is an issue of  
19 stoichiometry. That is, the thimerosal mercury is not  
20 interacting stoichiometrically or one to one with the  
21 glutathione. This was never a premise, for example, of the  
22 theory or the mechanisms that we've put forth or that I've  
23 put forth in my testimony.

24 Moreover, the ability of mercury to remain in the  
25 body and to enter the brain, as has been verified in many

DETH - DIRECT

3897

1 studies shows that the vast amount -- and there is a vast  
2 amount of glutathione available -- is not able to overwhelm  
3 this mercury and make sure that it doesn't enter the body or  
4 enter the brain. It's there; and because it's there, it  
5 causes effects.

6 Now Dr. Jones seemed to, in developing an  
7 argument or thought -- that because there's just so much  
8 more thimerosal quantitative, that it would swamp out the  
9 mercury, even though that's a simplistic thought.

10 Q I think you meant glutathione. You said  
11 thimerosal.

12 A Excuse me, the glutathione would swamp out the  
13 mercury or the thimerosal. The target of the thimerosal, or  
14 the inorganic mercury it releases is not glutathione itself.  
15 There's a lot of that. But the targets, the proteins, that  
16 it eventually binds to in the brain, inside of astrocytes  
17 and neurons and microglia, those targets and the amount of  
18 them, the proteins that are regulatory, those are in the  
19 small quantities.

20 So the really more valid question that Dr. Jones  
21 didn't exactly raise himself was, what's the proportion of  
22 targets for mercury in the body that have the highest  
23 affinity for mercury; and what's the relative amount of them  
24 versus the amount of mercury? Is there enough mercury to  
25 saturate those targets and to bind to them? These are

DETH - DIRECT

3898

1 protein targets. They're not glutathione.

2 Q Now the adult monkeys that we know were covered  
3 in the Charleston Burbacher studies back in the mid-1990s,  
4 did those adult monkey brains have glutathione in them?

5 A Surely they did; all cells of the body have one  
6 to ten millimolar glutathione in them.

7 Q Yet, we know the mercury from those studies was  
8 able to provoke neuroinflammation in those monkey brains.

9 A That's right. So the point I just made, that the  
10 provocation of the inflammatory response is not because  
11 there's so much mercury that it depletes the glutathione one  
12 for one, that's not it. It's because those critical  
13 regulatory mechanisms are built upon sulphur and thiols  
14 binding the mercury, and it's their interaction that's  
15 causing the inflammation.

16 Q Now he also said that because of the dietary  
17 intake of glutathione -- and he gave an example of drinking  
18 apple juice would deplete glutathione and knock it down.  
19 What do you have to say in response to the apple juice  
20 example?

21 A This would be a blow to the apple industry, of  
22 course, if we decided to equate drinking of apple juice with  
23 the ingestion of mercury. It's just obviously nonsensical  
24 in space. But the transiency of the apple juice response,  
25 quite frankly, I'm not a nutritionist and I'm really not

DETH - DIRECT

3899

1 that familiar with what it does.

2 But the idea is that there are fluctuations in  
3 glutathione levels as a result of diet, what we take in, as  
4 certain oxidative demands that it increases. So  
5 undoubtedly, there will be shifts in the amount of  
6 glutathione measured in the blood in particular. Because  
7 after injection, there is where the impact of what we just  
8 ate is felt, in the blood stream.

9 Inside of cells, it's going to be less so. In  
10 other words, if you biopsied a liver after drinking apple  
11 juice, you probably wouldn't find the same fluctuations you  
12 find in the blood stream, for example.

13 I'll offer further that because the brain is  
14 behind the blood brain barrier, protected as it is, it would  
15 be even less likely than peripheral tissues like liver to  
16 show fluctuations in response to diet.

17 So those things can occur. They're an important  
18 part of nutritional status. But they're certainly a whole  
19 difference realm than the effects of a prolonged agent like  
20 mercury. I just had apple juice, at breakfast this morning;  
21 by now it would have disappeared. It would be metabolized.

22 If I ingested, I'll be buried with the mercury,  
23 because it just doesn't change. It's always mercury. It's  
24 always there.

25 Q Now either Dr. Jones or one of the other of the

DETH - DIRECT

3900

1 four experts also said that depressing glutathione actually  
2 provokes a protective response by the body. What's your  
3 response to that?

4 A We're well aware of the adaptive responses that  
5 are inherent in the so-called redox system of the body.  
6 It's really very interesting. It's critical for life, that  
7 you have the ability to adapt to stressors.

8 The adaptation could be very short term. It  
9 could be moderate. It can be long-term. There's a whole  
10 series of adaptive responses; and they're all designed to  
11 bring the system back to homeostasis. This is a classical  
12 word for like normal metabolism, normal function.

13 In fact, the redox system, in my opinion, is  
14 primary. I think it's the most important evolutionary  
15 factor that maintains homeostasis. So no doubt, when you  
16 shift it one way, you bounce back; and the reason you bounce  
17 back is because adaptive responses have been generated to  
18 help bounce back.

19 But some people don't bounce back. When you have  
20 a limitation in the system, perhaps in this case introduced  
21 by a burden of mercury, a persistent burden, your adaptive  
22 responses are trying to bring you back to normal. But you  
23 remain in a stressed state, where the sulphur resources are,  
24 in some cases, desperately trying to bring back the  
25 glutathione levels to normal. But you're not able to do

DETH - DIRECT

3901

1 that.

2           It's really an inability of the individual with  
3 the autism and other related oxidated stress disorders, of  
4 them for their own reasons, partly genetic, that they're  
5 unable to bounce back and otherwise return to  
6 homeostatically normal conditions, that leaves them in a  
7 persistently abnormal state; a state of oxidative stress  
8 that unfortunately has with it a loss of function on a  
9 tissue by tissue basis.

10           So sure, there's adaptive responses. But usually  
11 they're short term; usually they're sufficient to bring you  
12 back to normal. So when you're not brought back to normal,  
13 you have persistent inflammation, persistent oxidated  
14 stress.

15           Q     Now I want to turn to some specific scientific  
16 criticisms of some of the slides you showed and the data you  
17 produced. First, your slide 28 was about some kind of  
18 radioactive labeling of the methyl group. I think that's  
19 the right slide.

20           A     I have 28 in front of me. I believe 28, maybe  
21 this is different numbering -- but my recollection of this  
22 criticism had to do with -- let's see, I think 28 is  
23 numbered on mine. Can we go to the next slide, if I can  
24 suggest, maybe a slide further? That's the one. That's  
25 correct.

DETH - DIRECT

3902

1 I believe this result, which I have labeled 28 on  
2 my set of slides here -- I'm sure that this is what the  
3 comment was directed toward. So the comment that I'm going  
4 to try to illuminate or respond to was, why would the blue  
5 lines in this graph -- and what we're looking at here are  
6 graphs of the enzyme activity of the enzyme methionine  
7 synthase, the B12 and folate dependent enzyme. We're  
8 measuring its activity.

9 The blue lines in each case represent the  
10 activity when we're giving methyl B12, or methyl cobalamin.  
11 Noticeably, the blue line is higher than the red line. The  
12 red line is with hydroxy non-methylated B12. So I think the  
13 criticism or the question -- it was really a question of  
14 understanding, why would the blue line be higher if  
15 radioactivity incorporation into the methionine was the  
16 assay? Because the radioactivity is not present in the  
17 methyl group of the B12 here.

18 So the blue line, if you will, has got the non-  
19 radioactive carbon or methyl group in it, why is that  
20 activity is higher, it's not radioactivity. The  
21 radioactivity comes from the radioactive carbon group that's  
22 in the folate molecule that's a co-factor for this reaction.

23 Now the reason that the blue line is higher is  
24 because the oxidative conditions in the assay or in cells by  
25 analogy turns off the methionine synthase by oxidizing the

DETH - DIRECT

3903

1 cobalt. I explained this and reviewed this in my  
2 presentation.

3           When the cobalt is oxidized or turned off, the  
4 enzyme stops. It has to be restarted, jump-started, like  
5 you're jump starting your car or something like that. It  
6 has to be re-started with methyl cobalamin, and the methyl  
7 cobalamin in this case can come from the methyl B12 that we  
8 add.

9           Once you restart the enzyme, it will turn around  
10 and turn over maybe 100 or 1,000 times, using radioactive  
11 methyl folate to carry out the reaction. However, if you  
12 don't have enough methyl B12 to jump start it, in effect,  
13 the radioactivity enzyme stays off, and the radioactivity  
14 does not get transferred.

15           So the reason that the methyl B12 blue curves are  
16 higher is because it's got the jump start material, if you  
17 will, available. As I pointed out, in the cells and in the  
18 brain, the availability of that jump starting methyl B12  
19 depends on glutathione levels. If you don't have enough  
20 glutathione, you can't jump start the enzyme as quickly or  
21 efficiently.

22           So in these cells, as with the red lines here or  
23 in the brain, where glutathione levels are lower than  
24 normal, the enzyme will stay off more than normal. You'll  
25 have a methylation defect as a result of that. So oxidated

DETH - DIRECT

3904

1 stress translated into impaired methylation.

2           It's a long winded answer to the question. But  
3 it's because the radioactivity reaction was jump started or  
4 re-ignited, if you will, by the methyl B12; whereas, the  
5 hydroxy B12, especially when metals are present, is not able  
6 to do that.

7           Q     I think specifically Dr. Johnson said that he  
8 couldn't understand how you could measure one of these,  
9 because you were donating the radioactive labeled methyl  
10 group to another protein; and therefore, once it had been  
11 transferred, you couldn't measure the protein it came from.  
12 What the response to that?

13           A     Well, I hope that he knows the reaction well  
14 enough to know that the source of the radioactivity is not a  
15 protein. It's the co-factor folate or methyl folate. So  
16 the transfer of the radioactivity ends up being too  
17 homocysteine, which is converted to methionine by the  
18 enzyme. Methionine is not a protein either. It's an amino  
19 acid.

20           So basically, we're looking at this reaction.  
21 The radioactivity starts with the co-factor, methyl folate,  
22 and this is the standard way of measuring this enzyme. Most  
23 people measure it the same way. It's written up that way in  
24 the literature. So the radioactivity is going from folate  
25 to methionine, and it's only intermediately attached to the

DETH - DIRECT

3905

1 B12, which carries out the transfer in an intermediate way.

2           So there's no protein to protein transfer at all  
3 here; and I have to say bluntly, I'm not sure that Dr.  
4 Johnson in this case had a clear view of the assay, and also  
5 a clear view of how the occasional need for methyl B12 would  
6 actually make the enzyme work better; which is really why  
7 the blue lines are higher than the red lines on a regular  
8 basis.

9           Q     Okay, now another specific criticism was, if I  
10 have the right slide number, of your quality control  
11 concerning your PCR technique on, I think, slide 34. Let's  
12 see if that's the right slide.

13           A     This says what I believe is, we had several  
14 slides in which we used PCR to measure the messenger RNA  
15 levels of, in our case, methionine synthase, in the brains  
16 of autistic subjects' post-mortem samples.

17                     We obtained the cDNA already available to us.  
18 That is, the way the PCR works is, the messenger RNA in the  
19 sample is converted in the laboratory to cDNA by a reaction,  
20 and that reaction yielded the cDNA, complimentary DNA as  
21 it's known. That is really what you then had to amplify in  
22 the PCR reaction.

23                     In fact, for the autism studies described here,  
24 we received the samples from Dr. Persico in Rome, who made  
25 the cDNA from the messenger RNA. So that part was already

DETH - DIRECT

3906

1 done. We received the cDNA, and carried out the PCR  
2 reaction for methionine synthase.

3 As a quality control measure, as we did and  
4 everybody does, and it wasn't evident in the slide because  
5 it's routine, one also amplifies at the same time another  
6 messenger RNA that's been converted to cDNA.

7 In this case, we used a so-called GAPDH that is a  
8 glycerol high phosphate dehydrogenate. It's called a house  
9 keeping gene. It's always on. So its levels can be  
10 considered a standard or a control. Then you always  
11 express, as we did here, the amount of the methionine  
12 synthase. There's a ratio to this always-present GAPDH. So  
13 that normalizes to any variations that might occur and that  
14 extract messenger RNA. This is a standard way of expressing  
15 this data.

16 So this data has indeed been normalized to a  
17 standard, as I just mentioned, even though it's not  
18 expressed explicitly here. It was meant to present the  
19 comparisons between autistic a non-autistic individuals. Of  
20 course, the difference is significant as indicated here, and  
21 indicated in the other slides.

22 Q Now this is still work you have not yet  
23 published?

24 A That's right. This is work done relatively  
25 recently, and we really anticipate submitting this for

DETH - DIRECT

3907

1 publication in the next, I would say, two months to be  
2 practical about it.

3 Q In fact, I think you told me, you've got two  
4 papers that are about to be submitted on your recent work,  
5 that you described when you were here last week.

6 A Part of the reason that we have not yet submitted  
7 it, as we went along, was because the information fit  
8 together. We found ourselves wanting to sort of be more  
9 complete in our understanding of these changes in the  
10 sulphur metabolism that occur; not only with thimerosal  
11 exposure.

12 But it really is a much more global question of  
13 showing what the enzyme in methionine synthase and  
14 methylation is in general; in the brain, in particular, and  
15 neuronal cells, in particular. It is very much tied to  
16 redox the status and to glutathione levels.

17 So as we went along and did that work, we needed  
18 to have that rather important -- I consider it important --  
19 story complete. There's no sense in going in and getting  
20 piecemeal part of the data. So we needed to have, I guess  
21 in our opinion, a more satisfying story, which only  
22 gradually accrued.

23 For example, we take measuring the process of  
24 cysteine uptake, in showing that that was sensitive to redox  
25 and heavy metals. That was a recent work.

DETH - DIRECT

3908

1           Then the autism brain studies that I reflected on  
2 here just a second ago describe that. Those are quite  
3 recent, also. They certainly reinforce the idea that this  
4 work, most of which was done in vitro in cultured cells,  
5 does have relevance to the intact brain; and even the intact  
6 human brain, and even the intact human brain in autism.

7           Because of that work being somewhat distinct from  
8 the in vitro work, we are now going to divide that into two  
9 sections; one dealing more explicitly with the human brain  
10 results that we got, and the other focusing more on the in  
11 vitro studies and the requirement for methyl B12 in neuronal  
12 cells.

13           Q     Now while we're talking about brains, Dr. Johnson  
14 was also very critical of you for having used a graph that  
15 was built on data from a paper, and you did not give a  
16 citation for that.

17           A     Yes.

18           Q     We'll call that slide up. This is the one that  
19 had duck brain along with other brains.

20           A     Yes, I think he referred to it as duck data or  
21 something like that. It was playful. But what it is, of  
22 course, this data was from the literature. This is not my  
23 data.

24           Now we can see that the citation, having been  
25 returned to the slide, because in fact I provided it. This

DETH - DIRECT

3909

1 is the citation, and I never meant otherwise to indicate  
2 that this was data provided as shown here.

3 In 1958, a comparative study, which was actually  
4 a table in that paper, when one goes back to that original  
5 paper, you'll find this data in the form of a table. I  
6 converted the numbers simply into a visual image of a bar  
7 graph here; and I did, in my original slide, have the  
8 citation very clearly as it's shown here, indicated.  
9 Because I think it's a very critical finding.

10 What it illustrates again, and that's not to be  
11 totally sort of confused or otherwise not recognize the  
12 importance of this -- the importance of this, again aside  
13 from where it came from, is the fact that the human brain  
14 status is very noticeably different from not only the other  
15 species, but noticeably from all the other tissues in the  
16 humans that were looked at.

17 So we can say to this, gee, there's something  
18 very unique about human brain with regard to its sulphur  
19 metabolism. So that was the point that I tried to make  
20 here, using this data, again from the literature.

21 Q In your lectures that you've given prior to your  
22 testimony here, was this the version of a slide that you  
23 always used?

24 A That is the case; when I first created it and  
25 every time, including last week at Autism I, when I

DETH - DIRECT

3910

1 presented this slide again. That citation was very clear.

2 Q The citation disappeared after you gave us your  
3 slides.

4 A Somehow it did, yes.

5 SPECIAL MASTER HASTINGS: This was slide 17, for  
6 the record.

7 MR. WILLIAMS: That's right. All right, now you  
8 can take that down, Scott.

9 BY MR. WILLIAMS:

10 Q Dr. Roberts had a criticism of you. He said that  
11 you cannot reliably assess oxidative stress by measuring  
12 MDA; and he said that the TBARS test was unreliable. Do you  
13 recall that?

14 A Having read his testimony, as well as his expert  
15 opinion, I understand what he said. It doesn't have much or  
16 actually any relevance to my own work and my presentation.  
17 He is, I guess, raising issues about the definition of the  
18 state of oxidative stress, for reliability of one versus  
19 another marker or bio-marker.

20 Because a lot of oxidized products can be  
21 measured and will be higher in their amounts during  
22 oxidative stress. One is not perfect; one different than  
23 another. I'm sure in the field of people studying bio-  
24 markers that there's controversy about who's is best, which  
25 assay is the best.

DETH - DIRECT

3911

1           We didn't do any of those. Our focus instead is  
2 on measuring the levels of the thiol compounds themselves;  
3 not to the products that might eventually be oxidized if the  
4 thiols are abnormal or if there's too little glutathione.  
5 We didn't do that, and so that criticism or that controversy  
6 has really no relevance to our work.

7           Q     Now I think Dr. Roberts was the one who also said  
8 that you can't detect oxidative stress in the brain by  
9 looking at peripheral biomarkers in the blood. What's your  
10 response to that?

11          A     I wouldn't disagree with that statement. If you  
12 want to verify oxidative stress in the brain, you have to  
13 look at the brain. There's different implications of that,  
14 and one implication I think he was getting at was if Dr.  
15 James in her work showed evidence of oxidative stress as a  
16 lowered ratio of the reduced oxidative glutathione in the  
17 periphery in plasma, does that necessarily mean that it  
18 would exist in the brain, as well?

19                It doesn't necessarily mean that. You have to  
20 separately measure that. But the fact that the plasma is  
21 indicating very significant signs of oxidative stress at the  
22 level of the thiols is creating a very likely hope that the  
23 brain will also show that.

24                Because the plasma reflects the metabolic state  
25 of the liver. When it comes to thiols or sulphur compounds,

DETH - DIRECT

3912

1 the liver, that is the main metabolism organ that we have  
2 and is almost in control of plasma levels; and the liver is  
3 also the source of the sulphur resources for the brain.

4           It's the liver that releases cysteine, oxidized  
5 cysteine. It's the cysteine that crosses the blood brain  
6 barrier. It's taken up by glial cells and astrocytes that  
7 ultimately provides the cysteines to neurons and to the  
8 brain in general.

9           So when the plasma levels are showing lower  
10 levels of, for example, cysteine with the liver not  
11 providing enough to keep the plasma level up, you can  
12 imagine that the brain is seeing that reduction as well, and  
13 that the levels available for the brain are less. So even  
14 though you can't confirm that the brain is showing oxidated  
15 stress, you can certainly expect that from a lower plasma  
16 level.

17           Now separately in studies of the brain, and I'm  
18 thinking here mainly about Dr. Pardo's studies, that looked  
19 at the brains of autistic individuals, post-mortem samples  
20 certainly show the signs of oxidative stress and  
21 neuroinflammation in that organ in the affected individuals  
22 who are the subject of this proceeding here.

23           So there is no doubt there is oxidative stress  
24 and inflammation in the brain; and this would be true also  
25 of the mercury-fed monkeys, where the sign of activation of

DETH - DIRECT

3913

1 microglia and other signs in the brains of those monkeys  
2 showed inflammation in there.

3           So it's a bit of a straw man to say, oh, there's  
4 no oxidated stress. How do you know there's no oxidated  
5 stress in the brain? It's been measured. It is there. So  
6 these are just sort of the background issues. They're all  
7 in place to confirm that there is inflammation and oxidation  
8 in the brain.

9           Q     Have you actually published your opinions about  
10 the relevance of Dr. Pardo's neuroinflammation autopsy  
11 studies to your oxidative stress model?

12          A     Being aware of all these issues for the last  
13 several years, as our work moved in this direction, I  
14 published a peer-reviewed article that was in the Journal of  
15 Neurotoxicology this past early, I think it was, January,  
16 that was actually released.

17           So this review article shown here entitled, "how  
18 environmental and genetic factors combine to cause autism a  
19 redox and methylation hypothesis." I attempted in that  
20 article to include the work of Pardo, but others as well,  
21 that document is in the literature the presence of  
22 neuroinflammation and oxidative stress in autism and in the  
23 brain in autism.

24          Q     Let me get to this. This is Petitioner's master  
25 reference number 563.

DETH - DIRECT

3914

1 A 563.

2 Q This journal, by the way, what is this journal,  
3 the Journal of Neurotoxicology?

4 A Well, in the field of toxicology, there's a  
5 subdivision, neurotoxicology; and there's a society of  
6 neurotoxicology, and this is the journal that sort applies  
7 the journal for that subdivision of toxicology and that  
8 society.

9 Q Then if we turn to page six of this paper, if you  
10 highlight the lower right hand column from the bold on down,  
11 is this the section of your paper where you discuss  
12 oxidative stress in autism?

13 A That's correct.

14 Q At the very bottom, do you see where it says,  
15 elevated levels of inflammatory cytokines and evidence of  
16 microglial. Then we have to turn the page to page seven,  
17 and if you'll blow up the rest of that paragraph please,  
18 Scott, microglia activation -- I guess there's a typo there.  
19 The two words "microglia activation" are repeated -- was  
20 observed in post-mortem brain section, indicating the  
21 presence of neural inflammation.

22 Then you cite the Vargas paper which, of course,  
23 Dr. Pardo was the senior author of, correct?

24 A Correct.

25 Q Then you cite the adult monkey studies done by

DETH - DIRECT

3915

1 Burbacher and others back in the 1990s, correct?

2 A Correct, as well as preceded by the other  
3 references having to do with the biomarkers of inflammation  
4 and oxidated stress.

5 Q Now Dr. Roberts also said that oxidated stress is  
6 the body's normal healthy protective system; that we need to  
7 have oxidated stress in order to react to insults. What's  
8 your answer to that criticism?

9 A Well, it's not a criticism. I think it's a fair  
10 and a correct scientific statement. I've come to appreciate  
11 that as a question of the details of how does that play out;  
12 who are the players in these adaptive responses to oxidated  
13 stress? We certainly do that, and it is important.

14 Nature has availed herself, if I can use that,  
15 out of the importance of this in terms of a great deal of  
16 complexity in the many different ways that we do respond to  
17 stressors; not just of an oxidated nature, but things that  
18 impact on us that ultimately can use that same system as an  
19 adaptive system. So yes, it's very important and it's very  
20 complex.

21 Q How can oxidative stress then become a hazard to  
22 us?

23 A Again, I alluded to this a little earlier. My  
24 view of that is that cells, and I don't think I included a  
25 side view, but in fact I have one that I'm mentally thinking

DETH - DIRECT

3916

1 of here, that cells normally operate at a normal redox set  
2 point. It's appropriate for that cell, that function.

3 But oxidative conditions shift the redox set  
4 point to a different value that's a more oxidized value.  
5 This is what stress does. It could be a foreign intruder  
6 like a bacteria or a splinter or something like that; some  
7 event that's a stressor.

8 So the cells, they adapt to that and they  
9 mobilize their metabolism to offset that distressor and  
10 hopefully resolve it. They do that by shifting gene  
11 expression, and methylation is how they do that. They do  
12 that by cytokines release that attracts white blood cells.  
13 So it's a lot of adaptive responses. Usually, those  
14 situations resolve, because the adaptive responses have been  
15 successful in dealing with the stressor source.

16 Then the mechanism is reversed. Methylation  
17 returns to normal. The cytokine production goes back down  
18 again, and we're back to business as usual in a certain way.  
19 That kind of adaptive mechanism is really very critical.

20 However, the ability to move back again depends  
21 on having adequately resolved the oxidative stress that's  
22 the trigger for these adaptive responses. If for whatever  
23 reason, and xenotoxins or toxic substances that interfere  
24 with sulphur metabolism problem here -- if you're not able  
25 to move back again to restored normal function, you remain

DETH - DIRECT

3917

1 in this adaptive state, and it becomes a maladaptive state.  
2 It gives rise to chronic diseases, chronic conditions, and  
3 then there are many of them.

4 Almost any inflammatory condition and this type  
5 of a disorder would be an example of a chronic inflammation  
6 state; and the failure to resolve that and come back to  
7 normal gives chronic diseases. In the case of neurological  
8 problems like autism, that's reflected likewise as a loss of  
9 function associated with a chronic oxidatively stressed  
10 state, which reflects an inability to return to normal.

11 Q Can inorganic mercury in the brain create such a  
12 permanent oxidative stress state?

13 A That's right. The key thing is that it  
14 represents a potential stressor, sure. But even more  
15 important, in my opinion, is the fact that it defeats or  
16 interferes with the system that brings us back again to  
17 normal.

18 Indeed, for the case of, let's say, vaccine  
19 associated thimerosal and mercury exposure, let's say that  
20 all individuals experience some response to mercury in the  
21 presence of that; but that some of able to deal with it and  
22 they resolve it. Maybe they excrete the mercury, or maybe  
23 even if the mercury is still present, they have enough  
24 reserve to bring the system back to normal.

25 That is to say, their genes allow them a more

DETH - DIRECT

3918

1 effective adaptive response, so they can handle higher  
2 levels of mercury. They may have some consequences, but not  
3 long term and not as severe. So in those cases, the  
4 neurological consequences wouldn't be as great. So the  
5 differences can be individual, and the duration of this and  
6 the role of mercury in particular, because it defeats the  
7 response system that's not only a stressor, but it defeats  
8 the ability to recover.

9 I think it is a little bit like the AIDS virus;  
10 that the AIDS virus interferes with our immune system, the  
11 very system that we rely on to deal with foreign invaders.  
12 So by inactivating that system, the AIDS virus is going to  
13 be persistent, because we can say, in a clever manner, it  
14 has interfered with our ability with our ability to deal  
15 with its very presence.

16 Q Now another specific criticism, and I think this  
17 was from Dr. Mailman, was that in your cellular model, you  
18 didn't have copper involved. In the body, copper is present  
19 and provides some protective mechanism from oxidative  
20 stress. What's your response to that?

21 A Again, I respect the perceptiveness of that  
22 comment, because copper is a player in sulphur metabolism  
23 and in redox regulation. In our own studies, Waly et al.  
24 that we published, we had a series of studies with copper  
25 and its oxidized 2-plus or reduced 1-plus states. We showed

DETH - DIRECT

3919

1 opposite effects of those two states of copper here.

2 As it turns out, copper is a counter-balance to  
3 the cysteine, and in its oxidized and reduced forms the two  
4 are exchangeable. So you can shift the copper to its  
5 reduced form, at the same time you're shifting the cysteine  
6 to its oxidized form. The two of them can reciprocally  
7 interact.

8 So in a case, copper is an important factor, and  
9 I acknowledge that. Now in our studies it was not, with the  
10 exception of those experiments, a variable. Certainly, we  
11 didn't, as I said, include it; nor did we include zinc or  
12 any other important additional factors as a supplement.

13 But the way our experiments and everybody else's  
14 are done in cultured cells is, you have them in a media; and  
15 the media contains the basic cells and nutrient materials  
16 that are shown from a chemical origin.

17 Then you add in, let's say, 10 percent fetal  
18 bovine serum or fetal calf serum. This is the key  
19 ingredient to allow the cells to divide. That really  
20 represents blood and serum, and contains all the things that  
21 are in blood and serum, as it comes along, which includes  
22 some sort of copper, as well as everything else that's in  
23 there. So our cells see copper routinely as a matter of  
24 their exposure to the 10 percent fetal calf serum.

25 We don't go out of our way to change that. It

DETH - DIRECT

3920

1 wasn't the variable that we were looking at. But we do  
2 acknowledge that copper does have effects on non-sulphur  
3 metabolism.

4 Q Now there were a couple criticisms of one of your  
5 slides where you were measuring the change in glutathione in  
6 relation to thimerosal exposure.

7 A Yes.

8 Q Let me pull this. I think it's slide 24, if I  
9 have that number right. Yes, I believe it was this slide.  
10 The first criticism from Dr. Jones was, he said that he had  
11 devised at least one test to measure glutathione; and that  
12 he couldn't understand how you could measure glutathione at  
13 .1 nanomolar level. I think you said this several times.  
14 What's your response to that criticism?

15 A This was confusing to me. I don't know if Dr.  
16 Jones again was setting up an experimental situation which  
17 did not apply to us. He seemed to be saying, well, if  
18 you're seeing effects of thimerosal at 10 to the minus 9th,  
19 or nanomolar level, that must mean that your measuring  
20 somehow changes of glutathione in that same concentration  
21 range.

22 Again, it's as if one molecule of thimerosal was  
23 interacting with one molecule of glutathione, and that's not  
24 what we were measuring. That's not what happens.

25 As a matter of fact, if we look at the "y" axis

DETH - DIRECT

3921

1 here, the vertical axis, you can see that the molecule 750  
2 is the intercept there per milligram protein, and just goes  
3 down from 750, I suppose, to 350 a 300 nanomolar, change  
4 associated with that 10 to the minus 9th or one nanomolar  
5 concentration of thimerosal.

6           So even on the face of this graph, a 300 moles  
7 per milligram change of the glutathione for one nanomole  
8 change of presence of the thimerosal; so right away, as we  
9 have recognized, it tells you that it's not a one for one  
10 change in the glutathione. So we're not measuring minute  
11 changes in glutathione. They're major changes, you're  
12 looking at 40 percent decrease or 50 percent decreases in  
13 the amount of glutathione; way beyond what that molecule of  
14 thimerosal could ever do itself.

15           That's why it points, as I have said several  
16 times, to the fact that it has a big multiplier effect,  
17 because it's actually affecting regulatory proteins like  
18 thyrotoxin reductase, which are many times over-affecting  
19 the glutathione states.

20           Q     I think Dr. Johnson also had a criticism of this  
21 particular experiment. If I understood him correctly, what  
22 he was saying is that in this particular line of cells,  
23 glutathione is not present at the levels that you claim to  
24 be detected, that it's lower.

25           A     I heard that comment off of his testimony and I

DETH - DIRECT

3922

1 take it to heart, and I actually only heard it, quite  
2 frankly, last night. And I checked myself, also looking in  
3 the literature, and I found papers that had actually higher  
4 levels, and I found a number of papers that had lower levels  
5 than this. I feel incumbent on me to go back to the lab and  
6 to respond to his comments by checking on the calculation  
7 that goes into this left hand axis number.

8           But no matter what that is that might allow that  
9 possibility, there might be something to look for there.  
10 But the effects of thimerosal, no matter what the absolute  
11 number is, are obvious.

12           They're not only obvious here. There's a 40  
13 percent decrease from whatever the absolute number was on  
14 the left hand axis, which is important and I do need to  
15 address that. But the cause is obvious.

16           Moreover, this measurement of glutathione, as I  
17 presented, is only like a middle step, or one of the three  
18 or four or five different steps in the process that are all  
19 showing the same dose response relationship to thimerosal.  
20 So the glutathione levels, per se, are only one of a pattern  
21 of activities that reflect the interference of the sulphur  
22 metabolism of the thimerosal.

23           I might also add that glutathione is very easily  
24 converted to other things, when you stop a reaction. You'll  
25 have the highest levels of glutathione at the time the cells

DETH - DIRECT

3923

1 are healthy and normal.

2           Then when, in the way experiments are done, you  
3 then stop whatever treatments are taking place; and then you  
4 go ahead measure the glutathione, which takes a certain  
5 interval of time, that interval of time no doubt is  
6 associated with some loss of the glutathione, and because of  
7 its nature it's unstable.

8           So although I take to heart those comments, the  
9 higher levels are associated with the most efficient  
10 measurement of the true values. They're not going to go up,  
11 experimentally speaking. They can only go down. So in  
12 effect, we have higher level, which at least puts us on the  
13 better side of that relationship.

14       Q     So even if you have the wrong absolute numbers  
15 here for glutathione here, because of a miscalculation of  
16 some kind, the relative change is what's important. Is that  
17 what you're saying?

18       A     Well, the relative change is important. I'm not  
19 acknowledging, because I don't know this to be the case,  
20 that this is somehow erroneous. Although because of this  
21 collegial criticism, I understand that I need to go back and  
22 check and double check to make sure that that's the case;  
23 and we have checked. It's not like I don't check these  
24 things.

25           But nonetheless, whatever that outcome may be,

DETH - DIRECT

3924

1 the experiment the way it was done, still leads to the fact  
2 that there's a 40 percent change or a 50 percent reduction  
3 caused by thimerosal, no matter what the absolute value is  
4 ultimately determined to be.

5 Q Now related to that, at least I think it was Dr.  
6 Jones said that you could -- manipulate may not be his term.  
7 But in your cell culture, you've got the fluid above the  
8 cells, and you have a certain number of cells in the dish.  
9 He suggested that by changing the volume of the fluid above  
10 the cells or by changing the number of cells, you would  
11 affect the concentrations within the cells in sort of an  
12 artificial way. What is your response to that criticism?

13 A Well, with these experiments, just like everybody  
14 else does experiments with the culture cell system,  
15 typically, the cells are grown until they are so-called  
16 confluent. That is, there's like a carpet or a single layer  
17 of cells at the bottom of the well in a petri dish or  
18 something like that.

19 Then you add a solution to it to measure the  
20 biochemical things that your experiments are designed to  
21 look into. So we didn't do anything unusual here.

22 The volume that you add can be, I guess, varied.  
23 There has to be enough to cover the cells. Just to be  
24 specific in our case, in the wells that we do these  
25 experiments, typically you need 600 microliters as minimum.

DETH - DIRECT

3925

1 That's two thirds of a net ML at a minimum, just to keep the  
2 cells wet above them. We use two MLs. That's about three  
3 times that, as a standard volume.

4 So in any case it's not extraordinary, we didn't  
5 like rig the system or something like that. That is large  
6 volume. It's typical that there's a volume above the cells.

7 He made the point that mercury has made some  
8 special properties. That is to say it has a high affinity  
9 for thiols. This is where this whole thing starts from. So  
10 cells that contain thiols will bind the mercury.

11 Once the mercury is bound, it's no longer free.  
12 So we have two different states or forms. The driving force  
13 for the movement of anything, mercury included, as an ion  
14 across a barrier from one side to another or from the fluid  
15 into the cells, is driven by the concentration difference.  
16 And as the concentration outside is high, there's a natural  
17 tendency to go into the cells because it's zero inside the  
18 cells to start with. Now when some gets bound, some more  
19 will replace it. So over time an equilibrium will be  
20 established with bound mercury inside of the cell, free  
21 mercury inside the cell, free mercury outside the cell.

22 Now our experiments are done with relatively  
23 short time intervals. That is one hour. We're looking at  
24 the earliest things that mercury does. We could look at  
25 longer times, but what are reported here are the first

DETH - DIRECT

3926

1 things that mercury does. So we typically have one hour of  
2 incubation, and then we test what the cells are like after  
3 that hour.

4 I'm sure, if we waited longer, we would get to  
5 that equilibrium in the end. But at the time we're doing  
6 these studies, we're probably still looking at the initial  
7 stages of mercury moving from outside to inside; and there's  
8 still plenty of mercury outside. He made it sound like  
9 there's a vacuum cleaner effect where the cells are sucking  
10 up all of the mercury from the fluid around there; although  
11 I don't believe that's true. I think it's a concept that  
12 somehow it be a criticism.

13 But the amount outside is still going to be  
14 outside the high concentration. But the cells have taken up  
15 some, and some has been bound. Let me explain just a little  
16 further to say, the bound is going to be found at the  
17 highest affinity sites with the greatest probability. You  
18 have binding sites for mercury; some of which are extremely  
19 high affinity, and they'll have the first priority. Then  
20 you have weaker binding sites that have less priority.

21 If you're wondering where the mercury ultimately  
22 will be, it will be at equilibrium in long term in our  
23 bodies at those high affinity sites, and those are the  
24 targets that I'm referring to when I talk about targets of  
25 mercury and regulatory proteins. Those will be where the

DETH - DIRECT

3927

1 mercury ends up.

2 Q Now there were a couple criticisms of tables or  
3 figures you've used from Jill James' papers. In particular,  
4 Dr. Jones said, he pointed to a set of genetic variations.  
5 Exhibit 49 is the paper, and it was one of your slides,  
6 also.

7 A Perhaps slide 39 or something?

8 Q Yes, I think that was the right table, wasn't?

9 A No, he was talking about genetics. It would be a  
10 much larger slide.

11 Q Yes, this is another one we have to deal with.  
12 But let's stay with the genetic one.

13 A I believe it was the second to last slide that I  
14 had, if I'm not mistaken. Yes, it's that one.

15 Q Okay, this is the right one now. What he was  
16 pointing to, he said that some of the changes here showed a  
17 protective effect of these genetic markers, as opposed to a  
18 risk effect. What's your response to that?

19 A Well, what we're looking at here are six  
20 different genes that have six different polymorphic states.

21 SPECIAL MASTER HASTINGS: This is slide 39,  
22 correct?

23 THE WITNESS: Slide 39 for the record here.

24 So the six genes that are displayed here, and  
25 their differential occurrence in autistic versus non-

DETH - DIRECT

3928

1 autistic subjects, that's what this is about.

2 BY MR. WILLIAMS:

3 Q Okay, now the six genes are in the left hand  
4 column?

5 A That's correct, and their abbreviation is in the  
6 white box, which is from the paper, abbreviated with these  
7 short letter abbreviations.

8 Q And then each of those genetic genes have  
9 different variations themselves?

10 A Right. For each of these, there's more or less  
11 two possible states. For example, in the top one, the  
12 location of interest could have an "A" as a nucleotide  
13 adenosine or a "G", a guanosine. So it's either A or G and  
14 so forth for the others as well. So the alternative gene  
15 states are single nucleotide polymorphisms. That is a  
16 variance of a single nucleotide, A or G in this case.

17 Q Then you have AAGAGG. What do those signify?

18 A Because we have two copies of each of the genes  
19 on two different chromosomes, then you could have your same  
20 A on both of them. You could have an A on one, a G on the  
21 other, or you could have two Gs. So this would be the  
22 possibilities that are displayed here.

23 Q Then what do you have as bolded, or what does she  
24 have?

25 A Well, what does she have, yes, right.

DETH - DIRECT

3929

1 Q This is Jill James' table.

2 A As it indicates in the small print at the bottom,  
3 its significant and border line significant differences are  
4 in bold type. So this is meant to highlight those that  
5 either were or met the statistical criteria of a P value  
6 less than .05; or in particular, that the odds ratio, the  
7 right hand column here did not, in some cases, almost did or  
8 did not intersect with one, which would indicate one would  
9 be just sort of the normal equal occurrence in autism and  
10 controls.

11 If there was a significant difference than one  
12 odd ratio, that would mean a difference, and they're  
13 favoring the autistic population rather than non-autistic  
14 population.

15 Q And if the confidence internals there in  
16 parenthesis in the right hand column include the number one,  
17 what does that mean?

18 A Well, that means they don't meet the criteria of  
19 significance, with that criteria being an odds ratio of 95  
20 percent; that is, the chances being less than one in twenty  
21 of a random occurrence here. So they don't meet the  
22 criteria for significant differences.

23 Q In some cases, because of the way she phrased  
24 that, significant and border line significant -- border line  
25 is a little wishy-washy. It's a little unclear. Almost

DETH - DIRECT

3930

1 significant, I guess, is my take on that. It's allowed  
2 highlighting of things that approached, by some ambiguous  
3 definition significance, but not quite reaching that.

4 Q Are there any statistically significant values  
5 here in the relevant genes that are below one?

6 A I see several, actually. The ones that raised  
7 this particular issue or were raised have to deal mainly  
8 with the last one at the bottom, NTRR, or the methionine  
9 synthase reductase. So this gene, and its gene product  
10 protein, as the name implies, is involved in reducing the  
11 B12 in the methionine synthase, so the enzyme can be jump-  
12 started or reactivated again.

13 In non-neuronal cells, like the liver and so  
14 forth, this enzyme plays a major role. Our evidence  
15 indicates it doesn't play that same role in neuronal cells.  
16 But in any case, this one has the odds ratios, as we see  
17 .78, .69, .61, and .66.

18 From those, each of them is below one, and the  
19 confidence interval is right next to them. For example, for  
20 the .78, the confidence interval was .61, and it goes up to  
21 1.02. So, it goes just above 1.0, and I think this is an  
22 example of a borderline significance that was alluded to in  
23 that descriptor there.

24 But taken together, these suggest but don't  
25 actually statistically reach that criteria. Because none of

DETH - DIRECT

3931

1 them actually, in their confidence interval, exclude one.  
2 They all sort of wander slightly over one, and as a result,  
3 really, they aren't significant. These are all the lower  
4 line ones.

5           But nonetheless, because they're all borderline,  
6 they suggest that maybe having a particular form here of  
7 this enzyme or gene is protective; that the risk may  
8 actually be less if you have one of those. I would  
9 attribute that, if I had to speculate about the meaning of  
10 that, to the possibility that, for example, in non-neuronal  
11 cells like liver, kidney, or whatever, that if you have a  
12 certain form of this, then it has a contribution of a  
13 protective nature. If I were to take the border line and  
14 forget about that and call it significant, that's the  
15 interpretation I would give that.

16           Q     Now another criticism, based on your use of Jill  
17 James' work, I think, was on slide 13, if I have the right  
18 slide number. Yes, and specifically, what I had written  
19 down is that Dr. Jones said that on this slide, the change  
20 in the cystathionine was protective.

21           A     I'm going to let you restate that.

22           Q     Well, you probably understand the criticism  
23 better than I do.

24           A     I know, because I reviewed his testimony, and I  
25 know this issue was on the agenda here. I think he was

DETH - DIRECT

3932

1 referring to the cysteinylglycine, which is roughly in the  
2 middle here, which shows a value here of 39.4 in controls  
3 and 38.9 in autistic population; clearly, no difference.  
4 Sure, on the right hand column where the significant  
5 differences are portrayed, it stands out, .78, as something  
6 that's not significant.

7 All the others are significant. That is, all the  
8 others are below .05, indicating they meet the criteria as  
9 statistical significant. So who was it, Dr. Jones that  
10 brought this out?

11 Q That's what my notes say.

12 A In any case, clearly, it's trying to call  
13 attention for some reason to the only one that wasn't  
14 different. So all the other ones are different and  
15 extremely different. So, I guess, we're going to end up  
16 sort of focusing on the one that wasn't different here,  
17 which is somewhat diverting, I suppose.

18 But the cysteinylglycine, if I reflect on why  
19 that might not be different, I think that's really what the  
20 question is; why does the fact that that didn't change, is  
21 that a dramatic finding, even though everything else is  
22 different? I don't think so. We're talking about the  
23 cysteinylglycine. The glutathione is missing the glutamate.

24 So typically, the glutathione is pushed out of  
25 certain cells. Let's say, in the blood, this might be blood

DETH - DIRECT

3933

1 cells. Some of them released glutathione; or maybe the  
2 liver released glutathione.

3 Then outside of cells, there's certain peptidases  
4 that cut off the glutamate, leaving behind this molecule,  
5 which is cysteinylglycine in a dipeptide. Its levels don't  
6 change, despite the fact that everything else is changed.

7 I, quite frankly, don't know what to make of  
8 that. It's not a significant issue, in my opinion. But I  
9 guess it would indicate that this is not critical in autism.  
10 The amount of this is not critical in autism.

11 I'm certainly okay with that. But everything  
12 else is abnormal, and I have to say, that's really the  
13 message here. It doesn't make sense to focus on this one  
14 factor.

15 Q Now another specific criticism Dr. Jones made had  
16 to do with a receptor or transporter on the surface of the  
17 cell that you talked about, called EAAT3. I think we need  
18 to pull your diagram of the cell back up to discuss this.  
19 Which slide would best illustrate this?

20 A I'm looking at my cell slide 18, which I think is  
21 reasonable.

22 Q Now, if I understood him right, what Dr. Jones  
23 was saying is that you focused just on this receptor, but  
24 these neuronal cells or neuroblastoma cells have lots of  
25 other receptors that somehow make up for any problem with

DETH - DIRECT

3934

1 this one.

2 A In the context of his remarks, yes, he was making  
3 like a general statement about cells. I don't know that he  
4 was specifically focused on neuromal cells; but, in fact,  
5 that's what this slide was meant to illustrate. We did work  
6 with neuronal cells. So my knowledge here is mostly about  
7 neuronal cells, and he is incorrect about that.

8 But even in studies in studies in mice brains,  
9 where the particular transporter here was knocked out, knock  
10 out mice that don't have that, there was a major decrease in  
11 the glutathione levels, and they suffered neurodegenerative  
12 consequences in their neurons.

13 Because in mature neurons, the literature  
14 indicates in that study and our own work supports the idea  
15 that the EAAT3 is the major, that is more than half, source  
16 of cysteine uptake or even cystine uptake, that is oxidized  
17 or reduced cysteine.

18 So it is both in the literature and explicit  
19 experiments, and when we studied this as I presented that  
20 data with thimerosal, we found that when we blocked with  
21 specific transport inhibitors of that transporter, and we  
22 blocked it, we blocked two-thirds or more, actually I'm  
23 being modest here -- at least two thirds of the uptake of  
24 cysteine was blocked when you blocked that transporter.

25 So clearly, it is the major source of thiols in

DETH - DIRECT

3935

1 the form of cysteine to these neuronal cells, and the  
2 literature also indicates that in an intact brain, the same  
3 role is present.

4 Q So is it fair to say that his statement about  
5 other receptors or transport cites would be true of cells  
6 outside the brain; and it's not true of neurons?

7 A That is true. For example, astrocytes have a  
8 different transporter. The EAAT3 is not the most prominent  
9 in astrocytes. They have a form which takes the cystine and  
10 group and glutamate in opposite directions. So that's a  
11 different transporter than astrocytes, just by example.

12 So there is a whole family of transporters. He's  
13 certainly right about that. But let me get down to neurons  
14 specifically. The EAAT3 is the major transporter of  
15 interest.

16 Q Now I don't remember which of the experts on the  
17 other side said this, but you were criticized for even  
18 calling your cell model neuronal because it's some kind of  
19 specialized tumor cell from outside the brain. What's your  
20 response to that?

21 A Well, it's not a brain. We don't have a brain in  
22 a petri dish. We have a cell line. They arise from tumors.  
23 They are major, major tools in biology. Many people use  
24 these replicating cells as test systems, and they yield  
25 important information that can then be further considered or

DETH - DIRECT

3936

1 followed up on it in other systems, such as primary neuronal  
2 cellcultures, for example.

3 But the cells that we work with, the so-called  
4 SH-SY5Y cells, are derived from a tumor, a neuronal tumor.  
5 They can be induced to give full fledged neurons with  
6 synapses, and like a neural network right in the petri dish.  
7 If we treat them correctly, they can do that; or they can be  
8 in a sort of proliferative phase, where they multiply more  
9 frequently. They are the most commonly used cell culture  
10 model for human neuronal cells.

11 We chose them partly for that reason. So they  
12 certainly meet the criteria of being in the field with a  
13 standard system to be used. They can be neuronal. They can  
14 be dividing. They need to be both dividing and neuronal, in  
15 order to be useful in a cell culture.

16 Q Now one of the major criticisms that Dr. Jones  
17 had of your work -- in fact, I think he described it as  
18 unbelievable or incredible -- is the low dose of thimerosal  
19 at which you found effects. What's your response to that  
20 criticism?

21 A Well, I was impressed, from the very first time  
22 that we carried out these kinds of studies myself. If I  
23 looked back and said, when was that; that was back just in  
24 the year preceding the IOM considerations of the World of  
25 Mercury and Autism, the first paper, the Waly paper, where

DETH - DIRECT

3937

1 we did those response curves that showed subnanomolar  
2 effects of thimerosal on phospholipid methylation, that peer  
3 reviewed paper. I was obviously impressed.

4 As a matter of fact, let me relate the reality of  
5 the situation. So as I recall, this was in the summertime,  
6 and these experiments were taking place. I said, my God,  
7 look at those potent effects of thimerosal, and this is the  
8 same thimerosal that people are worried about, or at least  
9 considering as possible risk factor for autism; and I know  
10 that there's a committee out there. The Institute of  
11 Medicine that's interested.

12 I had better contact them with this finding,  
13 because I was so struck by it. Being in Boston, actually  
14 the Chair of that committee was actually at Harvard public  
15 health schools. So I was on the phone, calling people to  
16 let them know about this.

17 I have to say I, too, was struck by the low  
18 concentration that was striking. Because they brought the  
19 potential for toxicity involving this system to a higher  
20 level of likelihood, than the other studies on other cell  
21 types and other end points that typically had micromolar  
22 inhibitory effects. We were, on the other hand, seeing  
23 nanomolar or even subnanomolar inhibitory effects.

24 So I can understand when somebody first sees this  
25 data, that they're saying, wow, what's this about? It seems

DETH - DIRECT

3938

1 it is, number one, striking; and maybe it says to people,  
2 oh, I'm not sure whether that's true or not.

3           Likewise, replication; that's why we had to go  
4 back and look at all the things that led up to that  
5 observation and say, well, why does that happen? Why is it  
6 so sensitive? What is causing, in the case of the  
7 methionine synthase to be turned off?

8           Our first observation was that methylation  
9 activities are inhibited at these concentrations; all of  
10 them. Why is methylation inhibited? Oh, methionine  
11 synthase is inhibited. Oh, I see; that's why. Well, why  
12 isn't methionine synthase? Well, it must be because the B12  
13 is affected. That's because the methyl B12 is not  
14 synthesized. Oh, let's measure that. That's down, too.  
15 Well, why is the B12? Oh, it's dependent on glutathione.  
16 Oh, the glutathione level is down. Why is the glutathione  
17 level down? It's because the cystine uptake that supports  
18 that is down, as well.

19           So as I indicated before, this is the sequence of  
20 events that we went through; and each one of those, as we  
21 worked backwards, showed the same nanomolar sensitivity in  
22 this systems. Of course, it lead to other studies, that  
23 we've done in animals; but now more importantly in human  
24 post-mortem studies in autistic subjects, to find that  
25 indeed this enzyme that shows nanomolar or subnanomolar

DETH - DIRECT

3939

1 sensitivity is disturbed and subnormal in its levels in  
2 autistic brains.

3           So this again was a little bit of shock to me,  
4 and that's why we followed it. When you see something like  
5 that, you need to understand it.

6           Q     Now after you testified here, and actually I  
7 think it was two weeks ago, did you find another paper that  
8 found effects of inorganic mercury at the levels that Dr.  
9 Jones was surprised at?

10          A     That's right. Well, in reading Dr. Jones'  
11 testimony, actually it alerted me to Dr. Jones' work.  
12 Because actually, seeing first his expert opinion. I hadn't  
13 made the connection with his experimental work, which was  
14 mostly just sort of direct criticism of my own.

15                 Now I realized that I, in fact, knew his work.  
16 In fact, his studies that showed the effects of mercury and  
17 a series of other heavy metals on thioredoxin, the  
18 regulatory protein that regulates cysteine oxidation was  
19 work that I had paid attention to. As a matter of fact, our  
20 lab at a lab meeting discussed his paper in some detail.  
21 Then when I appreciated that, in light of his comments, I  
22 recognized the thioredoxin was in fact a potential target of  
23 interest here.

24                 I had proposed this here when I explained in my  
25 testimony about how mercury has two binding opportunities on

DETH - DIRECT

3940

1 each side, especially inorganic mercury; whereas, the  
2 organic only has one. But once it becomes inorganic  
3 mercury, it can grab onto to two different cysteines, and  
4 the molecules that contain those two cysteines are just the  
5 right distance. That distance is about four angstroms.  
6 I'll be quite explicit about that.

7           If you look at the structure of molecules, the  
8 distance is just enough so if a sulphur is here and a  
9 sulphur was there, a mercury could extend both of its  
10 binding arms to bind simultaneously to those two.

11           So I had proposed, as I thought about the  
12 ultimate targets, what they might be like for inorganic  
13 mercury. It would be a target that had two cysteines  
14 approximately that distance apart. When one looks at the X-  
15 ray crystal structure of thioredoxin, one finds cysteine  
16 number 32 and cysteine number 35 are exactly that distance  
17 apart. In fact, they can accommodate a zinc between them.

18           This is described. But instead, if a mercury is  
19 between them, the mercury more strongly bonds and stays  
20 there. So the one side breaks. Even on the rare occasion  
21 when one side breaks and comes away from the non-cysteine,  
22 the other side is still anchoring it there. So it's just a  
23 matter of time until that other one reforms again. So this  
24 is a rather permanent, rather long-lasting, location for  
25 mercury of high affinity.

DETH - DIRECT

3941

1           So with that kind of background, the thioredoxin  
2 and glutaredoxins, the two sister molecules, they both have  
3 a similar orientation, I suggested and I presented this in  
4 different symposia as targets.

5           Now a paper came out that I just actually found  
6 by PubMed searching; a paper in which indeed the effects of  
7 inorganic mercury applied to that particular thioredoxin,  
8 were in the same nanomolar range, the exact same nanomolar  
9 range; what we found inhibition of this human neuromal cell  
10 thiol metabolism.

11          Q     Let me stop you. Let's pull the paper, so that  
12 we know what we're talking about here. I tried to discuss  
13 it with Dr. Jones. But he hadn't had a chance to read it or  
14 he hadn't seen it before, and he declined to answer  
15 questions about it. What exhibit number did you give this?

16          A     Trial Exhibit 7.

17          Q     Right, it's Trial Exhibit 7. So first, isn't it  
18 true that at least three of Dr. Jones' own papers are cited  
19 in the references of this paper?

20          A     That's true, and it reflects a close working  
21 relationship, I suppose.

22          Q     Where is this paper from? Is this from a  
23 reputable group?

24          A     Of course it is. Both myself and Dr. Jones' know  
25 Dr. Holmgren's work is really exemplary.

DETH - DIRECT

3942

1 Q How do the findings in this paper support your  
2 own work, your own conclusions?

3 A Well, the role of a thioredoxin is to regulate  
4 the oxidation state of thiols, cysteine in particular, in  
5 cells, which can be either oxidized, joined together, or  
6 separate. What the thioredoxin does, when it's thioredoxin  
7 in its reduced form, which is its active form, it's able to  
8 come into to oxidize cysteines and reduce them, so that they  
9 are no longer oxidized and they are reduced.

10 So the thioredoxin is oxidized. As a result, it  
11 has to go through a cycle and get ready to do the same job  
12 over again. So it takes oxidized cysteines, called  
13 cysteines, and reduces them.

14 Those cysteines can typically be in proteins,  
15 where they're holding proteins in a certain shape. Notice  
16 how my arms are sort of bent like this and are oxidized.  
17 But if they really weren't oxidized, my arms would be free  
18 to move, and the protein would have a different shape.

19 So really, what it's doing is affecting the shape  
20 of proteins by converting oxidized cysteines to reduced  
21 ones. This is how nature regulates many proteins, many  
22 proteins, thousands of proteins.

23 So when thioredoxin is not working, then in fact  
24 those same thousands of proteins would be more likely to be  
25 in their oxidized state, rather than their reduced state.

DETH - DIRECT

3943

1 Accordingly, their activity will be different. There's a  
2 very powerful enzyme or small enzymes that does that job.

3 In addition, in diagrams that I have used, I have  
4 talked about how the oxidized cystine or cysteine that's  
5 taken up by astrocytes or glial cells; and in the case of  
6 astrocytes, they are able to reduce it and convert it to  
7 glutathione, which eventually the astrocytes give out to the  
8 neurons nearby.

9 If the astrocytes thioredoxin is not working, the  
10 cystine that they take up is not reduced. As a result, the  
11 astrocytes will suffer problems from not being able to make  
12 enough glutathione. Secondly, the neurons that depend on  
13 the astrocytes will suffer from a lack of cysteine and a  
14 lack of glutathione.

15 So the thioredoxin is important in several ways.  
16 It's important in regulating proteins' shape and activity in  
17 many enzymatic ways. But it is particularly important in  
18 supplying the cysteine necessary for glutathione synthesis  
19 in astrocytes and in neurons, as well.

20 The particular features that render it highly  
21 sensitive, as this paper pointed out, it is quite remarkable  
22 to me to see this paper. By way of background, when I saw  
23 Dr. Jones' paper and we discussed that at our lab, I said,  
24 oh, thioredoxin looks very important. We should recognize  
25 thioredoxin. Let me look into the literature of that point,

DETH - DIRECT

3944

1 which was about six months ago.

2 I contacted Dr. Holmgren by email, and I said to  
3 him, do you think there might be the possibility that  
4 mercury could interact with thioredoxin in a potent manner.  
5 I described our work to Dr. Holmgren. He said, oh, you'd be  
6 surprised. We've already studied that. We have a paper  
7 coming out, but he didn't share that with me.

8 So I knew that in the pipeline there was, at some  
9 point, going to be a paper about mercury and thioredoxin.  
10 But it wasn't until a week ago, after my testimony here,  
11 that I was able to see this paper and what he meant by it.

12 I further suggested to Dr. Holmgren, and I  
13 haven't heard back from him, that the human neuronal cells,  
14 as opposed to the other cells that he might have been  
15 working with, might have an even higher sensitivity because  
16 of, as I pointed out here, the properties of neuronal cells  
17 and of human neuronal cells, that put them in another  
18 echelon of oxidated stress or risk.

19 So I suppose, and I'm waiting for him, I  
20 understand that he is undertaking further studies with the  
21 same cells that we have worked with, to further test that.  
22 That is the last email that I had from him. The study was  
23 very important, and I just, however, became aware of that  
24 after our previous testimony. Otherwise, I would have  
25 included it.

DETH - DIRECT

3945

1 Q If we could just quickly look at figure 1, Scott,  
2 which is on the fourth page of the exhibit. Does this show  
3 effects of inorganic mercury at the same nanomolar levels  
4 that you have been finding effects?

5 A Yes, particularly the inorganic mercury in the  
6 top part A here, is the line sloping downward on the left,  
7 which is more potent in this case than the methyl mercury.  
8 Again, I would say the inorganic mercury has two arms. The  
9 methyl mercury has one arm. They are both able to inhibit  
10 here. But the effectiveness of the inorganic mercury is  
11 higher, and the concentrations they inhibit, they describe  
12 as having an IC50 of the approximately 10 to the nanomolar  
13 level here; meaning that the inhibition is occurring at even  
14 subnanomeric concentrations. Ten is like the mid-point  
15 here.

16 Q Okay, now you can take that down Scott. Another  
17 critique of your work, this was from Dr. Johnson. It was  
18 not your work. It was a critique of Dr. Hornig's paper.  
19 Dr. Johnson showed some pathology slides from her paper on  
20 those SJL mice; and then compared it to the pathology slides  
21 from the U.C. Davis Group. First off, that paper, I think,  
22 is Berman.

23 He was very critical of the pathology work done  
24 by Mady Hornig's group. Do you have any response to that  
25 criticism of her work?

DETH - DIRECT

3946

1           A       The comment he made I think was about the  
2    histochemistry staining. I'm not really an expert about  
3    that. Quite frankly, I looked at the figures, in Mady  
4    Hornig's paper. I could see visually myself differences in  
5    the ones that I paid attention to most.

6                   For example, in Mady Hornig's study, the one that  
7    I did pay attention to most, was the one where she had the  
8    EAAT3. That is she did an immunohistochemical staining for  
9    that very cysteine transporter that we just talked about,  
10   unbeknownst to her, it's a cysteine transporter. She  
11   considered its other role as a glutamine transporter.

12                   What she found, and what I was convinced visually  
13   by the evidence that she presented, was that that was  
14   significantly up-regulated in the thimerosal treatment  
15   group, as if the cell was trying to get more cysteine in  
16   response to whatever the thimerosal was doing.

17                   I'm not an expert. So I don't have like an  
18   experience level to say, well, okay, if I look at her you  
19   know, histochemistry as compared to other people in the  
20   field in general, to make a quality judgment on all of her  
21   figures. I have to say that I can't do that, but, from the  
22   cases that I have looked at. And I did look at all of the  
23   figures and so forth. I saw the differences that she  
24   referred to in the paper.

25                   You know immunohistochemistry is a visual kind of

DETH - DIRECT

3947

1 thing. It's not a number. So a lot of this falls in the  
2 category of, you could say, the art of doing this? So it's  
3 really a little subjective, in terms of was it good work,  
4 was it bad work, was it a clear result, was it less clear?  
5 I can't really judge art in that way, as well. But the  
6 differences were clear enough.

7           We also took on very recently a study of the  
8 levels of glutathione in the two strains of mice that Dr.  
9 Hornig studied. In fact, she sent us samples. Sent us  
10 samples of the SJL mice that were responsive to the  
11 thimerosal, and showed these changes, including the EAAT3;  
12 and then the C57 black mice brain samples.

13           We measured a couple of things. We measured the  
14 glutathione level, which we found that the thimerosal  
15 vulnerable ones had about 40 percent lower that was very  
16 clear; 40 percent lower levels of glutathione in the ones  
17 that she found to be more thimerosal sensitive.

18           At the same time, we measured the methionine  
19 synthase activity was with methyl B12 or hydroxy B12. Again  
20 we found the methionine synthase activity was lower by about  
21 40 percent, consistent with a lower glutathione levels.

22           Those findings, made within the last month or six  
23 weeks, I would have to say suggest that there are strain  
24 differences in glutathione status and in methylation status,  
25 that make it reasonable that the thimerosal sensitivity

DETH - DIRECT

3948

1 might be different between them. But this is different. We  
2 didn't measure the immunochemicals. We didn't do the  
3 behavioral studies and so forth. We just showed that the  
4 biochemistry is different between those.

5           Now I have to say also, and I will volunteer  
6 this, that the thimerosal treatment at 10 weeks did not  
7 affect those values. I just want to be clear. We measured  
8 with thimerosal treatment and without. But, in fact, they  
9 were lower in the SJL. But thimerosal levels were equally  
10 low and they remained low. What we see at 10 weeks after  
11 much earlier exposure is not clear. There are issues about  
12 when we measured it. But I'm just sort of volunteering, we  
13 know that there are strained differences in redox between  
14 those strains.

15           Q     Now since you testified, have there been other  
16 animal models published that have tried to mimic the  
17 thimerosal vaccine doses that would support Dr. Hornig's  
18 conclusions?

19           A     Since I testified, this has been more than two  
20 weeks or something like that. Yes, indeed, another study  
21 has come out. It's just, the way things are, there's a lot  
22 of interest in this, and now people are taking up the task  
23 of studying this. A paper that came out by Laurente, et al,  
24 came to my attention the day before yesterday I believe it  
25 was.

DETH - DIRECT

3949

1 MR. WILLIAMS: Let me give you an exhibit number  
2 on it while we talk about it.

3 What is our next exhibit number?

4 SPECIAL MASTER HASTINGS: Number 11.

5 (The document referred to was marked for  
6 identification as Petitioner's Trial Exhibit 11.)

7 MR. MATANOSKI: Your Honor, I guess you haven't  
8 seen a copy of this, yet. But I heard this just came out,  
9 and I'm looking right at the bottom. It says 2007.

10 THE WITNESS: Maybe it was out but just not aware  
11 of it. It came to my attention not through PubMed, but  
12 through an email.

13 BY MR. WILLIAMS:

14 Q When did you first learn of this paper, that it  
15 had been published?

16 A Well, today is Thursday, and I think it was  
17 Monday night or Tuesday night. I believe it was Monday  
18 night. It was Monday night.

19 MR. MATANOSKI: Actually, I'm going to have to  
20 object at this point. I've been going with a lot of  
21 latitude on what's rebuttal and what isn't. This isn't  
22 rebuttal. This is available.

23 If he wanted to rely on this to prop up Mady  
24 Hornig's study, he could have done it then, when he was  
25 testifying. We're now at day 13 of the trial, and it's new

DETH - DIRECT

3950

1 evidence that's been out there and it's coming in for the  
2 first time.

3 SPECIAL MASTER HASTINGS: Was it you or Dr. Deth  
4 that just said two minutes ago that this was published in  
5 the last two weeks? You asked him that and he said --

6 MR. WILLIAMS: I became aware of this.

7 SPECIAL MASTER HASTINGS: No, no, the question  
8 was, didn't you ask him -- I heard the words, published in  
9 the last two weeks.

10 BY MR. WILLIAMS:

11 Q Well, when was it published?

12 SPECIAL MASTER HASTINGS: Well, wait, you're  
13 dodging my question. Didn't you just ask him, has something  
14 been published? Did you use the words, published in the  
15 last two weeks?

16 MR. WILLIAMS: I may have; and if I did, I mis-  
17 spoke.

18 SPECIAL MASTER HASTINGS: Okay, all right.

19 MR. WILLIAMS: I apologize for that. I'm not  
20 trying to claim a different date than what appears on the  
21 paper.

22 SPECIAL MASTER HASTINGS: All right, I wouldn't  
23 recommend it. Do you have a response to Mr. Matanoski's  
24 objection?

25 MR. WILLIAMS: Well, I tell you what, because

DETH - DIRECT

3951

1 this deals with toxicology, we can take this up when we do  
2 our rebuttal on toxicology in July.

3 MR. MATANOSKI: Not unless Dr. Clarkson and Dr.  
4 Magos talk about it.

5 MR. WILLIAMS: I'm sorry, I didn't hear you.

6 MR. MATANOSKI: Not unless Dr. Clarkson and Dr.  
7 Magos talk about. What I'll do, Your Honor, is this. I'll  
8 reserve my objection. I'll allow the question to go forward  
9 with that reserved objection.

10 Dr. Johnson, if he comes back tomorrow, if he  
11 wants to address it, we'll address it and then decide  
12 whether or not to withdraw that objection. So that way,  
13 you'll have the testimony in front of you. We can all hear  
14 it. We can see what we're going to do with it after that.

15 SPECIAL MASTER HASTINGS: All right, then go  
16 ahead, Mr. Williams.

17 MR. WILLIAMS: Let me just say, I think this is  
18 an issue that's going to come up again and again. Because  
19 there is so much new science being published as this  
20 proceeding goes forward. From the Petitioner's point of  
21 view, you believe we should have all the science available,  
22 even if it is brand new.

23 SPECIAL MASTER VOWELL: If this were new, I might  
24 agree with you. But it's not new.

25 MR. WILLIAMS: It's new to us.

DETH - DIRECT

3952

1           SPECIAL MASTER VOWELL: What we're trying to  
2 emphasize is that there's been a very lengthy ramp-up to  
3 trial here. You all had this opportunity to find these  
4 things. Having them sprung on the Court at the last minute  
5 is not helpful.

6           MR. WILLIAMS: I'm sorry.

7           BY MR. WILLIAMS:

8           Q     Just briefly then, Dr. Deth, explain why you  
9 think this paper supports your general opinion.

10          MR MATANOSKI: Well, actually, I think it has to be in  
11 support of the criticism of Dr. Hornig, as this is rebuttal.

12          Q     Does it help you to reinforce what you have  
13 relied on from Dr. Hornig's paper?

14          A     I think I should probably frame what I relied on  
15 from Dr. Hornig's study in the first place, and then just  
16 reflect on that.

17                 In Dr. Hornig's study, as we recognize, it was an  
18 attempt to replicate the developmental timing of the  
19 delivery of thimerosal and thorganic mercury in hopefully a  
20 relevant model system; two strains of mice that have a  
21 background of an auto-immune prone nature to them.

22                 At the time, I provided my expert opinion here,  
23 which was before Berman's paper was published, I didn't have  
24 the counter finding that they had that that time; that paper  
25 has shown that there were neurological effects, as well as

DETH - DIRECT

3953

1 effects as EAAT3, which I found particularly connected to my  
2 line of research and my line of opinion here.

3 Now this study -- which in fact, Dr. Hornig was  
4 not aware of. When I saw this on Monday night, I sent an  
5 email to Dr. Hornig and said, are you aware of this paper?  
6 So as invested as she is in this field, you know, the paper  
7 apparently was published originally in 2007. It escaped  
8 many people's attention.

9 In any case, this paper shows, as the title  
10 describes, toxic effects that were quite striking, in a  
11 different species. In this case, there weren't two strains  
12 of the animals. But in this case, the hamsters that they  
13 used were one strain, and they were treated or not treated  
14 with thimerosal; and then certain brain end points including  
15 size of the brain with different brain structures, as well  
16 as the vitality and neuro degeneration status of different  
17 types of neurons in different locations, which were found to  
18 be affected by thimerosal.

19 So these were quite striking, indicating that  
20 again the develop mentally matched delivery of the  
21 thimerosal in these animals caused neurological damage.

22 Q Now one general criticism that I think all four  
23 of the defense experts made of your work is that you can't  
24 extrapolate from in vitro studies to living human beings.  
25 You know, what is your response to that?

DETH - DIRECT

3954

1           A       This is an easy general criticism. But it  
2 strikingly, it does not apply in this case. To the  
3 contrary, the work that we initiated in vitro, in culture  
4 neuronal cells. Again, Waly's paper that came out, more or  
5 less simultaneous with the IOM hearings, the open hearings,  
6 pointed to methionine synthase and to methylation as an  
7 event that is exquisitely sensitive to thimerosal in vitro.  
8 That's all it was at that time.

9                   At that time, well, actually, while that paper  
10 was in review and in press, I attended a conference at which  
11 a clinician, where Dr. James Neubrandner described his  
12 experience administering methyl B12, methylcobalamin to an  
13 autistic patient; and you know, the mother coming back to  
14 his office excited after 10 days, two weeks later, to say,  
15 oh, her son was so much improved. It was just like her son  
16 had had a miraculous change.

17                   So in any case Dr. Neubrandner related methyl B12  
18 had an effect in autism. From that time, we took on a study  
19 methyl B12 at that time. But from his clinical experienced,  
20 combined with our in vitro work, we then went back to the in  
21 vitro system to say, well, methionine synthase methyl 12.  
22 What could be special about that? Why would this methyl B12  
23 be any different than the regular B12?

24                   That lead us successfully, as I said before, to  
25 understand that neuromal B12 had a special B12 requirement;

DETH - DIRECT

3955

1 and that it needs to be glutathione dependent synthesis of  
2 methyl B12, and that redox interferes with that, et cetera,  
3 et cetera.

4           So this really is an extraordinary example,  
5 looking back at it, of how an initial in vitro finding can  
6 be coupled with clinical experience, and a back and forth  
7 can occur between clinical experience and the in vitro  
8 opportunities to study that, which currently cannot be  
9 studied in humans; and along the way, as it turned out, Dr.  
10 Jill James undertook her studies of sulphur metabolism; and  
11 she also found that the administration of methyl B12  
12 normalized these metabolites in autistic children.

13           Then more recently, there was an article thats in  
14 press discussing findings that cognitive abilities are  
15 improved by methyl B12 and folic acid or folinic acid  
16 treatment. So in the interest of finding correct answers to  
17 the issue here, these studies converge to show that in vitro  
18 data, and the results that it can produce, are invaluable in  
19 understanding the mechanisms that contribute to the in vivo  
20 condition; and also to finding treatments that can reserve  
21 the in vivo condition, that one couldn't ask for a more  
22 satisfying the relationship and a more utilitarian role for  
23 in vitro studies than that.

24           Q       Now you've referred to some unpublished work of  
25 Dr. James. Is there a lot of scientific work going on

DETH - DIRECT

3956

1 that's headed towards publication, as we sit here today,  
2 that are relevant to the issues these Special Masters have  
3 to decide?

4 A I think that's obviously well beyond my  
5 testimony, and even well beyond the area of my personal  
6 interest in thiol issues and redox issues.

7 But even in the thiol redox, that represents a  
8 hypothesis; and a hypothesis that was introduced now, let's  
9 say, three to four year ago; and as such, this can be tested  
10 and it is being tested by these individuals that are  
11 carrying out research. Some of it is clinical. Some of  
12 which is biochemical.

13 Then that, coupled with the dramatic need to find  
14 answers here, when you have at least a reasonable hypothesis  
15 to put forth that's concrete enough to be tested, that's an  
16 important starting point, and it has attracted a number of  
17 researchers. Again the issue of autism being as important  
18 as it is, not only to the public health, but to the families  
19 that are involved.

20 Certainly, it is a driver for a greatly  
21 increasing amount of research efforts and publications at  
22 present, and I'm sure that will continue.

23 Q Specifically, not just on autism, but on the  
24 potential relation of inorganic mercury to autism.

25 A That's exactly correct; although I'm trying to

DETH - DIRECT

3957

1 think of a Greek analogy where you can come too close to  
2 something. Was it from Ichtheus or who was it?

3 Q Icarus?

4 A Icarus and United and so forth -- it turns out  
5 that the issue being as controversial as it is and we're  
6 gathering here to try to resolve some of that controversy.  
7 It has, in many cases, been a barrier; not only a financial  
8 barrier for the lack of funding, but for important issues  
9 of, will I be tainted by taking on a research into such a  
10 controversial area?

11 This is the reality of doing research. It's a  
12 question that I'm sure that different people have pondered.  
13 But I know this first hand. So in any case, I suspect we  
14 would see even more research into the mercury connection, if  
15 it weren't for the fact that this is dangerous territory to  
16 some

17 MR. WILLIAMS: Okay, thank you; that's all I  
18 have.

19 SPECIAL MASTER HASTINGS: Do you have any cross  
20 examination?

21 MR. MATANOSKI: I do. I think I might be able to  
22 finish it without having a break. We're getting near the  
23 morning break time.

24 SPECIAL MASTER HASTINGS: Do you want to go ahead  
25 and try? Why don't we take our morning break?

DETH - CROSS

3958

1 (Laughter.)

2 SPECIAL MASTER HASTINGS: It's nearly 10:45.

3 Let's go until 11:00.

4 MR. MATANOSKI: Thank you, sir.

5 (Whereupon, a short recess was taken.)

6 SPECIAL MASTER HASTINGS: Please be seated.

7 We're ready to go back on the record. Dr. Deth is still on  
8 the witness stand; and Mr. Matanoski, go ahead with your  
9 cross.

10 MR. MATANOSKI: Thank you.

11 CROSS EXAMINATION

12 BY MR. MATANOSKI:

13 Q Good morning and welcome back, Doctor.

14 A Thank you.

15 Q I first want to make sure I understand your  
16 hypothesis that you've come back now to talk about. I want  
17 to put up your slide 7 that you've provided in your direct  
18 testimony, and make sure I understand your hypothesis here.

19 You have genetic risk factors, neuroinflammation,  
20 all impacting on the redox capacity. Is that right? They  
21 are contributing, along with the heavy metals, to create a  
22 situation of oxidative stress? Is that it, in a simplistic  
23 form?

24 A That is correct, yes.

25 Q Then the oxidative stress impacts methylation and

DETH - CROSS

3959

1 more neuronal synchronization on the one hand?

2 A Which I have chosen a couple of things to  
3 highlight here, out of too many to enumerate.

4 Q Okay, but it affects many things.

5 A That's correct.

6 Q Then the other thing that's important for your  
7 hypothesis is that it also creates neuronal and glial  
8 degeneration. Is that right?

9 A Neuronal degeneration -- here, I was referring to  
10 the relationship that it has to diseases like Parkinson's  
11 and Alzheimer's. The slide is not explicitly I guess an  
12 autism slide. But otherwise, neurodegenerative diseases  
13 such as Parkinson's and so forth, certainly the oxidative  
14 stress is an important contributor to that.

15 Glial cells don't necessarily degenerate. They  
16 have glioses, for example, or in the case of activation of  
17 microglia, I suppose the term degeneration might not apply  
18 to those outcomes equal to neuronal degeneration. Again had  
19 the neurodegenerative diseases that I meant to include in  
20 that arm.

21 Q Okay. So then are you saying that the  
22 neurodegenerative diseases are caused by heavy metals since  
23 that's part of this process as you described it?

24 A They can be I suppose. The clearest examples  
25 would be even for clearer for xenobiotics, but none of us

DETH - CROSS

3960

1 have the idea that there's a theory there, for example, for  
2 aluminum, and Alzheimer's is certainly one of the theories  
3 and heavy metals in Parkinson's as well. But exposure to  
4 paraquat in Parkinson's would fall in the xenobiotic  
5 category.

6 Q So is this presented as slide 7 to the Court, an  
7 autism case? This mechanism then is not specific to autism.  
8 Is that what you're telling me?

9 A No, in fact, it does encompass other things,  
10 other than autism.

11 Q So your process, as you described it, is not  
12 specific?

13 A Excuse me?

14 Q It's not specific to disease.

15 A I think you made a jump somehow here. Between  
16 saying slide this specific to the fact that --

17 Q You presented the slide in a case about autism.

18 A Yes.

19 Q It describes your process.

20 A My process?

21 Q The mechanism of how autism is caused by heavy  
22 metals.

23 A Yes.

24 Q And you're telling me that this is not specific  
25 necessarily to autism.

DETH - CROSS

3961

1           A     I suppose I could counter by saying the brain is  
2 not specific to autism. So events that affect the brain,  
3 but might not be occurring with the same temporal or  
4 developmental circumstances as autism that might occur late  
5 in life; for example, in the case of more degenerative  
6 diseases, might logically involve the same critical factors  
7 for brain metabolism. So those factors are shared by  
8 different diseases; of which autism is one, but not the only  
9 one.

10          Q     So this hypothetical process doesn't necessarily  
11 apply just to autism. It could apply to many different  
12 things.

13          A     I regret your choice of the term hypothetical  
14 process. The metabolism of the brain that introduce  
15 vulnerability apply to many diseases affecting the brain.

16          Q     The process you've described is not specific to  
17 autism then. The process that you've laid out to the Court  
18 is not specific to autism.

19          A     The elements I laid out have to do with  
20 thimerosal as a causative agent. And the timeframe and the  
21 prevalence of its administration and its particular  
22 properties that of inorganic mercury in the developmental  
23 stage, those things are specific to autism.

24          Q     Acting through the mechanism for oxidative stress  
25 under your hypothesis, correct?

DETH - CROSS

3962

1 A Correct.

2 Q That mechanism is not specific to autism. Is  
3 that correct?

4 A The mechanism can be important at other life  
5 stages in other diseases.

6 Q In fact, you, yourself, have attributed obesity  
7 to thimerosal, correct?

8 A No, I believe, as we discussed in my cross  
9 examination, that I brought to the attention of the people  
10 that I gave several lectures to the fact that the risk genes  
11 identified in autism have also been identified as risk genes  
12 for obesity.

13 And to me, it raised the interesting possibility,  
14 and I still regard it as such, the interesting possibility  
15 or hypothesis that individuals who are affected by oxidative  
16 stress but carry other genetic risk factors or experience  
17 other genetic risk factors of which one could consider  
18 overeating, for example, a risk factor that by itself might  
19 not trigger obesity but in the presence of oxidative stress  
20 might, and I emphasize might, lead to consequences. So this  
21 is a hypothesis that I've entertained.

22 Q Doctor, haven't you publicly stated that you  
23 believe that it's at least possible that thimerosal vaccines  
24 have led to an epidemic of obesity in children?

25 MR. WILLIAMS: I object on the grounds that he's

DETH - CROSS

3963

1 going off in other directions that we dealt with on the  
2 rebuttal. This cross may have been appropriate two weeks  
3 ago. But it's not appropriate today.

4 MR. MATANOSKI: I'm trying to understand Dr.  
5 Deth's theory. He's been talking about it this morning.  
6 I'm now hearing that it's not specific. I was trying to  
7 narrow it down to autism. Again, that could be Parkinson's,  
8 later diseases in life. I'm just making a point that his  
9 theory, as he's trying to defend it here in rebuttal, is not  
10 specific to the injury that you have before you.

11 SPECIAL MASTER HASTINGS: Well, I understand your  
12 point. But you're not addressing Mr. Williams' observations  
13 just now; that this doesn't seem to have anything to do with  
14 what he testified to this morning in rebuttal.

15 MR. MATANOSKI: I would simply observe that to  
16 the extent he was trying to defend his mechanism, that it  
17 would. However, Your Honor, I will withdraw it.

18 SPECIAL MASTER HASTINGS: All right.

19 BY MR. MATANOSKI:

20 Q Glutathione is the primary inter-cellular anti-  
21 oxidant. Isn't that correct?

22 A I think that's correct.

23 Q So it's critical, at least in your mechanism, to  
24 the role of oxidative stress. It's presence is critical to  
25 it, isn't it?

DETH - CROSS

3964

1 A It's a major factor in determining the presence  
2 or absence of oxidative stress. That's correct.

3 Q And Dr. Jones testified that your body has  
4 abundant glutathione available, correct?

5 A If that's correct.

6 Q You did listen to his testimony,

7 A I'm sure he did. I'm just wondering about the  
8 fact that I don't have verbatim knowledge of what he said.  
9 But I gather, that's a general statement about what he said.

10 Q Well, you were responding to his criticisms of  
11 your work. You did listen to his testimony; did you not?

12 A It was indicated that there is a lot of  
13 glutathione in the body. That's correct.

14 Q You don't gain-say that, do you?

15 A Gain-say, meaning?

16 Q You don't contradict that scientific fact, do  
17 you?

18 A No, I don't.

19 Q In fact, wasn't the thrust of his testimony to  
20 give context to this Court about the amount of glutathione  
21 in your body versus the amount of glutathione that would be  
22 needed to metabolize mercury that the body received? Isn't  
23 that correct?

24 A If you could restate the beginning of your  
25 question, you said that wasn't the intent. Is that what you

DETH - CROSS

3965

1 said?

2 Q Wasn't the thrust of part of Dr. Jones' testimony  
3 that the amount of glutathione in the body can abundantly  
4 take care of the amount -- to give context to the relative  
5 amount of glutathione, versus the amount that would be  
6 needed to process the mercury that was received through  
7 vaccines? Isn't that right?

8 A My understanding of his testimony, as I heard it  
9 and read it, was that mercury would be overwhelmed by that  
10 large amount of glutathione and, therefore, it should be, I  
11 suppose, innocuous, or otherwise non-toxic, correct.

12 Q That's what I understood, too.

13 A Of course, this would be a contradiction to our  
14 understanding of what mercury is. But that's the point that  
15 he made.

16 Q To your understanding of what mercury is -- is  
17 that correct?

18 A Mercury is generally regarded as both a toxin and  
19 a neuro toxin, despite very high concentrations of  
20 glutathione that we have.

21 Q And the glutathione levels in the body are  
22 abundant, correct?

23 A They are abundant.

24 Q Dr. Deth, how many articles have you published on  
25 glutathione?

DETH - CROSS

3966

1           A     On glutathione, I guess one, which was the review  
2 article -- in fact, the Waly article showed these effects in  
3 the first place. We weren't aware of the critical role of  
4 glutathione at that time.

5           Q     So that can be addressed.

6           A     So the other articles that are already out, I  
7 think there's only just the others that are in press.

8           Q     So you have your one article, that was a review  
9 article?

10          A     That's right.

11          Q     Then the others are in press.

12          A     That's correct.

13          Q     Do you know how many articles Dr. Jones has  
14 written on that topic?

15          A     Abundant, I suspect.

16          Q     And oxidative stress is key to your mechanism,  
17 correct?

18          A     It is.

19          Q     How many articles have you published on oxidative  
20 stress?

21          A     I suppose it's that same one, with regard to  
22 already published articles; that's correct.

23          Q     So it's looking at the work of other individuals,  
24 a review article?

25          A     I suppose we've done the research that I've

DETH - CROSS

3967

1 presented here, with direct participation in measurement of  
2 glutathione --

3 Q This is the unpublished work that you presented.

4 A The unpublished, direct research that we've done.

5 Q I asked you about articles.

6 A You asked about what?

7 Q I asked you about articles that you've published.

8 A Fine, I said that I've only published one.

9 Q The review paper.

10 A That's correct.

11 Q That was 2008.

12 A Correct.

13 Q Do you know how many articles that Dr. Roberts  
14 has published on oxidative stress?

15 A Again, I assume it's a large number. Actually, I  
16 believe that's been the nature of his focus throughout his  
17 academic career. I don't know that number. Perhaps you can  
18 help me.

19 Q You mentioned the 2004 article by Waly, and I  
20 believe you were one of the co-authors in that study. Is  
21 that right?

22 A Yes, I was the senior author of that.

23 Q Okay, I'm sorry; the senior author in that study  
24 -- now you didn't get that published at the first journal  
25 you went to, did you?

DETH - CROSS

3968

1 A No, that was submitted first to Nature --

2 Q And they rejected it.

3 A That's correct.

4 Q And then you didn't get it published at the  
5 second journal that you went to.

6 A That's correct.

7 Q They rejected it. It was the third journal that  
8 you went to?

9 A Actually, this is the fourth. Actually, I  
10 submitted it to --

11 MR. WILLIAMS: I want to renew my objection.  
12 This has nothing to do with what we talked about this  
13 morning. This has to do with general topics.

14 MR. MATANOSKI: I believe he was talking about  
15 his 2004 Waly article, and trying to defend his previous  
16 opinion in this case.

17 SPECIAL MASTER HASTINGS: Well, the article was  
18 discussed; but nothing about issues of how many publishers  
19 it went to. So why don't you move on?

20 MR. MATANOSKI: I would submit, Your Honor, that  
21 it goes to what weight you should give to the evidence that  
22 he's countering with now.

23 SPECIAL MASTER HASTINGS: Well, of course, he  
24 discussed Waly in tremendous length in his initial  
25 testimony; and this is clearly the type of question that

DETH - CROSS

3969

1 could have been asked on cross.

2 MR. MATANOSKI: Very well, Your Honor.

3 BY MR. MATANOSKI:

4 Q You, in your lab and experiment, have found that  
5 a dose responsive effect for thimerosal at the .1 nanomolar  
6 range. Is that right?

7 A It stated that subnanomolar concentrations cause  
8 significant effects.

9 Q That was .1 nanomolar, right -- subnanomolar,  
10 then?

11 A Correct.

12 Q And that was published in 2004. Is that right?

13 A I believe that's correct.

14 Q Since that time, that that was tested, you were  
15 defending yourself this morning, saying that you were being  
16 criticized because you were the only one who had a seen a .1  
17 nanomolar effect. That was published in 2004. In 2005, Dr.  
18 James also tried to do a dose response effect, correct?

19 A No --

20 Q PML 007?

21 A Excuse me?

22 Q It was Petitioner's Master List, 007. Wasn't her  
23 effect --

24 A Did she measure the same thing that we measured?

25 Q She was trying to get a dose response effect to

DETH - CROSS

3970

1 thimerosal.

2 A What was she measuring? I think I know the  
3 answer, and I'm just saying that you're not asking about  
4 whether did we measure the same things. I believe she  
5 measured toxic effects with a cell death end point. I don't  
6 believe she measured in SY5Y cells, phospholipid  
7 methylation.

8 Q So she used different cells and that's why she  
9 had different results?

10 A No, she used the same cells. In some cases, she  
11 used I believe a glial cell lines and the SY5Y cells but was  
12 looking at the toxic effects on cell death.

13 Q When she reported it, it was at four orders of  
14 magnitude greater to get a dose response, isn't that right?

15 A In other words, the concentration needed to kill  
16 the cells was --

17 Q To get a dose response. Four orders of  
18 magnitude.

19 A To get a dose response, killing the cells?

20 Q Yes.

21 A I believe it was between one and 10 micromolar.  
22 So that would be, again, to kill the cells, you need perhaps  
23 at least 1,000, maybe 10,000 times higher. That's correct.

24 Q So four to five orders of magnitude?

25 A She also had a 15 percent FBS concentration.

DETH - CROSS

3971

1 These are details. There were some experimental details  
2 that were I'll say different between the two labs. But the  
3 end issue of the large difference between the amounts  
4 necessary to kill cells and to interrupt their function  
5 remains.

6 Q The experimental details, that's what Dr. Jones  
7 was dealing with, that the experimental details can affect  
8 the results that one obtains on this dose response  
9 relationship, correct?

10 A The details, I suppose the belies the importance  
11 of experimental conditions. We used 10 percent FBS. She  
12 used 15 percent FBS. The FBS is a source of growth factors  
13 that can stimulate the cysteine uptake through EAAT3 and as  
14 a result can increase the cysteine uptake, making the  
15 vulnerability to heavy metal toxicity less.

16 So at least part of that 10,000-fold difference  
17 could be explained on the basis of the fact that the  
18 availability of a cysteine resource was greater in her  
19 conditions. But the major reason is the fact that she's  
20 measuring the death of cells whereas we were measuring I  
21 suppose processes that were more functional and were  
22 certainly more I would say subtle by comparison to cell  
23 deaths.

24 Q And you just said that the experimental  
25 conditions alter the amount necessary to create the dose

DETH - CROSS

3972

1 response, is that correct?

2 A I noted these experimental differences. We  
3 haven't made an experiment out of testing those factors.

4 Q Humphrey in 2005, and this is Petitioner's Master  
5 List 008, the amount necessary to create the effect there in  
6 vitro was 2,500 to 5,000 nanomolar, correct? That is again  
7 four orders of magnitude --

8 A My memory --

9 SPECIAL MASTER HASTINGS: Gentlemen, let's have  
10 mercy on the court reporter here. We're getting lots of  
11 time when both of you are talking at the same time, and I  
12 can't imagine how we'll ever get a transcript of this. So  
13 please, let's try to go one at a time. Go ahead and ask  
14 your question again, Mr. Matanoski.

15 MR. MATANOSKI: Thank you.

16 BY MR. MATANOSKI:

17 Q In the Humphrey article, this again was after  
18 your work in 2004, it required four orders of magnitude  
19 greater to get the effect.

20 A I'm afraid the Humphrey article, you'll have to  
21 refresh my memory. By the first name, I don't identify  
22 articles well enough by first name to know which one you're  
23 referring to.

24 Q Very well, Herdman, are you familiar with that,  
25 another article to test the effect of thimerosal on cell

DETH - CROSS

3973

1 culture?

2 A If I had the --

3 Q PML 024, you're not familiar with that?

4 A PML 024?

5 Q I'm sorry, Petitioner's Master List 024. I was  
6 just doing that for the benefit of the record.

7 MR. WILLIAMS: I would request that if you're  
8 going to ask the witness about an article, that he be  
9 provided a copy, as a courtesy.

10 SPECIAL MASTER HASTINGS: That seems reasonable.  
11 Well, why don't you ask then? Then see what the question  
12 is, and see if you need the article.

13 BY MR. MATANOSKI:

14 Q I'll just sum up. Since your article was  
15 published in 2004, six additional researchers have come out  
16 and attempted to determine what amount of thimerosal is  
17 necessary to get a threshold effect, a dose response effect.  
18 They were all four of magnitude greater than you. Isn't  
19 that correct; greater than your 2004 article?

20 A My understanding is that no one has measured what  
21 we measured. We haven't measured cell deaths. They have  
22 measured cell deaths, and perhaps other end points of pre-  
23 apoptotic or other end points.

24 So my thinking is, no one has measured what we  
25 measured in the cells that we measured, the way we measured.

DETH - CROSS

3974

1 So there is no comparison. It's like apples and truck.

2 Q So in the four years since you've put that result  
3 out there about thimerosal, six researchers have gone around  
4 and they've looked. Your results have been out there,  
5 addressing the question in the fashion that you did, in  
6 terms of inhibition; and they used a different approach to  
7 measure the effects of thimerosal in cell culture. They did  
8 not adopt your approach to measuring the effect, correct?

9 A At the risk of self-flattery, there's one or two  
10 or a few key things that cause and contribute to autism. If  
11 you're examining those things and measuring those things,  
12 you might find a differential sensitivity to the factors  
13 that contribute to autism. Death of cells is not a key  
14 feature of autism. Therefore, the things that we measure  
15 have a unique likelihood of reflecting critical events, and  
16 they may therefore have a unique likelihood of being more  
17 potently affected by the same factors.

18 Q So you're the only one looking at this, looking  
19 at this particular effect on cells?

20 A I'm the only one. In the system, in the human  
21 neuronal cells, I believe we are the only ones who have  
22 measured methylation status and redox status in human  
23 neuronal cells.

24 Q We've talked about Dr. James' work at length this  
25 morning. Dr. James, when she went to look at it after you'd

DETH - CROSS

3975

1 done your work, she looked at it in a different fashion.

2 She didn't even adopt your approach. Is that right?

3 A She measured the cell deaths, is that what you  
4 mean?

5 Q I believe so; and she needed four as a magnitude  
6 greater than what you had.

7 A To kill cells -- I'm thrilled with that, and I'm  
8 sure every parent of an autistic child is thrilled that it  
9 takes four orders of magnitude more to kill the cells.  
10 However, I otherwise base my testimony on the fact that loss  
11 of function in neurons in the human brain can occur with  
12 much more restricted levels of inorganic mercury.

13 Q The other researchers haven't taken up that  
14 challenge. They haven't seemed to try to duplicate your  
15 line of research. Even though they're looking at  
16 thimerosal, they aren't looking at it to do the same effects  
17 that you are. Is that right?

18 A People have different thrusts or research  
19 interests and/or abilities and systems. Dr. James, for  
20 example, that you seem to be drawing attention to here has  
21 drawn her attention and admirably so toward the clinical  
22 status of children with autism, measuring the very same  
23 thiometabolites in ways that she's able to and then moving  
24 on to look at the effective therapeutic interventions. So  
25 thankfully we're all not doing the same thing, but they are

DETH - CROSS

3976

1 complimentary to each other.

2 Q Now you mentioned this morning when you were  
3 given an article by Arne Holmgren called Inhibition of the  
4 Human Thioredoxin System, you discussed this this morning at  
5 some length. You mentioned in fact that you had a  
6 conversation with Dr. Holmgren six months before this  
7 article was published. Is that right?

8 A I think I mentioned we had an email exchange.

9 Q An email exchange, very well, six months before  
10 this article came out.

11 A That's my recollection, yes.

12 Q And you discussed your work with him?

13 A I did.

14 Q Was that the first time he was aware of your  
15 work?

16 A To my knowledge, it seemed to be.

17 Q I was doing a quick look at his sources, in terms  
18 of his references in this article, and I don't see your work  
19 referenced there.

20 A In confirming our lack of mutual knowledge of  
21 each other's work, that's correct.

22 Q So even though you told him about it, he didn't  
23 see fit to really include it as important at least in the  
24 experiment that he was doing on thioredoxin?

25 A An unflattering interpretation, but the fact is

DETH - CROSS

3977

1 that when I talked with him, he indicated that he had an  
2 article that was already submitted, and I suppose in the  
3 absence of knowing me, but he's not necessarily, although he  
4 could quote our work, we don't study thioredoxin.

5 Q Yes. In fact, I don't remember you citing  
6 thioredoxins at all in your expert report.

7 A Which I have to say I'm thrilled to have this  
8 improved understanding of thioredoxin as a result of this  
9 proceeding, because from Dr. Jones and now Dr. Holmgren and  
10 the occasion of this hearing, these proceedings here, my  
11 attention on thioredoxin has now improved, although I did,  
12 as you recall, suppose that if thioredoxin or glutaredoxin  
13 were the likely intimate targets of inorganic mercury.

14 Q So your understanding of this topic is  
15 progressing as this litigation goes on. Is that a fair  
16 characterization?

17 A This paper has improved my understanding. That's  
18 correct.

19 Q And this paper came to your attention this past  
20 week.

21 A That's correct.

22 Q When counsel gave it to you.

23 A No, in fact, it was a sequence of events. I  
24 discovered it and gave it to counsel.

25 Q I see, and Dr. Jones, as was pointed out this

DETH - CROSS

3978

1 morning, his work is mentioned several in this thioredoxin  
2 article, correct?

3 A Yes, it is.

4 Q And you listened to his testimony, correct?

5 A Correct.

6 Q And you heard him explain in response to counsel,  
7 that this does not impact at all on the question before the  
8 Court about thimerosal and its effect on oxidative stress or  
9 sulfur metabolism, correct?

10 A I think he used words to that effect. Although I  
11 believe he used them incorrectly. I think he was somehow  
12 taken aback by the fact that his work provided strong  
13 relevant effects of evidence in favor of a likely target  
14 here, as provided by this paper.

15 So my opinion, upon hearing him and the tone and  
16 I guess the nature of the exchange, was that he was somewhat  
17 surprised by the fact that his own work seemed to support an  
18 important factor in the causation.

19 Q At least as far as counsel was postulating it, it  
20 was an important factor.

21 A Yes, I mean, from what I thought --

22 Q Dr. Jones.

23 A -- his remark was, his remark and his response to  
24 say that it didn't, in his opinion, have a bearing, was I  
25 believe an attempt to isolate himself from the possibility

DETH - CROSS

3979

1 that the thioredoxin would have; that because he was in the  
2 awkward position of being the expert witness, whose own  
3 research had an important positive relationship to the  
4 causation theory being evaluated here.

5 Q His conclusion under oath was that it did not  
6 have any effect on the issue before the Court. It did not  
7 change it one way. Isn't that correct?

8 A That was the tone; that was the sense that I  
9 gathered from his comments. Whether you're asking me  
10 explicitly, did he say those words, I don't recall whether  
11 he said those words.

12 Q And thioredoxin, how many articles have you  
13 published on thioredoxin?

14 A I haven't published any articles on thioredoxin.

15 Q In fact, you weren't even considering it in your  
16 calculations, at least as far as your written report or your  
17 testimony two weeks ago, as part of the equation on how  
18 thimerosal causes autism. Is that correct?

19 A I put my arms out like this, and I sort of tried  
20 to recreate my description of why inorganic mercury -- the  
21 released inside the brain preferentially from ethyl mercury  
22 compared to methyl might have toxic effects on thiol  
23 metabolism. I indicated its likely targets was proteins in  
24 which cysteine residues, like number 32 and 35, in the  
25 thioredoxin would, in effect, should be considered as the

DETH - CROSS

3980

1 target.

2           Because I was trying to make it clear that  
3 glutathione interactions were not the point here. Because  
4 interactions with proteins like thioredoxin was the point.  
5 I alluded to that.

6           Q     You actually used the term thioredoxins?

7           A     I might have said glutaredoxin. I'd have to go  
8 back to see what I said, that Dr. Holmgren in his paper  
9 points out. These two proteins, they share structural  
10 features in an intimate way.

11          Q     And you acknowledge that Dr. Jones, in contrast  
12 to yourself, has published on thioredoxins.

13          A     Oh, I acknowledge that, and I'd be happy to  
14 reiterate it.

15          Q     Now I asked you a question before when you were  
16 first up here about Jill James and the strength of her work  
17 at least as far as supporting your hypothesis. You  
18 indicated that her work was the strongest support for your  
19 hypothesis. Do you still hold to that?

20          A     In broad terms, yes.

21          Q     We went through some slides this morning, and I  
22 just wanted to go thorough and verify. Slide 28 that you  
23 went through, that was never published, is that right, the  
24 material on that?

25          A     That's correct.

DETH - CROSS

3981

1 Q And slide 34, the material on that was never  
2 published, either.

3 A Correct.

4 Q Now you said that you really had not published  
5 this because you wanted to be more complete with your  
6 understanding, is that right?

7 A In terms, yes, we wanted to have what would be to  
8 an external reviewer or audience. It would be a more  
9 complete view of the thimerosal. But it's not about  
10 thimerosal. As important as that is in this proceeding,  
11 it's really about understanding the role of methionine  
12 synthase in neuronal cells and neuronal tissues. So we  
13 wanted to have a more both satisfying to ourselves but also  
14 to reviewers, a more complete picture of these events.

15 Q So the picture is not complete at this point.

16 A A picture of this nature is never complete.  
17 However, I do believe with our recent recognition of the  
18 inhibition of the cysteine uptake, which accounts for the  
19 large decrease in the amount of glutathione -- the decrease  
20 being 40 percent -- we know that it's not just a shift in  
21 redox state, where all that 40 percent just is now oxidized.

22 That's not the case. We had to otherwise  
23 understand why the amount of glutathione would be  
24 quantitatively as so much lower. Now we realize that it's  
25 because the uptake of cysteine is reduced proportionately.

DETH - CROSS

3982

1 So that is a major improvement in our understanding of the  
2 overall system. Then, to my mind, it allows us now to go  
3 ahead and present and cohesive, coherent description.

4 Q But just this morning you were saying that you're  
5 still waiting for the story to become complete, and that's  
6 why you hadn't published it. You're going to get it ready  
7 for publication. In a couple of months maybe it will be  
8 published. But at this point, the story is not complete?

9 A It will be submitted for publication. I trust  
10 what you're talking about; what are the factors that limit  
11 not only the choice to publish, but of course the time to do  
12 that writing and teaching and other commitments and  
13 obligations.

14 They play a role. So it's not exclusively a  
15 matter of completing the story. But that was the important  
16 thing, to be able to have an adequately comprehensive body  
17 of data and knowledge about the system.

18 Q But you feel it's adequate enough, in your view,  
19 to present to the Court.

20 A That's right, recognizing that science, in  
21 general, is going some place; and now we have made the  
22 significant advance, and enough coherence exists to update,  
23 if you will, our earlier paper; and convince other people,  
24 as well as ourselves, that this explains the mechanism of  
25 the lower methionine synthase activity that we earlier

DETH - CROSS

3983

1 published. Yes, I believe it adds that point.

2 Q You mentioned a moment ago a 40 percent reduction  
3 in glutathione and its relative importance in the question  
4 before the Court.

5 A Yes.

6 Q I believe you had referenced earlier the work  
7 with Jill James with respect to that finding in autistic  
8 individuals.

9 A That's correct.

10 Q Did you hear the testimony of Dr. Aposhian, when  
11 he was here? I believe you were in the courtroom on the  
12 first day of trial.

13 A I was here for the second day of trial.

14 Q You didn't hear his testimony then.

15 A I didn't hear the first day of testimony.

16 MR. MATANOSKI: Could we play Dr. Aposhian's  
17 testimony with respect to Dr. James' work with glutathione?

18 (Audio of Dr. Aposhian's testimony from May 20,  
19 2008, played as follows.)

20 "Q Does glutathione only protect against mercury, or  
21 does it protect and aid in detoxifying other substances?

22 A A concentration of glutathione in your liver  
23 cells is 10 millimolar, and that's a lot of glutathione; a  
24 tremendous amount of glutathione. It is one of the major  
25 detoxifying agents in the body, all right?

DETH - CROSS

3984

1 Does it detoxify other agents? Absolutely; there  
2 are not only metals, but many other agents. Glutathione is  
3 one the major endogenous detoxifying agents that we have.  
4 10 millimolar is no small amount.

5 Q It's a huge amount. Is that correct?

6 A It's huge.

7 Q So if the levels of glutathione are so low as to  
8 cause --

9 A So low?

10 Q So low, a hypothetical -- if your levels of  
11 glutathione are so low that you cannot detect or detoxify  
12 the amount of ethyl mercury in a mercury-containing vaccine,  
13 how could you detoxify any other substance in your body?

14 A Who says the glutathione level is so low that it  
15 cannot detoxify things? I don't know. Now what you must  
16 say is that the glutathione level in the plasma is very low.

17 You're quoting Jill James, or you're referring to  
18 Jill James' work. She did not do liver glutathione. She  
19 did not do brain glutathione. She did red cell. No, she  
20 didn't even do red cell glutathione. She studied plasma  
21 glutathione.

22 As I and everyone else have told her, plasma does  
23 not have a high level of glutathione. Most glutathione is  
24 an inter-cellular compound. Very little glutathione is  
25 found extracellularly. I don't know whether that helps you

DETH - CROSS

3985

1 or not.

2 Q No, it helps me."

3 (Audio of Dr. Aposhian's testimony from May 20,  
4 2008, concluded.)

5 BY MR. MATANOSKI:

6 Q In fact, her work that shows the 40 percent  
7 reduction in autistic individuals, the toxicologist that  
8 appeared for the Petitioners said that that can be given  
9 very little value in determining what is going on with the  
10 amount of glutathione and what effect it has on the body.  
11 Isn't that right?

12 A Dr. Jones, I think said that. Is that what  
13 you're saying?

14 Q Dr. Aposhian -- that was Dr. Aposhian's testimony  
15 you were hearing. He was discussing Dr. James' work with  
16 respect to glutathione.

17 A Did he say that her work could be given minimal  
18 value?

19 Q He said she is measuring it in plasma; and as he  
20 said, he and everyone else, as he put it, told her that that  
21 that was not the proper way to measure for the glutathione.

22 A He did say it wasn't the proper way; measuring in  
23 the plasma is measuring in the plasma. Measuring in cells  
24 they're two different things.

25 Certainly, a diagnostic test of plasma levels is

DETH - CROSS

3986

1 not an unusual thing to measure. It's not wrong, and she  
2 measured it the right way. It tells us certain information.  
3 It tells us what the plasma level of glutathione is. It  
4 doesn't tell us what the inter-cellular concentration is.  
5 It doesn't tell us what the brain concentration is.

6 But it does tell us what the plasma level is in a  
7 comparison among individuals who are fasting and otherwise  
8 it is drawn early in the morning; and therefore, has certain  
9 attempts to normalize the fluctuations. That has to be  
10 given the weight that the data itself merits.

11 In this case, the considerable differences, not  
12 only in glutathione, but every saved one that was measured  
13 shows pervasive abnormalities between these two test groups  
14 and the subsequent study confirmed that autism is associated  
15 with a major difference in plasma level. Again, you have to  
16 just understand that it's plasma level. It's not wrong.  
17 It's plasma.

18 Q So you continue to maintain that Dr. James' work  
19 is the strongest evidence for your --

20 A Yes. I certainly do.

21 Q You discussed Mady Hornig's paper this morning,  
22 and you mentioned the criticism from Dr. Berman. But I  
23 didn't hear you comment on that. What comments do you have  
24 on the criticism from Dr. Berman? Have you read it?

25 A I have read Dr. Berman's paper, which did not

DETH - CROSS

3987

1 find, did not confirm, Mady Hornig's paper. This is a study  
2 in mice, measuring what they measured. They are important  
3 insofar as that represents a model system of thimerosal  
4 toxicity, and especially on neural end points.

5           As far as Berman's failure to replicate, I don't  
6 really have a cogent explanation for why it failed. There  
7 are noticeable differences in the way the animals were in  
8 the same litter; both treated and untreated. This may or  
9 may not have been a factor. I think there are issues to be  
10 sorted out between those two labs; and I suppose the paper  
11 on the hamsters that we mentioned this morning add an  
12 additional element, on the face of it, that would strongly  
13 favor Hornig's findings.

14           But those people have to work out those  
15 differences. Science is such that as long as people aren't  
16 lying about what they did, as long as they measured things  
17 reasonably in a common manner and by experimental methods,  
18 it can be explained and replicated. That they should be  
19 able to figure out why a difference occurred.

20           Q     Dr. Berman used a quite considerably higher dose  
21 of thimerosal in the animals he treated?

22           A     He did as part of the study using an  
23 extraordinary high dose.

24           Q     And he did not get any effect; is that correct?

25           A     That's my recollection, as well.

DETH - CROSS

3988

1 Q You said that this paper that you put out this  
2 morning, you believe contributes to the discussion as to  
3 which lab should be followed; whether it's Dr. Hornig's or  
4 Dr. Berman's?

5 A You've added some specifics there; which one  
6 should be followed. I think it's a difference species. I,  
7 myself, I find myself this morning wondering whether  
8 hamsters -- because of the extent of the damage and  
9 neurologic or actually neuro anatomic effects that they  
10 observed in that hamster study, I said gee, maybe those  
11 certain golden hamsters that they used are somehow more  
12 vulnerable. Because quite frankly, it goes beyond Hornig's  
13 findings, in terms of the extent of the effect.

14 So I wondered whether or not their redox status,  
15 as a species being different than mice, might not make them  
16 more vulnerable. That's just a thought on my part.

17 So my take of this other study is that it adds  
18 something, but it still needs to be understood itself, the  
19 same as the other mouse studies do.

20 Q And this came out in 2007, and really, this was  
21 published in the Annuals of the Faculty of Medicine of Lima.  
22 Are you very familiar with that journal?

23 A I'm not familiar with that journal.

24 Q Had you ever heard of it before?

25 A No.

DETH - CROSS

3989

1 Q Now you presented a chart in your slides that  
2 gave you the whole hypothesis. In your slide it was chart  
3 41. That sort of summed up your hypothesis. That was  
4 similar to the chart on the paper you referred to this  
5 morning; you review paper published in 2008.

6 I think if we could put that up, it will show  
7 that the review paper you published I think was -- I've got  
8 to figure that out. It was PML 563, on page eight of that.  
9 The other is your slide from your testimony. It's slide 41,  
10 the last slide.

11 I think we've discussed that neuralinflammation  
12 was added to this, at least for the slide. But otherwise,  
13 it's the same theory that you published.

14 A It certainly is the same theory, yes.

15 Q I believe you were saying that neuroinflammation  
16 has always been part of this theory.

17 A The pathologic term of inflammation is not a bio  
18 chemical term. Oxidative stress is not a pathologic term.  
19 It's more of biochemical event. The two are closely  
20 related, and I wanted to make sure that for purposes of this  
21 Court proceeding, that the terms in relationship to each  
22 other were clear.

23 Q In your discussion this morning of your review  
24 paper which laid out the hypothesis, you pointed out that  
25 you had discussed part of the Pardo paper in that and

DETH - CROSS

3990

1 neuroinflammation. Is that right?

2 A That's correct.

3 Q Now in the conclusion of that 2008 paper, you  
4 summed up, and we'll pull that up again. This is PML 563.  
5 This is on page nine of that. If you could pull up the  
6 highlighted section. It's your description overall of what  
7 you observed in that paper.

8 You said specifically that the validity of any  
9 hypothesis requires that it accounts for relevant,  
10 previously disparate observations. You go on to say that  
11 you think that your theory accounts for most of those. But  
12 it doesn't explicitly account for all of them.

13 Now what you say in sort of summing this up is,  
14 your theory, "may serve as a useful starting point that can  
15 be critically tested and accordingly revised and even  
16 discarded," and that's where we stand today, correct? This  
17 came out in 2008.

18 A Are you saying that it can be critically tested.  
19 First of all, it's a useful starting point. It can be  
20 critically tested, and can be revised or discarded.

21 Q And that's where we stand today with your  
22 hypothesis, correct?

23 A It's kind of a general statement about the  
24 hypothesis and the flow of science.

25 Q This is statement about your hypothesis.

DETH - REDIRECT

3991

1           A       That's correct, which is an example of a  
2 hypothesis in the flow of science, where there are things  
3 that we don't know. There could be revelations that  
4 research will uncover next week, next month, and I would  
5 revise my understanding, if I have to. But at this point in  
6 time, I've done my best to integrate and to describe the  
7 relevance of these events as they relate to autism.

8           Q       And it awaits critical testing at this point.

9           A       Further critical testing.

10          Q       Thank you.

11          A       Thank you.

12                   SPECIAL MASTER HASTINGS: Mr. Williams, please go  
13 ahead.

14                   MR. WILLIAMS: Yes, I have just a couple of  
15 points.

16                                   REDIRECT EXAMINATION

17                   BY MR. WILLIAMS:

18          Q       First, quickly on the hamster paper, do you know  
19 whether that journal is listed in PubMed?

20          A       I assume it's not. I but haven't searched for  
21 it.

22          Q       You haven't checked; and do you know that journal  
23 is actually a Spanish-only journal?

24          A       I would presume it is a Spanish language.

25          Q       And do you know when the English translation

DETH - REDIRECT

3992

1 became available?

2 A I don't know that. This article just came to my  
3 attention. I don't know the origin or the history of that  
4 article; except that I understand that the journal represent  
5 the medical organization in Peru, and is considered, I  
6 guess, sort of a JAMA, as being a Journal of the American  
7 Medical Association. But it somehow has a standing in Peru.  
8 But I'm not familiar with its lineage that much.

9 Q Then on your theory, your hypothesis as the DOJ  
10 calls it, do you believe that it is biologically plausible?

11 A I have no doubt that it is biologically  
12 plausible.

13 Q And do you believe that it represents a logical  
14 sequence of cause and effect?

15 A I do, and that belief is based upon a number of  
16 factors; not only in relying on my own in vitro work model  
17 or our own brain work, but also the diagnostic testing and  
18 clinical testing and the therapeutic treatments that improve  
19 autism; all those things combined feed into my opinion.

20 Q As far as you know, is it consistent with all  
21 published data so far?

22 A All published data so far.

23 Q Is there any publication that would contradict  
24 part of this logical sequence that you've laid out?

25 A Not that I'm aware of, no.

DETH - RECROSS

3993

1 MR. WILLIAMS: Okay, thank you.

2 SPECIAL MASTER HASTINGS: Is there anything  
3 further?

4 MR. MATANOSKI: Yes, sir.

5 RECROSS EXAMINATION

6 BY MR. MATANOSKI:

7 Q When you write for the medical community. Again,  
8 in 2008, you say that your theory can't account for  
9 everything. You said it can't account for autism  
10 observations, such as abnormalities in brain size,  
11 myelination patterns, or serotonin levels. Isn't that  
12 correct?

13 A I wrote that, and I would be happy, since you  
14 bring it up, to indicate that there are connections with  
15 those things. But I didn't think it arose to the level of  
16 certainty that I could expand on them in that paper; for  
17 example, myelination. Myelination involves oligodendrocytes  
18 functions and the lineage of oligodendrocytes is regulated  
19 by redox status. I believe there was testimony to that  
20 effect by Dr. Nobles, for example.

21 Moreover, myelination involves methylation of  
22 myelin-basic protein. So the methylation of that that  
23 represents could be subject to influences of the redox.  
24 Moreover, brain size reflects the result of growth factors,  
25 that signal through PI3 kinase, like insulin-like growth

DETH - RECROSS

3994

1 factor, that determines brain size. We have shown that  
2 insulin-like growth factors though PI3 kinase regulates  
3 these pathways.

4           So it's not like there aren't elements of this  
5 hypothesis or this area of science that couldn't relate to  
6 those things. The question is whether those areas are fully  
7 mature in terms of the studies that would allow a forthright  
8 and more definitive statement about that. But there are  
9 ways in which they easily could be related to this.

10       Q     All right, and when you're writing about your  
11 hypothesis for the scientific community, you describe those  
12 as deficiencies in the hypothesis, because you do not have  
13 enough information to account for it; at least as far as  
14 when you're discussing it with scientists, correct?

15       A     I put those forth as limitations that are not  
16 addressed by my hypothesis exclusively.

17       Q     And when you discuss your hypothesis in the  
18 scientific community, you describe it as awaiting critical  
19 testing, correct?

20       A     I don't usually use those words.

21       Q     A starting point that can be critically tested --  
22 doesn't that mean it's awaiting critical testing?

23       A     No, it's being critically tested in different  
24 areas. In fact, the term await implies not yet happening.  
25 I mean, you're quibbling here. But if you want to quibble,

DETH - RE CROSS

3995

1 we can parse this out and deal with it.

2 But it's a hypothesis, and remains a hypothesis.

3 It will remain a hypothesis, even after the medical, public,  
4 and legal opinion has probably weighed in on this or other  
5 hypothesis. It is going to remain that. This is the nature  
6 of science, and you know what I mean by this; that, in fact,  
7 science doesn't stop. If somebody pulls the plug on a  
8 certain concept or a certain disease, that it isn't declared  
9 finished.

10 So there's more to learn, and I'm open to that  
11 learning, and then I just put this forth as a hypothesis  
12 that is the best that can be summarized and formalized at  
13 this point in time.

14 Q As you explain to the scientific community when  
15 it is tested, it may be discarded, correct?

16 A Every hypothesis has the potential for that, yes.

17 MR. MATANOSKI: Thank you.

18 SPECIAL MASTER HASTINGS: Mr. Williams, anything  
19 further?

20 MR. WILLIAMS: No, thank you.

21 SPECIAL MASTER HASTINGS: Is there anything  
22 additional that the Petitioners want to put on today? I  
23 understand Dr. Deth is your only witness for today. That  
24 hasn't changed.

25 MR. WILLIAMS: Right, my understanding was, we

DETH - RECROSS

3996

1 were going to devote the day to the Deth topics, and they  
2 were going to call somebody today to response if they wanted  
3 to.

4 SPECIAL MASTER HASTINGS: That was my  
5 understanding, as well.

6 MR. WILLIAMS: But we're finished with rebuttal  
7 with Dr. Deth.

8 SPECIAL MASTER HASTINGS: I just wanted to  
9 clarify that. Dr. Deth, thank you very much for being with  
10 us again. We appreciate it. You're excused.

11 THE WITNESS: Thank you.

12 (Witness excused.)

13 SPECIAL MASTER HASTINGS: What is the  
14 Respondent's plan?

15 MR. MATANOSKI: We are not going to call anyone  
16 today to respond to Dr. Deth, and we will not call on anyone  
17 tomorrow to respond Dr. Deth, with the one exception of the  
18 new paper, once the witnesses have looked at that, if they  
19 have any comment.

20 I would submit at that time that we move to have  
21 them testify about that, given that this was handed to us  
22 today. Had we been going forward with what we knew of what  
23 Dr. Deth was going to be relying on, then we would be  
24 perfectly comfortable with respect to witnesses.

25 I feel like we're probably going to be perfectly

1 comfortable where we are, after our witnesses take a look at  
2 that paper. But I do reserve to bring that up tomorrow with  
3 the witnesses that we have coming. But we may discuss that  
4 paper, and obviously we could address it at that time, if we  
5 do get onto it.

6 SPECIAL MASTER HASTINGS: So then if I'm  
7 understanding and I want to make sure, we've got no more  
8 witnesses for today, from either side. Tomorrow, we have  
9 Dr. Kinsbourne and Dr. Mumper for the Petitioners.

10 MR. POWERS: That's correct.

11 SPECIAL MASTER HASTINGS: All right.

12 MR. MATANOSKI: Just if I may, sir, so it's just  
13 Dr. Kinsbourne and Dr. Mumper, and not Dr. Greenland.

14 MR. POWERS: That's correct. Dr. Greenland will  
15 not be called in rebuttal.

16 MR. MATANOSKI: Thank you.

17 SPECIAL MASTER HASTINGS: Thank you; since we may  
18 have a longer day tomorrow, I have a couple of brief  
19 housekeeping matters I wanted to raise with you.

20 Just now as we're getting down to the end of this  
21 three week segment of the trial, and we have some more ahead  
22 of us; but I want to remind you both sides that a number  
23 trial exhibits have been submitted to us in paper form,  
24 discussed, and numbered. This is just a reminder that  
25 you'll need to file those formally in the King case, in the

1 Mead case, and in the third case to be named later, and both  
2 sides have them.

3 Right now, I have 11 for the Petitioner, 12 for  
4 the Respondent. I've got a list here, and I think if  
5 there's any confusion when it comes times to file them,  
6 about which is numbered, it's important that we get them  
7 filed at the same numbers that we used to identify them  
8 during the trial. Give Mr. Lowe a call if there's any  
9 question about that. But don't forget that we need to do  
10 that some time in the next few days after this trial is  
11 over.

12 The other issue I wanted to raise was the issue  
13 of the transcript correction process, which I think was a  
14 very good thing that we did after the theory one hearing in  
15 Cedillo last year, and in the other two cases, as well.

16 The timing of that process proved to be not  
17 ideal. As you may know, much of the briefing process was  
18 done before we had the transcript corrected. So we have  
19 briefs that have pagination that's not necessarily exactly  
20 the same.

21 So in terms of getting the pagination, it's also  
22 important to the court reporting service that we get that.  
23 It's much easier to change the pagination if we have that.  
24 So anyway, our idea is that we want the transcript  
25 correction process to take place as quickly as possible

1 here.

2 Now it's my understanding that nobody has ordered  
3 the transcript, special ordered it on the short notice or  
4 something. That's my understanding. So I guess we'll get  
5 the transcript something like 30 days from the end of the  
6 trial. Well, I don't know if we're going to get individual  
7 day-by-day segments earlier than 30 days.

8 But whenever we get them, what we did last time  
9 was the Respondents had someone, I think, listen to the  
10 whole tape and make suggested corrections. And then gave  
11 those to the Petitioners side. I hope we can do that  
12 process again, and I know we've got additional autism cases  
13 coming up, include the third case here. I hope that you  
14 will be able to spare somebody to start on that fairly soon  
15 after we get the transcript.

16 MR. MATANOSKI: I'll endeavor to do that, sir.  
17 I'm just a little reluctant to commit with my trial team  
18 behind me. They may start throwing things at me at this  
19 point. Maybe Monday it will be an easier pill to swallow,  
20 if I talk about it then.

21 SPECIAL MASTER HASTINGS: Okay, it's just the  
22 idea that we'd like to do that. So then when you both file  
23 your briefs, you just have to do it once with the proper  
24 page numbers, and it will be easier for everyone.

25 MR. MATANOSKI: And I know that we did actually

4000

1 turn it around fairly quickly when we reviewed it last time.

2 SPECIAL MASTER HASTINGS: I think you did. So  
3 I'm just hoping we can. We can start that process as  
4 promptly as possible.

5 MR. POWERS: And I can say certainly, as was the  
6 case with Snyder, to the extent that we could stipulate, we  
7 could move this process along more expeditiously. Because I  
8 do agree, it's in everybody's interest to have the common  
9 set of paginations and references to the transcript in both  
10 sets of briefs, as that process goes forward. So we'll work  
11 to do that, too.

12 SPECIAL MASTER VOWELL: Filing the joint  
13 stipulation was the preferred way from the court reporting  
14 service.

15 SPECIAL MASTER HASTINGS: The other thing I  
16 wanted to raise today is just where you stand on the process  
17 of picking the third case.

18 MR. POWERS: I just told Respondent's counsel  
19 this morning, Special Masters, that we have medical records  
20 for three additional potential test cases. Those are being  
21 delivered on compact disk to Respondent within the next hour  
22 or two.

23 So they can do their limitations review, and  
24 review it for any issues indicating a concession might be  
25 appropriate, either on causation or an aggravation. That

1 will be forthcoming. I spoke with Lynn at the break.

2           Once those are exchanged and we get feedback from  
3 the Respondent on those issues, I think very quickly -- I  
4 mean, within days of hearing from them, we'll be able to  
5 specifically identify a case.

6           I do want to raise one issue that has complicate  
7 things; that the Asker case, which is still a viable  
8 potential test case, is one where there may be a conflict in  
9 that week of July, including other hearings in this  
10 proceeding; not in the omnibus, but in the vaccine program.

11           It's Kevin Conway and Sylvia Chin-Caplan and Ron  
12 Homer's firm's case; and trial counsel from that firm may  
13 have schedule conflicts with that week in July. So we  
14 obviously would endeavor to do everything that we could at  
15 our end, including working with the Special Masters and  
16 Respondent, if that is the test case, to see if those can be  
17 resolved to have that case heard in that week of July.

18           I know the Special Masters have indicated all  
19 along, including the Chief Special Master, of rescheduling  
20 other proceedings to accommodate test cases in the omnibus.  
21 We're aware of that and are actively looking to see what we  
22 can do. But that's the status. The Asker case is still  
23 very much a viable case, and records are going to DOJ for  
24 review. As soon as that is done, we will very quickly have  
25 a test case identified as the third case.

1                   SPECIAL MASTER HASTINGS: What's the name again  
2 of the case where there is a possible conflict. Can you  
3 spell that for her?

4                   THE REPORTER: I've got it.

5                   SPECIAL MASTER HASTINGS: Okay, is there anything  
6 that we should talk about today before we break for the day?

7                   (No response.)

8                   SPECIAL MASTER HASTINGS: All right, then we are  
9 adjourned for the day, and we will commence for the last day  
10 of this three week extravaganza tomorrow morning at 9:00  
11 a.m., thank you all.

12                  MR. POWERS: Thank you.

13                  (Whereupon, at 12:05 p.m., the hearing in the  
14 above-entitled matter was concluded.)

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

4003/4100

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584V, 03-215V  
CASE TITLE: In Re: Claims for Vaccine Injuries  
HEARING DATE: May 29, 2008  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 29, 2008

---

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018

Heritage Reporting Corporation  
(202) 628-4888